

This Transcript has not been proof read or corrected. It is a working tool for the Tribunal for use in preparing its judgment. It will be placed on the Tribunal Website for readers to see how matters were conducted at the public hearing of these proceedings and is not to be relied on or cited in the context of any other proceedings. The Tribunal's judgment in this matter will be the final and definitive record.

**IN THE COMPETITION**

Case No: 1524-1525/1/12/22

**APPEAL**  
**TRIBUNAL**

Salisbury Square House  
8 Salisbury Square  
London EC4Y 8AP

Monday 6<sup>th</sup> November – Wednesday 13<sup>th</sup> December 2023

Before:

The Honourable Mr Justice Marcus Smith  
Eamonn Doran  
Professor Michael Waterson

(Sitting as a Tribunal in England and Wales)

BETWEEN:

**Appellants**

**Pfizer Inc. and Pfizer Limited & Flynn Pharma Limited and Flynn  
Pharma (Holdings) Limited**

**V**

**Respondent**

**Competition & Markets Authority**

---

**APPEARANCES**

Mark Brealey KC, Robert O'Donoghue KC & Tim Johnston (Instructed by Clifford Chance LLP) on  
behalf of Pfizer

Jemima Stratford KC, Tom Pascoe & Alastair Richardson (Instructed by Macfarlanes LLP) on  
behalf of Flynn

Josh Holmes KC, David Bailey, Jennifer MacLeod, Julianne Kerr Morrison  
& Conor McCarthy

On Behalf of the Competition & Markets Authority

Friday, 1 December 2023

(10.00 am)

THE PRESIDENT: Mr Hawkins.

MR JAMES HAWKINS (continued)

Cross-examination by MR O'DONOGHUE (continued)

THE PRESIDENT: Mr Hawkins, welcome back. Thank you so much for coming. Do sit down and we will see if there are any questions.

MR O'DONOGHUE: Sir, I have carefully reflected on Mr Hawkins' evidence overnight, I have been through his two statements in some detail. I think subject to one point everything I can deal with in submissions, so I have one short question.

Mr Hawkins, good morning.

A. Good morning.

Q. I am sorry, as a fellow weary commuter, to drag you in on a strike day for something brief subject to re-examination. As I indicated to the President, I have been through your two statements very carefully, and there is one point I want to round off. It is something we touched on yesterday, I think it can be brief and non-controversial, but you may tell me otherwise.

If we can go to your second statement, please, it is at {XC1/6.1/15}, Mr Hawkins, if you can look at the middle of paragraph 49, you say:

1           "The 2012 NICE epilepsy guideline considered time to  
2 withdrawal."

3           Then if you go down to paragraph 50 over the page  
4 {XC1/6.1/16} you will see in the second sentence and for  
5 the rest of that paragraph there is a further reference  
6 to the 2012 guidance, and you will see the start of  
7 paragraph 50, there is a debate between you and  
8 Professor Walker on a point concerning the 2012  
9 guidance.

10           So my question relates to both of these paragraphs  
11 insofar as they refer to the 2012 guidance is the same  
12 as yesterday, which is you are not in a position, based  
13 on your personal knowledge, to speak to what was or was  
14 not taken into account in that guidance other than what  
15 you can read in the documents themselves?

16       A. That is correct.

17       Q. Now, one final point on this topic. If we can go to  
18 {Day6LH1/99:}, please. So this is Professor Walker's  
19 evidence. You will see, Mr Hawkins, at the top of the  
20 page, this is Professor Matthew Walker.

21       A. It is not displaying.

22       THE PRESIDENT: Ah right, can someone assist Mr Hawkins with  
23 his IT? Do not worry, Mr Hawkins, it is not your  
24 problem.

25       A. It has come up now. It has fixed itself.

1 THE PRESIDENT: Well, that is usually the way.  
2 Right, do you want to give that reference again,  
3 Mr O'Donoghue?  
4 MR O'DONOGHUE: Yes, it is Day 6 --  
5 A. It has disappeared, I am afraid.  
6 MR O'DONOGHUE: We start at page 98, please.  
7 A. It might be better in paper form.  
8 THE EPE OPERATOR: Has it disappeared again?  
9 A. Yes, it has, I am afraid.  
10 THE EPE OPERATOR: Has it just gone black?  
11 A. It has just gone "network HD", like a logo.  
12 THE EPE OPERATOR: I will take a look.  
13 MR O'DONOGHUE: Sir, I have a hard copy if that is  
14 a temporary fix.  
15 THE PRESIDENT: We may need that. Let us see. We have it  
16 back? Right, keep your hard copy ready, Mr O'Donoghue.  
17 MR O'DONOGHUE: I have it on standby, sir.  
18 Mr Hawkins, just for your benefit, if we can start  
19 at {Day6LH1/98:} just to see the context rather than  
20 jumping straight into the bit I want to focus on. If we  
21 look at the bottom of line 6, so start at line 16, there  
22 is a reference to the NICE guidance. If you can read  
23 the rest of that page, and then when you are ready, we  
24 can move to page {Day6LH1/99:} please. (Pause)  
25 A. Next page, please.

1 Q. If you can just read to line {Day6LH1/99:7}, please.

2 A. (Pause) I have done that.

3 Q. The point I am putting to you -- and you may not be able

4 to assist -- there is a debate between you and

5 Professor Walker on the 2012 guidelines, you have

6 accepted you were not directly involved in those. You

7 see in lines 2 and 3 that Professor Walker did have

8 a role in the context of commenting on the 2012

9 guidance, and do you accept that he is better placed

10 than you are, therefore, to comment on this aspect of

11 the guidelines?

12 A. What he has done here is he has responded as

13 a stakeholder. Anyone can respond as a stakeholder to

14 a NICE guideline. If you are a registered stakeholder

15 then you will get a response from NICE who will write a

16 comment back to you. If you are not a registered

17 stakeholder, you do not get a reply, but your comment is

18 still taken into account. So that is how, from my

19 understanding of this, that is how Professor Walker has

20 fed into the process.

21 Those comments are online, they are published, so

22 whatever comment Professor Walker sent in, that will be

23 readily available on the NICE -- on NICE's website.

24 I read the comments on the previous guideline,

25 especially around focal epilepsy, so I do not recall it

1           now, but I potentially read that, so we have all got  
2           sight of the same comments that have been submitted, so  
3           he might be better placed in terms of his expertise to  
4           comment on it, I will leave that for others to decide,  
5           but in terms of having extra information, I would have  
6           to disagree.

7           MR O'DONOGHUE: Thank you, Mr Hawkins.

8                     Sir, I have no further questions.

9           THE PRESIDENT: Thank you very much.

10                    Ms Morrison, any re-examination?

11                             Re-examination by MS MORRISON

12           MS MORRISON: Mr Hawkins, you will be happy to know I only  
13           have two re-examination questions. One is just  
14           a clarification.

15                    You were asked about -- the question was put to you:

16                             "Question: ... you have only worked at NICE for,  
17           I think, about 18 months; is that correct?"

18                             And you said yes. I just wanted to ask was  
19           that you coming new to the work of NICE  
20           18 months ago, or have you been involved for  
21           longer?

22           A. No, I have been involved in the process of NICE  
23           guidelines, I have produced the NICE guidelines for --  
24           it is over ten years now I think, I will have to go back  
25           and check my LinkedIn, but it is around about ten years.

1           Previous to that, I was -- I was previously at two  
2 externally funded -- sorry, NICE-funded external  
3 collaborating centres which NICE fund to -- or used to  
4 fund to produce their guidelines.

5           I might get the exact years wrong, but six years of  
6 that I was at the Royal Obs and Gynae where the National  
7 Guideline Alliance was hosted, that is where I produced  
8 the epilepsy guideline, and previous to that, I was at  
9 the Velindre NHS Trust as part of the National  
10 Collaborating Centre for Cancer, so I have worked with  
11 NICE, I have communicated with NICE for much longer than  
12 that.

13       Q. I hope, you mentioned a couple of times in the document  
14 {XF3/73} that you wanted to show the Tribunal something  
15 about Bill et al. You were shown a different page about  
16 Cramer. I think I have found the page, but let us see.  
17 If we could go to {XF3/73/12}, please. Was that the  
18 table you were looking for at the bottom? You were  
19 discussing with Mr O'Donoghue the Cramer trial, and he  
20 showed you the table on the Cramer trial, and you  
21 mentioned a couple of times that there was a table in  
22 here that dealt with the Bill --

23       A. I think it was table 2-5.

24       Q. 2-5, okay, well we did not -- could we run forward to  
25 find 2-5, please?

1 THE PRESIDENT: Flick through the pages and we will see when  
2 2-5 comes up.

3 A. I hope I have remembered that number right or the wrong  
4 table is going to come up.

5 THE PRESIDENT: If you see it on the way, do shout.

6 A. It is not scrolling on my screen so.

7 I think there are hundreds of tables unfortunately.

8 MR O'DONOGHUE: If it helps, we can tender this (inaudible).

9 THE PRESIDENT: That is helpful. We will give it another --  
10 we are almost there.

11 A. There we are, excellent. {XD3/73/126}

12 So I was trying to refer to this when I was trying o  
13 talk about systematic reviews, why we put the Cramer  
14 trial in. So we set our inclusion criteria, in this  
15 case it was double blinded randomised control trials,  
16 our population, our intervention, comparator, our  
17 outcomes, and we set that before we start looking for  
18 the evidence and then we search for evidence based on  
19 that, include/exclude trials based on that, and that is  
20 the evidence presented to the committee.

21 The importance of doing that, setting that  
22 beforehand, making those decisions beforehand rather  
23 than making those decisions afterwards is that it  
24 reduces bias out of the equation. You cannot eliminate  
25 studies you do not like and include studies that you do



1           like.

2           So, for example, if you are doing a technology  
3           appraisal and you are reviewing the previous evidence  
4           for your -- for the drugs that you had as comparators  
5           and then you see, you get, let us say for example you  
6           get five randomised control trials, if you wanted to --  
7           there may be two trials in there with a younger  
8           population that have better results. If you wanted to  
9           improve your offering, you might want to get rid of  
10          those two trials, so the effective assessment of your  
11          comparators goes down.

12          So you could then just spuriously afterwards just  
13          say: actually we are going to eliminate these two trials  
14          based on these reasons and if you have not listed them  
15          beforehand, there is some bias there.

16          So I think -- the thing I was trying to say with  
17          Cramer, it may have been a post-hoc decision made there  
18          to eliminate it based that it is not a very good trial  
19          so that is why I wanted to compare it with Bill, the  
20          1997 trial, this is the study that Dr Skedgel  
21          extrapolates from, and just to compare the limitations  
22          of that study to the Cramer study, and there seems -- to  
23          me there seems to be an inconsistency there that you  
24          would eliminate Cramer because it is not good quality  
25          but look at this: serious limitations, serious

1           indirectness, very serious imprecision again, but you  
2           would not eliminate this. In fact, this is the key  
3           driver of all the results of Dr Skedgel's model, there  
4           seems an inconsistency there to me.

5           THE PRESIDENT: Anything more, Ms Morrison?

6           MS MORRISON: No, thank you, sir. That is everything from  
7           me.

8           THE PRESIDENT: Mr Hawkins, thank you very much. I know you  
9           have come a long way to give evidence for a short period  
10          of time this morning, so it is with our particular  
11          thanks that you leave the witness box, but you are  
12          released from the witness box. Thank you very much.

13          THE WITNESS: Thank you.

14          MR O'DONOGHUE: Dr Skedgel.

15          THE PRESIDENT: Dr Skedgel.

16                         DR CHRISTOPHER SKEDGEL (recalled)

17          THE PRESIDENT: Dr Skedgel, good morning. Do be seated.  
18          Make yourself comfortable. You have water and a glass  
19          there. You will be proceeding straight into  
20          cross-examination because you are still under oath and  
21          your reports have been adduced into the record, so you  
22          will get some questions from Ms Morrison.

23                         Cross-examination by MS MORRISON

24          MS MORRISON: I do not know if first Dr Skedgel wants to  
25          find his own expert reports and things. I think you

1 have Mr Hawkins' statements in front of you --

2 A. I do.

3 Q. -- so if you want to orientate yourself first, please.

4 (Pause)

5 Good morning, Dr Skedgel. I have a number of topics  
6 to discuss with you today. My aim is to clearly  
7 signpost what I am focusing on at all times, but please  
8 do say if you need some clarification of what we are  
9 focusing on and so forth.

10 I do appreciate it is difficult to take on the spot  
11 exactly what someone is saying to you, process it and  
12 answer, so please, just at any point ask for  
13 clarification or a repeat of anything I have asked.

14 We will go to some of the documents today. I think  
15 most of them, or indeed most of them, certainly, you  
16 have already seen before. They either originated from  
17 Pfizer or you referred to them in your documentation or  
18 they were part of the trial bundle, but please, at any  
19 point, if it is something that you are not familiar  
20 with, please do indicate if you need some more time to  
21 read it, to read a wider passage and so on.

22 The last thing I will say by way of introduction is,  
23 as you may be able to hear, I have something of  
24 a horrible cold. If I cough or screech or anything  
25 else, it is not a tactic, it is just the never-ending

1 cold.

2 So moving to our first topic, I would like to start  
3 by clarifying the scope of your instructions so that  
4 I can be very clear exactly what I can and cannot  
5 discuss with you this morning, and so that we do not get  
6 into any difficulties or at cross-purposes.

7 So could we go first to paragraph 18 of your first  
8 report. It is at {XE3/1/5}. To orient us, you have  
9 just explained what you have done in your economic model  
10 and you say:

11 "Of course, this does not mean that the NHS will  
12 always pay prices equal to those that meet NICE's  
13 value-for-money test. Competition can play a role in  
14 driving down prices so giving the NHS better  
15 value-for-money. Discussion of the role of competition  
16 is not, however, part of my remit, and I do not discuss  
17 this further."

18 The first thing I take from this is that you accept  
19 that the NHS does not necessarily pay the price at which  
20 the treatment passes the QALY test?

21 A. I accept that, yes.

22 Q. And to confirm, you do recognise that competition  
23 therefore plays a role in driving down prices, so in  
24 terms of determining what actually is paid by the NHS?

25 A. That is correct.

- 1 Q. Given your expertise in this area, Dr Skedgel, I just  
2 want to check, I am sure you are aware that the  
3 Department of Health relies on competition to control  
4 the prices of unbranded generics?
- 5 A. I understand that, yes.
- 6 Q. And there are, of course, other policy levers used by  
7 the department such as the PPRS?
- 8 A. That is correct.
- 9 Q. But you have not been asked to consider the role of  
10 competition or any of these policy levers as part of  
11 your analysis?
- 12 A. I have not been asked and I would not consider myself an  
13 expert in those areas.
- 14 Q. Thank you, Dr Skedgel. It is really helpful just to  
15 clarify. I also do not believe that you have been asked  
16 to consider the implications of where a drug sits in its  
17 lifecycle as part of your analysis?
- 18 A. I have not been asked specifically to consider that, no.
- 19 Q. So I do not believe that you make any distinction in  
20 your reports between drugs that are patented, branded  
21 and unbranded when you are discussing, so for example  
22 the pricing of drugs?
- 23 A. No, indeed, as I recall, I think I make the point that  
24 I am agnostic about the point (inaudible).
- 25 Q. Can I just be very clear: I do not believe you have

1 considered in your discussion of the Flynn supply price,  
2 so your primary analysis where you discuss the just over  
3 £60 price, I do not believe that you have actually  
4 considered whether or not the NHS would have been  
5 reasonably willing to pay that price under competitive  
6 conditions. That is not something that you have been  
7 asked to consider as a health economist?

8 A. I think I have a difficult time separating what  
9 I think -- sorry, if I can say the question back to make  
10 sure that I understand it?

11 Q. Of course.

12 A. I do not think I am making any conclusion or judgment  
13 about what the NHS would have paid in this particular  
14 case, only I am analysing value in a health economic  
15 approach, relative to what NICE uses as its threshold.  
16 So I think there might be some grey area between the  
17 general principle of what NHS considers reasonable value  
18 and what they may have paid in this particular instance.

19 Q. Dr Skedgel, we will come back and discuss the  
20 relationship because it is one of the points I want to  
21 discuss with you later, but just to clarify for now, my  
22 understanding is you have been instructed, essentially,  
23 that the economic value test the Tribunal is applying is  
24 a legal one, so it is not for you to consider; is that  
25 right?

- 1 A. Correct, yes.
- 2 Q. So just to confirm, you do not reference it in any of  
3 your reports or your position paper, because it  
4 postdated it, the previous judgment of the Tribunal in  
5 the *Hydrocortisone* case where it discussed things like  
6 consumer surplus, you have not considered any of them?
- 7 A. No.
- 8 Q. My second very brief topic focuses on the facts,  
9 essentially phenytoin's history itself as a drug and its  
10 assessment by NICE previously. Are you aware,  
11 Dr Skedgel, that phenytoin was first synthesised in 1908  
12 and first commercialised in 1938; were you aware of  
13 that?
- 14 A. I am not sure that I am 100% aware of the precise dates,  
15 but I am aware that it is a very old medicine.
- 16 Q. We can agree that. It went off-patent long before  
17 Pfizer actually acquired phenytoin in 2000, so it has  
18 been off-patent for some time?
- 19 A. I can accept that.
- 20 Q. Did you know that phenytoin used to be sold as a branded  
21 product, Epanutin?
- 22 A. I am aware of that branding.
- 23 Q. But as part of the arrangements that are at issue in  
24 these proceedings, phenytoin became an unbranded generic  
25 drug. You are aware of that?

1 A. I am aware of that.

2 Q. So would you agree -- I know you have not been asked to  
3 consider it, but just to check if you would agree with  
4 it, the capsules at that point, in the 2012 to 2016  
5 period were in the third stage of the drug lifecycle; is  
6 that right?

7 A. I cannot say that I was specifically aware of what stage  
8 they were at in 2012.

9 Q. That is fine, Dr Skedgel. It just helps if I find out  
10 what building blocks we agree on, it helps with the  
11 questioning later on.

12 Phenytoin has been the subject of consideration by  
13 NICE on three occasions: first in 2004, are you aware of  
14 that?

15 A. Aware of that, yes.

16 Q. Second in 2012, which is the report that was right  
17 before the relevant period?

18 A. I am aware of that, yes.

19 Q. And third in 2022, which is the report that you note  
20 post-dates it, there has been a lot of debate about in  
21 these proceedings?

22 A. I am aware of that one, yes.

23 Q. On all three occasions, this evaluation was completed in  
24 the context of NICE promulgating guidelines, was it not,  
25 it was always about guidelines?



- 1 A. Correct, yes.
- 2 Q. So phenytoin has never in fact been the subject of  
3 a technology appraisal by NICE?
- 4 A. Not to my knowledge, no.
- 5 Q. As a consequence of the fact that phenytoin has never  
6 been the subject of a technology appraisal, phenytoin  
7 has never also been the subject of the statutory  
8 requirement for the NHS to fund it?
- 9 A. Also not to my knowledge, correct.
- 10 Q. Now, I just want to check if you have seen something  
11 that I have not. So I have reviewed your reports very  
12 carefully, as you can imagine, but correct me if I am  
13 wrong: you do not refer to any documents showing that  
14 Pfizer or Flynn conducted their own QALY analysis in  
15 2012 before introducing the price increases?
- 16 A. That is correct, I am not aware of that analysis.
- 17 Q. You have not seen anything like that? Nor have I. Or  
18 that they carried out any such analysis during the  
19 relevant period up to 2016?
- 20 A. Not that I am aware of.
- 21 Q. Or that anyone such as yourself was appointed before the  
22 start of these proceedings to do a QALY analysis?
- 23 A. I have no knowledge of that.
- 24 Q. I would like to move now to my third topic, which are  
25 real basics, I just want to cover some of the ground

1           again and see where we are agreeing, and so I believe  
2           quite a lot of this is common ground, so hopefully we  
3           can whip through it fairly quickly.

4           So I believe that NICE's approach analyses the cost  
5           effectiveness of a drug relevant to available  
6           alternatives?

7           A. That is correct, including a -- yes, as we discussed  
8           yesterday (inaudible).

9           THE PRESIDENT: Can you move a little closer and move the  
10          microphone a little nearer and we will see how we  
11          proceed.

12          MS MORRISON: It might actually help, Dr Skedgel, if I move  
13          this way a bit because I think what is happening is he  
14          is turning to listen to me and he is turning away from  
15          the microphone so I will just move up slightly just to  
16          make it a little bit easier for him.

17          THE PRESIDENT: Thank you very much, Ms Morrison, much  
18          obliged.

19          MS MORRISON: So QALY is always carried out by reference to  
20          a comparator and that is usually the current standard  
21          treatment?

22          A. Correct.

23          Q. So in the NICE 2022 analysis, the reference comparator  
24          was carbamazepine?

25          A. The comparator will depend on -- I think as I mention in

1 my teach-in yesterday, the comparator is not so much  
2 defined a priori as it emerges from the analysis itself,  
3 it is whichever is the least costly alternative among  
4 the comparators.

5 Q. There is essentially -- for epileptic treatment, the way  
6 it is being done by both yourself and NICE, so far as  
7 I understand it, is that you end up with a reference  
8 product and you use that.

9 A. Correct, yes.

10 Q. So for NICE it was carbamazepine, for you it was  
11 pregabalin, but I understand it should make no  
12 difference, ultimately, to the results, in a sense?

13 A. Broadly speaking, I think that is correct, yes.

14 Q. You mention now, and you mentioned yesterday that there  
15 can be a sort of no treatment, by which I mean I do not  
16 think the NHS ignores patients, there will still be GP  
17 appointments and things?

18 A. Correct.

19 Q. But in the epilepsy field, it has always been done to  
20 one of the very many anti-seizure medications that is  
21 available.

22 A. To an active comparator, yes.

23 Q. An active comparator. So again this is covering some  
24 ground from yesterday, but just to get it briefly, as  
25 has been discussed in the teach-ins, NICE conducts QALY

1           analyses in two particular contexts, first, the  
2           guidelines context, which is when phenytoin has been  
3           considered previously?

4       A.   Correct.

5       Q.   And second, in the context of technology appraisals?

6       A.   Correct.

7       Q.   Now, these technology appraisals I think we do agree are  
8           at least mainly carried out in respect of new  
9           technologies?

10      A.   Mainly, yes.

11      Q.   And technology appraisals can also be carried out for  
12           new indications of drugs when there is something new?

13      A.   Correct.

14      Q.   Can we go to your teach-in slide from yesterday,  
15           slide 12, which is at {XE7/8/12}, just so that you have  
16           it in front of you, it is the middle bullet point, just  
17           to read it out:

18                   "The Technology Assessment ... programme assesses  
19           value of a technology relative to one or more  
20           comparators. TAs typically focus on the newest (and  
21           most costly) technologies, but there is nothing in NICE  
22           guidance or methods that prevent the assessment of older  
23           technologies. Indeed, many of the comparators in any TA  
24           will be older (generic) technologies."

25                   Can we just break this down a little bit?

1           I think everyone agrees that the comparator used,  
2           the reference product, could be an older generic  
3           technology, I think you agree with that as well, that is  
4           what you say?

5       A. Yes.

6       Q. But in those circumstances, it would be the newer  
7           treatment which would be the focus of the analysis?

8       A. Correct, but from my perspective the focus of the  
9           analysis does not change how these items are  
10          incorporated into the assessment.

11           When you are conducting an assessment, there is  
12          nothing, again, in the methods that says: I treat this  
13          slightly differently in my model because it is the focus  
14          or the primary interest. Again, everything just sort of  
15          emerges from the model.

16       Q. You are looking at it from the point of view of the  
17          health economist about what happens in the model, but in  
18          terms of the real world, if the generic drug is just  
19          used as a comparator, it would not be the subject of the  
20          statutory requirement to fund it, so the consequences  
21          are different?

22       A. Yes, I think that is fair to say.

23       Q. If the comparator is in the guidelines, I have seen no  
24          indication that whatever happens in the technology  
25          appraisal then feeds into the guideline process in terms

1 of the generic, it does not change that drug's status,  
2 for example, third line or second line?

3 A. I do not think I am qualified to comment on how NICE  
4 would treat the results from a generic in a TA in  
5 a guideline.

6 Q. Unless I have missed it, I do not think you have  
7 identified any example of an unbranded generic being the  
8 subject, so the product that is being considered as the  
9 main product in a technology appraisal?

10 A. Correct, not that I am specifically aware.

11 Q. Can we go to paragraph 47 of your position paper,  
12 please. Actually, no, we do not need to go there, never  
13 mind. Sorry, that is Skedgel. I think we have already  
14 answered that.

15 So now moving to what NICE does when it calculates  
16 QALYs and ICERs, it analyses effectively an opportunity  
17 cost; is that right?

18 A. I think part of the discussion in the teach-ins  
19 yesterday was it is not quite clear what NICE is  
20 assessing their value of a QALY on the basis of. There  
21 is some school of thought that it is opportunity cost,  
22 there is another school of thought that it is some  
23 version of societal willingness-to-pay, so I do not  
24 think I am ready to say that it is absolutely  
25 opportunity cost.

1 Q. But in very simple terms -- and this is just talking  
2 about an aspect of what NICE does -- what Mr Hawkins has  
3 explained is what they are concerned about is we are  
4 taking £20,000 away from somewhere else in the NHS to  
5 fund the drug under consideration, so you want to at  
6 least get -- figure out whether you get an additional  
7 QALY, so insofar as that very simple -- sorry, I should  
8 have clarified -- that very simple aspect of the  
9 process, that is essentially trying to do some realm of  
10 an opportunity cost analysis?

11 A. Yes, opportunity cost in the sense of efficiency and  
12 maximising health gains within a budget, I would accept  
13 that, yes.

14 Q. Just to confirm, the QALY analysis looks at total  
15 treatment costs, not just the price of the drug. We  
16 tend to talk about this as focusing on the drugs, but of  
17 course it is the wider treatment?

18 A. That is correct.

19 Q. The price of the drug is just an input into the QALY  
20 analysis: it is set by the manufacturer and NICE takes  
21 the price as a given?

22 A. NICE -- correct, NICE does not intervene to set the  
23 price of a medicine, but, again, as I think I covered in  
24 my teach-in, my position is that there is an indirect  
25 influence on price, yes.

1 Q. We will certainly come on to talk about value-based  
2 pricing. I think that is one of the points you make, is  
3 just that it is an input, so I just wanted to confirm  
4 that today.

5 A. Yes.

6 Q. NICE may also consider other factors than just the ICER  
7 result, it is not determinative?

8 A. That is correct.

9 Q. We will discuss the thresholds in much more detail, as  
10 I am sure you will be delighted to hear, momentarily,  
11 but you have explained in your evidence that if in  
12 particular the ICER is greater than 20,000, NICE can  
13 consider uncertainty around the ICER any uncaptured or  
14 non-health benefits associated with the technology,  
15 equity issues and wider costs and benefits to the NHS  
16 and society. Is that right?

17 A. Yes, I think you are perhaps reading from the NICE  
18 methodology guideline which I am aware of, yes.

19 Q. I think it is actually a quote from you, but I think it  
20 is a quote you have taken from the NICE guidelines, so  
21 we are all regurgitating NICE's literature.

22 Can we go to Mr Hawkins' first statement on this at  
23 paragraphs 22 to 23 which is at {XC1/6/6}. Could I ask  
24 everyone to read paragraphs 22 and 23. (Pause)

25 The first point to take from that is that



1           uncertainty is equally important in the context of  
2           guidelines, we tend to focus on technology appraisals,  
3           but it is equally important in the context of  
4           guidelines.

5       A. Based on my understanding of guidelines, I am not sure  
6       I would accept that statement. One of the  
7       distinguishing features of the guidelines process  
8       compared to the TA process is guidelines are much more  
9       pragmatic, and in that sense, I think they are more open  
10      to a bit of uncertainty than perhaps a TA process would  
11      be, so I am not sure I would strictly accept that it is  
12      equally important.

13      Q. We will come back to that in a moment. Just focusing  
14      now on the first point in paragraph 22, the first Roman  
15      numeral:

16           "(i) the degree of uncertainty around the ICER ..."

17           And it becomes more cautious about recommending  
18           a technology.

19           "...(... when advisory bodies are less certain about  
20           the ICERs presented in the cost effectiveness  
21           analysis) ..."

22           Would I be right to say that NICE is concerned with  
23           decision uncertainty and is concerned with the  
24           probability that a different decision could be reached  
25           if the true cost effectiveness of each technology could

- 1           be ascertained before making the decision?
- 2       A. I think it is true that NICE does take uncertainty into  
3           account in their decision-making, yes.
- 4       Q. Can we go to your second report which is at {XE3/2/16}  
5           and start with paragraph 43 which is at the very bottom  
6           of the page and goes over the page. If I could just ask  
7           everybody to read paragraphs 43 and 44.
- 8       THE PRESIDENT: Would it be possible to have both pages side  
9           by side? Thank you. (Pause)
- 10      MS MORRISON: In paragraph 43 I think you were referring to  
11           the Claxton paper that you were also discussing  
12           yesterday in your slides, which is about the economic  
13           theory that investment decisions should be based on the  
14           expected value of the decision parameters?
- 15      A. That is correct, it is not the exact same paper, but the  
16           book that I cite here cites that paper, so --
- 17      Q. Right, I see, and in a sense, that is the approach you  
18           took in your first report of focusing on the expected  
19           value?
- 20      A. Correct.
- 21      Q. But I think then what you are acknowledging in  
22           paragraph 44 is that is not the way NICE approaches  
23           things, so they see value in technologies -- see less  
24           value in technologies that have greater uncertainty and  
25           that is a point you explain, that:

1            "... given two medicines with the same expected  
2 costs and outcomes, that where one medicine had more  
3 uncertainty around those expected values, NICE would  
4 tend to see less value in the more uncertain alternative  
5 relative to the more certain alternative."

6            So it is fair to say that uncertainty is pretty  
7 central to NICE's decision-making?

8            A. Yes, and here I think it is important to distinguish  
9 I was trying to estimate the expected cost per QALY  
10 gained, which to me is different, as I think you have  
11 appropriately pointed out, is an important factor in  
12 NICE's decision but not, as you say, deterministic.

13            I have tried to focus on the estimate and tried to  
14 avoid making any implication of what the decision would  
15 have been. So I would see uncertainty relating to the  
16 decision but not necessarily to the estimate itself.

17            Q. So I take it you agree, based on that answer, which is  
18 very helpful, that what Mr Hawkins said yesterday about  
19 NICE being a risk-averse decision-maker, you accept  
20 that?

21            A. I accept that, yes.

22            Q. You did outline yesterday your position on this expected  
23 value approach, and that is your approach as a health  
24 economist, but I am correct to say in light of the  
25 answers that you have given that NICE does not adopt

1           that expected value economic theory in its approach to  
2           its decision-making?

3       A. I do not think I would say it does not adopt, but it  
4       adopts, perhaps you could call it, a hybrid version  
5       where pure economic theory might, say, make the decision  
6       on the basis of expected value, they make some hybrid  
7       decision based on a combination of the expected value  
8       and the uncertainty, but again, like lots of things in  
9       the NICE process they have never specifically said how  
10      much weight they give to one or the other factor in  
11      their decision.

12      Q. So NICE would look at, for example, the outcome of  
13      a probabilistic sensitivity analysis to decide how much  
14      confidence it has in the results that have been put  
15      forward for it to consider?

16      A. Yes, that is correct.

17      Q. Now I want to focus on what NICE does not do as part of  
18      the QALY analysis, and I think it slightly maps on to  
19      what you have therefore not done in your report that we  
20      discussed earlier, but just to make sure we are all very  
21      clear. QALY analysis does not take directly into  
22      account the age of the technology, I think you say that  
23      in paragraph 4.1 of your first report?

24      A. I accept that I would have said something like that,  
25      yes.

1 Q. I think you also said it does not take into account the  
2 place of the drug in its lifecycle?

3 A. Correct.

4 Q. I think you said it was agnostic, you were agnostic,  
5 NICE is agnostic, for QALY analysis?

6 A. That is correct, yes.

7 Q. So again, in the QALY analysis itself it does not take  
8 into account whether the relevant drug is patented or  
9 branded, it is not making any distinctions on that  
10 basis?

11 A. Correct, correct.

12 Q. I think you have also explained -- I do not need to turn  
13 it up, but if you do need to see it at any point do  
14 say -- you said in paragraph 23 of your second report:

15 "... NICE has never referenced production costs in  
16 its assessments. Quite simply, the costs of production  
17 of a particular manufacturer, or its actual or potential  
18 competitors, are not relevant to NICE's assessment of  
19 value at a price set by the manufacturer."

20 So to the extent that the Tribunal considers that it  
21 has to take into account some things such as the  
22 manufacturer's costs, QALY analysis is not going to help  
23 it on that front?

24 A. That is correct, like I say, I have never seen anything  
25 about cost of production in a NICE assessment.

1 Q. Now I just want to quickly clarify just the process  
2 adopted by NICE, I think this is again set out in your  
3 evidence, but just to go through it. So for technology  
4 appraisals, the manufacturer will submit a value dossier  
5 for the product which includes an economic model, like  
6 the one that you have produced for phenytoin in this  
7 appeal?

8 A. That is correct.

9 Q. The Evidence Review Group or Expert Review Group,  
10 whichever name it was at the time, will then critique  
11 the submission and may make changes to the model?

12 A. That is correct.

13 Q. Now, of course, that has not happened in respect of your  
14 report, that is not a criticism, it is just a fact.

15 A. That is correct.

16 Q. You said in your position paper that the changes made by  
17 the ERG reflect normally their view of a more plausible  
18 and technically more conservative estimate of the value  
19 of the technology, so NICE normally revises things down  
20 rather than up, is that right?

21 A. That is typical I would say, yes.

22 Q. So then the NICE appraisal committee would receive the  
23 original dossier and the ERG's critique and the  
24 committee then decides what it thinks the most plausible  
25 estimate is to inform their final decision?

- 1 A. Yes, correct.
- 2 Q. And again, no criticism, but that has not happened here,  
3 there has been no input of that kind?
- 4 A. Correct.
- 5 Q. You also explained in your second report that the HTA  
6 process often involves resolving differences between the  
7 views and conclusions of the manufacturer and ERG in  
8 relation to methods, results and values. There is often  
9 disagreements?
- 10 A. There is often disagreements, yes.
- 11 Q. Again, that process has not occurred?
- 12 A. Correct.
- 13 Q. Do you accept, Dr Skedgel, that if you submitted your  
14 analysis to NICE, the most likely result would therefore  
15 be changes that revised your estimates upwards rather  
16 than downwards?
- 17 A. To the extent that there were any changes, yes, I would  
18 expect that they would be in a more conservative  
19 direction.
- 20 Q. You will be delighted to hear that my fourth topic I am  
21 moving on to now is the threshold. I had not realised  
22 how much information there could be on a threshold, but  
23 anyway. The issue I really want to discuss with you is  
24 to what extent the thresholds can guide an understanding  
25 of what the NHS is reasonably willing to pay but taking

1           very much on board the indicators you have given as to  
2           the scope of your instructions, so if at any point this  
3           is just not a question for you, please do just say.

4           A. Thank you.

5           Q. I am going to start with the easy basics for us and ease  
6           us in.

7                     Starting with what the thresholds are. Mr Hawkins  
8           has explained that NICE applies different thresholds as  
9           a guide in respect of the two processes that we are  
10          talking about, so for technology appraisals the  
11          threshold range is of £20,000 to £30,000. You have  
12          mentioned it in your reports, everyone has, that is  
13          right?

14          A. That is correct.

15          Q. But Mr Hawkins explains that for guidelines, the guide  
16          threshold is lower at £20,000; do you accept that?

17          A. I accept that, yes.

18          Q. So pausing there, just to orient us in terms of what  
19          that means for your analysis, as you outlined in your  
20          reports and in your teach-in, your primary QALY  
21          analysis, which is the price that was paid by the NHS at  
22          the beginning of the relevant period, your analysis  
23          suggests that phenytoin would have an ICER of £19,557?

24          A. That is correct.

25          Q. So that would be just inside the guidelines threshold?



- 1 A. Just within, yes.
- 2 Q. And it would be just within the lower end of the range  
3 for NICE, for technology appraisals, of £20,000 to  
4 £30,000?
- 5 A. I think it would be just -- depending on how you want to  
6 phrase it, it would be just below what they consider an  
7 acceptable range. Below in the good sense.
- 8 Q. I think it is fair for me to show you the manual rather  
9 than try and read lots of bits of it to you, just to  
10 make sure we understand how the TA different levels  
11 work. So if we could go to {XF3/58/171}. If I could  
12 ask everyone to read -- I am sorry, this is a lot of  
13 reading -- but 6.3.4 through to 6.3.7, please.
- 14 Could we go over the page to 6.3.7 so you could read  
15 through {XF3/58/172}. (Pause)
- 16 Just on 6.3.4, it is right that NICE says that  
17 a technology will normally -- and I stress normally --  
18 pass only if its ICER cost per QALY is below £20,000, it  
19 does not guarantee, it does it?
- 20 A. That is correct.
- 21 Q. Mr Hawkins says in the context of guidelines if the  
22 intervention is near to or at the £20,000 level, NICE  
23 usually requires more certain evidence around the  
24 treatment's effectiveness and costs. Do you accept  
25 that?

- 1 A. I can accept that, yes.
- 2 Q. Mr Hawkins also explains the higher threshold range  
3 applied in the technology appraisal context is generally  
4 to account for the innovative nature of the technology.  
5 Would you agree with that?
- 6 A. Innovation is one factor, equity factors can be another.  
7 There are specific distributional equality goals that  
8 the NHS and NICE pursue, but, yes, in general.
- 9 Q. So taking the wider factors as well, I appreciate  
10 innovation is just one of them, but it would suggest  
11 that for the purposes of technology appraisals, the  
12 higher threshold implies that NICE places some  
13 additional value on new technologies and new  
14 innovations?
- 15 A. Yes, I think that is fair to say, yes.
- 16 Q. So the fact the drug is patented has at least an  
17 indirect relevance to how NICE approaches its overall  
18 assessment of the drug, because it tends to be new drugs  
19 are patented drugs?
- 20 A. I am sorry, could you repeat that question?
- 21 Q. I am sorry. So we have discussed the fact that patented  
22 drugs, whether they are patented or not, is not  
23 something that is taken into account in the QALY  
24 analysis itself, but in setting the threshold, NICE  
25 applies a higher threshold for newer technologies that

1 takes into account innovation, I think Mr Hawkins  
2 referred to it as innovation premium.

3 So do you accept that the fact a drug is patented so  
4 it is a new technology has at least an indirect  
5 relevance to how NICE approaches its overall assessment  
6 of the drug?

7 A. I think to some extent I think might be getting the  
8 direction of causality wrong. I agree with you that  
9 NICE will tend to apply more value to an innovative drug  
10 and to the extent that innovative drugs are more likely  
11 to be new and therefore patented I can accept that  
12 argument, but I do not think it starts from the point  
13 that the drug is patented.

14 Q. I believe you agree that NICE does not apply an absolute  
15 or a hard-edged threshold to distinguish cost-effective  
16 treatments?

17 A. I would agree, yes.

18 Q. I think you accepted it was more of a rule of thumb,  
19 I think that is the language that you used yesterday?

20 A. I did use that language, yes.

21 Q. I think we have aired NICE's dirty secrets when it comes  
22 to their threshold. So I think that means that  
23 a treatment may still be recommended if it exceeds the  
24 threshold?

25 A. That is my understanding, and, as I think I presented in

1 my teach-in, there is our academic studies that try and  
2 put a number on that, yes.

3 Q. I think Mr Hawkins said that they vary wildly from  
4 finding it is 5,000 up to 70,000, which is also helpful.

5 A. I think we were speaking in different contexts, but,  
6 yes, I can accept that.

7 Q. So a treatment may still be rejected even if it is most  
8 plausible estimate gives rise to a cost per QALY below  
9 the £20,000 guide threshold?

10 A. It is rare, but it is possible, yes.

11 Q. Could we go to a paper called Dakin et al. It is at  
12 {XF3/36} and I would like to go to page {XF3/36/10}.

13 I would like to focus on the figure at the top of  
14 the page, so as big as we can make that figure 2.

15 We can see from the explanation at the bottom, what  
16 this is trying to sort of document is the impact of ICER  
17 ranking on recommendations and its technology appraisal  
18 recommendations:

19 "Decisions are ranked by ICER, with NICE decisions  
20 to 'recommend' shown in blue and to 'reject' shown in  
21 red. For clarity ..."

22 I think it is just a sample set is being shown.

23 So we can see that even below the £20,000-£30,000  
24 range, there are lots more red lines than one would  
25 expect, so it does happen sometimes, as you have said,

1 that they reject it below the threshold.

2 Could we go to page {XF3/36/12}. I would like to go  
3 to the third paragraph under the section that is 3.3:

4 "Relationship between ICER and probability of NICE  
5 recommendation."

6 If we could blow that up a bit for everybody.

7 So the third paragraph, I am just going to read it  
8 out so I can ask you some questions about it.

9 Dr Skedgel, actually, I think this paper was referred to  
10 in one of your reports. I assume you are very familiar  
11 with it. I am sorry, I should have asked that.

12 A. I would not say very familiar, but I do think I have  
13 read the paper in the past.

14 Q. Sorry, I should have asked that at the start. I just  
15 wanted to check before just reading out a paragraph to  
16 you:

17 "The decisions that were poorly predicted by our  
18 models were generally rejected because of substantial  
19 uncertainty or included statements within the guidance  
20 suggesting that the committee believed the ICER to be  
21 at/near the top or bottom of the stated range."

22 So NICE can still reach a negative decision if it  
23 does not have confidence in the analysis done if it is  
24 concerned about uncertainty?

25 A. Correct, yes. I think all the speakers yesterday

1           pointed out the non-deterministic nature of the ICER in  
2           this context.

3       Q.   It can also reach a negative decision if the committee  
4           thinks the ICER is at or near the top or bottom of the  
5           relevant range so it is concerned that it is at the  
6           borderline effectively?

7       A.   Yes, yes, I can accept that.

8       Q.   The next point I would like to discuss with you as part  
9           of this hopefully not never-ending topic on thresholds  
10          is your position on the interpretation of the thresholds  
11          and we touched on that in the start of the questions and  
12          I just want to be really clear about what opinions you  
13          are and are not able, and then given -- able to give,  
14          then actually are giving. This is where I am going to  
15          need your help in particular.

16                So starting with the basics again, I understand your  
17          position to be that the QALY analysis is a useful guide  
18          to understanding the value of phenytoin at its new  
19          price?

20       A.   That is my position, yes.

21       Q.   But when you are talking about value, you are talking  
22          about value as expressed by NICE's QALY analysis?

23       A.   Yes.

24       Q.   Not in terms of any other concept of value?

25       A.   Correct, strictly in terms of NICE's assessment of

1 value.

2 Q. So there are two particular aspects of the evidence that  
3 I need to clarify with you, mostly in order so that I am  
4 very clear and we are all very clear exactly what we are  
5 talking about.

6 The first is about the extent to which you were  
7 giving an opinion on the relationship between  
8 willingness-to-pay and the informal or guide thresholds  
9 used by NICE.

10 Can we go first to paragraph 4.1 of your second  
11 report which is at {XE3/2/3}. If we could focus in on  
12 the paragraph at the very bottom of the page. If  
13 I could ask everyone to read that paragraph. (Pause)

14 So in this paragraph, I will just read out the bit  
15 that I am focusing on, you say that NICE's acceptable  
16 range of £20,000 to £30,000 per QALY gained:

17 "... represents a good use of NHS resources and  
18 therefore what its users (ie society) would reasonably  
19 pay for a unit of health gain, regardless of the age of  
20 a technology or its place in its lifecycle."

21 So this suggests that you are giving an opinion that  
22 even if the cost per QALY is above £20,000, society  
23 would be reasonably willing to pay the price as long as  
24 it is below £30,000?

25 A. Yes, that is my opinion based on my understanding of

1 NICE's explanation of its threshold and its methods.

2 Q. So you are giving an opinion on what QALY means in terms  
3 of reasonable willingness-to-pay?

4 A. Yes.

5 Q. Could we then go to paragraph 6 -- actually, no, we do  
6 not need to do that.

7 Could we go, I think, more simply just to  
8 paragraph 15.2 of your position paper, which is at  
9 {XE6/1/4}.

10 If we could focus in on paragraph 15.2. If I could  
11 ask everyone to read it. (Pause)

12 There what you seem to be saying, Dr Skedgel, is  
13 that you:

14 "... agree with Professor McGuire that NICE does not  
15 see itself as applying a legal test of economic value.  
16 It also does not ask what the NHS (as the customer)  
17 would pay. NICE does, however, ask whether a health  
18 technology represents 'a good use of NHS resources' ..."

19 That is the point we have already discussed. But  
20 then you say:

21 "Whether, by extension, that means that a price is  
22 'reasonable', or one that the NHS would 'reasonably pay'  
23 is a question of law. From my perspective as a health  
24 economist, however, there is a clear connection between  
25 deciding that a drug is a 'good use of ... resources'



1 and deciding that the price is a reasonable one."

2 So here you seem to be saying you are not giving an  
3 opinion on whether or not it would be the price that it  
4 would be reasonably willing to pay or be a reasonable  
5 one to pay, so I just want to be very clear what your  
6 opinion is and what you feel able to give as an opinion  
7 as a health economist.

8 A. Sorry, could I ask you to re-ask the question?

9 Q. Of course. Could we have potentially -- I will get the  
10 reference for it again -- paragraph 4.1 up on the screen  
11 and have the two together, please.

12 So 4.1 is at {XE3/2/3}. If we can keep 15.2 and  
13 have on the other side {XE3/2/3}, and so where my  
14 difficulty is, Dr Skedgel, is I want to be very clear  
15 exactly how far you are going in the opinion you are  
16 giving, and in my reading of the first one, you are  
17 prepared to say that if you fall within NICE's range,  
18 that therefore means it is an indication of what you as  
19 a society would reasonably pay for a unit of health  
20 gain, so you are giving an opinion on whether it talks  
21 about willingness-to-pay, reasonable willingness-to-pay,  
22 but then when we get to 15.2 on the right-hand side, you  
23 seem to be saying that that is a question of law and  
24 that all you are saying is that there is a clear  
25 connection between the two, that the drug is a good use

1 of NHS resources and deciding that the price is  
2 a reasonable one.

3 So I just want to be very clear what it is that you  
4 are saying and what you feel able to say given your  
5 instructions on the law.

6 A. With respect to 15.2, my point is I agree with  
7 Professor McGuire that NICE is not assessing the  
8 economic value of a treatment in the context that  
9 I think the people in this room today are concerned  
10 about it, but from a health economist's perspective,  
11 I think what NICE views as a reasonable price to pay for  
12 a unit of health is informative to the problem that you  
13 are dealing with today.

14 Q. So you are not saying that just because a drug falls  
15 within either the threshold range for TAs or under the  
16 20,000, you are not saying that by dint of that, that is  
17 a price that the NHS and society would be reasonably  
18 willing to pay; you are saying it is relevant to  
19 assessing that?

20 A. I think I am struggling with the reasonably willing to  
21 pay. My understanding of how NICE approaches its value  
22 assessment is it has laid out £20,000 to £30,000 per  
23 QALY as a reasonable use of NHS resources, and from my  
24 perspective, as a health economist, I take that to mean  
25 it is a reasonable price for a unit of health.

1           I accept that I think health economists and  
2           competition lawyers use "reasonable price" to mean  
3           different things, but strictly from my perspective as  
4           a health economist, I believe what NICE views as  
5           a reasonable use of NHS resources perhaps has bearing on  
6           the legal question that you are dealing with.

7        Q. Thank you, Dr Skedgel. I just wanted to be very clear  
8           whether or not you were giving an economic opinion as to  
9           this legal question of reasonable willingness-to-pay  
10          because there is slightly different language used  
11          between the two paragraphs, but I think you are being  
12          very clear that that is not what you are doing.

13       A. I do not think that is what I am trying to do, no.

14       Q. Can I also just clarify a related point on the  
15          substance. I am sorry, I need to show you again two  
16          passages of your evidence. If we could first on the  
17          left-hand side, can we go to slide 6 of your teach-in.  
18          It is at {XE7/8/6}. I am focusing on the second bullet  
19          point. There you say that what cost benefit analysis  
20          does in healthcare decisions, it:

21                "Seeks to estimate the value of a health technology  
22                to a healthcare system. In this, health economics  
23                departs from conventional cost-benefit analysis by  
24                largely rejecting willingness-to-pay ... as the only  
25                appropriate, or even most appropriate, measure of

1 value."

2 QALYs are the preferred measure.

3 So am I right to understand that this is saying  
4 willingness-to-pay is just not a relevant concept in  
5 this health economics scenario?

6 A. Not relevant might be overstating it, but it is not our  
7 primary measure of value in the way that it is in other  
8 branches of economics and cost-benefit analysis,  
9 correct.

10 Q. I think that helps within this next bit. Can we go to  
11 yesterday's transcript, so {Day14LH1/60:14-25}. Could  
12 I ask you to just read -- perhaps read from line 10  
13 because that is where you get the question, essentially  
14 from the President. (Pause)

15 I want to understand how these two bits of evidence  
16 that we have looked at work together because  
17 I understood the point on your slide and from your  
18 teach-in that willingness-to-pay is not really a central  
19 concept here, but then here in this passage you seem to  
20 be saying that the way to understand the QALY analysis  
21 is it is about societal willingness-to-pay for health.

22 Can I just understand what you are meaning by  
23 "societal willingness-to-pay for health" here and how it  
24 relates to your suggestion that QALY is not really about  
25 that. If I could just ask you to explain your position.

1 I am giving you a clear run, which we do not normally do  
2 in cross-examination, but I just want to try and  
3 understand your position on where willingness-to-pay  
4 fits in.

5 A. In my slide I am distinguishing the method of  
6 willingness-to-pay which could also be called contingent  
7 valuation from QALY analysis which we conduct.

8 So in my slide, I am distinguishing between the  
9 methods we use, but there is still, even within the QALY  
10 approach, there is still a threshold that economists  
11 typically refer to as the willingness-to-pay for a QALY.

12 So I think I am distinguishing the method and saying  
13 health economics tends not to use the method, but there  
14 is still a threshold that we call the willingness-to-pay  
15 for a QALY.

16 Q. So I think from everything we have been discussing your  
17 position on the thresholds is that it is some form of  
18 indication of what society is willing to pay, but it is  
19 not a complete answer, is I think what you are saying.  
20 Is that a fair way to summarise it?

21 A. Could be a complete answer to what question?

22 Q. So in terms of trying to predict -- so say I am trying  
23 to predict what the NHS would have been willing to pay  
24 for phenytoin in 2012, that is essentially the exercise  
25 we are trying to do, subject to competitive conditions,

1 I think what you are saying is that what the QALY  
2 thresholds -- all that they do really is provide an  
3 indication of what society is prepared to pay for  
4 a health economic unit?

5 A. Yes, and as you say, I have used the term "rule of  
6 thumb". It is a guide, but not a hard rule, correct.

7 Q. I just want to be very clear, and I do not think you are  
8 saying this, but I just want to be very clear that you  
9 are not because I will need to cross-examine you on it  
10 if you are, is I do not think you are saying that so  
11 long as the drug falls under either £20,000 or £30,000,  
12 the maximum of the range for technologies and this sort  
13 of key £20,000, then that price should, by dint of that,  
14 be deemed reasonable for the NHS to pay. I do not think  
15 that is the opinion that you are giving; is that right?

16 A. I think my position may be slightly more nuanced, that  
17 it is, in the first instance, an indication that it is  
18 perhaps a reasonable price, but it is not in itself,  
19 I would agree with you, the end of the story.

20 Q. I think, Dr Skedgel, that is what I was trying to get at  
21 with complete earlier but I think we are on the same  
22 page in understanding each other.

23 Just on paragraph 4.1 of your second report which we  
24 have looked at, it might be useful for us to bring it  
25 back up again, if I just grab the reference, {XE3/2/3}.

1           You say there:

2                   "... £20,000 to £30,000 per ... (QALY) gained  
3           represents a good use of NHS resources and therefore  
4           what its users (ie society) would reasonably pay..."

5                   Now, I just want to clarify, I think we agreed  
6           earlier in the £20,000 to £30,000 range, NICE makes  
7           clear that it will consider a range of other factors  
8           before it decides whether or not to recommend the  
9           technology?

10          A.    Correct.

11          Q.    Is the fact that it is just within the threshold range  
12               sufficient to be an indicator of what the NHS would be  
13               reasonably willing to pay, or should that really be  
14               referring to below the £20,000 threshold where it is  
15               normally allowed at that rate?

16          A.    Yes, I think it is fair to say the basis of the decision  
17               changes as you move further away from being below  
18               £20,000 to towards £30,000.

19          Q.    I also just want to check before we move on to  
20               a slightly different part of the thresholds topic.  
21               Can I also check whether you agree with me that QALY  
22               analysis does not assess what society or what the NHS  
23               would be reasonably willing to pay in a competitive  
24               market?

25          A.    No, we take no account of competition or competitiveness

1 in a QALY analysis, no.

2 Q. Now, finally, I promise, on the thresholds issue,  
3 Dr Skedgel, I want to see what we can agree about how  
4 the QALY thresholds we have discussed are used by NICE  
5 and how they should be interpreted in terms of  
6 willingness-to-pay. So we have discussed that they are  
7 not hard edged as a rule of thumb, so we do not need to  
8 talk about that again.

9 From yesterday, I think everyone agrees that the  
10 informal thresholds which are used are based on limited  
11 empirical evidence and they have an uncertain history.

12 A. I would accept that, yes.

13 Q. That is the dirty secret from yesterday. The roughly  
14 £20,000 figure has not been changed in over two decades?

15 A. That is correct.

16 Q. I think you said yesterday that it is hard to see there  
17 is a clear justification or clear reason why it is  
18 £20,000 and not £25,000 or not £15,000?

19 A. That is also correct.

20 Q. So can we take from this that QALY analysis is really  
21 just relevant as a comparator tool for NICE's  
22 assessment, that that is essentially how far they go in  
23 how useful they are for NICE and for society?

24 A. Sorry, can you (inaudible) the question?

25 Q. I am sorry. I think essentially what I am just asking



1 is essentially, because it is not a hard -- there is no  
2 fixed threshold, it is essentially just a comparative  
3 tool to assist NICE in deciding whether a drug should  
4 come on to the NHS or where it fits in the guidelines?

5 A. I think I would take the position it is a bit stronger  
6 than a decision aid. I think from the figure you put up  
7 there is a clear correlation, if nothing else, between  
8 the ICER and the probability of an approval, but I do  
9 agree with you again that it is not a strictly  
10 deterministic mechanism.

11 Q. I just want to show you a paper that I actually believe  
12 that you cite in paragraph 16 of your second report, but  
13 I just would like to go to the actual document itself  
14 just to see if you agree with some of the points made in  
15 this report.

16 Can we go to {XF3/27/1}, please. This paper is from  
17 2009. I wanted to note that on the first page. Could  
18 we note at the top that this is a paper by  
19 Michael Rawlins et al. Professor Sir Michael Rawlins  
20 was the founding chairman of NICE. I think he was chair  
21 from 1999 to 2013. That is who this is?

22 A. Yes, I am aware of Michael Rawlins.

23 Q. Yes. The passage you refer to in your second report is  
24 actually at the bottom of page {XF3/27/2}, so if we  
25 could look at that first. I think it is the final

1 paragraph on page 2, and I think all that is really  
2 doing is summarising NICE's case-by-case approach to the  
3 thresholds, but I would like to focus on the previous  
4 paragraph which is I believe what you are referring to  
5 in your second report when you say that "NICE is careful  
6 to avoid suggesting there is an absolute threshold."

7 If I could ask everyone to read that first paragraph  
8 under "The case-by-case approach", and the points 1 to  
9 4. (Pause).

10 So, Dr Skedgel, I just want to take each of the  
11 points in 1 to 4 in turn.

12 The first one is:

13 "To set a threshold would imply that efficiency has  
14 an absolute priority over other objectives (such as  
15 fairness)."

16 I think we discussed that and we agree that NICE  
17 takes wider factors into account?

18 A. I agree.

19 Q. So you agree with that reason for not having a hard  
20 threshold?

21 A. Yes, I mentioned the equity objectives.

22 Q. Yes. We have discussed, again, the weak empirical  
23 basis, I think we have already agreed that that is  
24 a reason why there is not an absolute threshold.

25 A. Correct.

1 Q. Then just the third and fourth. I am just going to read  
2 them out and then ask you some questions about them:

3 "Many health technology suppliers are monopolists  
4 and a threshold could be taken to imply a definite price  
5 that could discourage price competition."

6 And then:

7 "Rigid adherence to cost-effectiveness threshold  
8 would create the impression that NICE's advisory bodies  
9 accept all the calculations that have gone into  
10 estimating a technology's cost-effectiveness. It would  
11 therefore remove their discretion to assess costs and  
12 benefits appropriately when modelling has reached its  
13 limits."

14 I just want to ask you a very open question: do you  
15 disagree with either of the points made in those two  
16 points, 3 and 4?

17 A. I do not. I do not disagree.

18 Q. A key concern of NICE is therefore to avoid the  
19 impression that the threshold amounts to a definite  
20 price that could be charged by a monopolist?

21 A. Again, sorry, do you mind asking --

22 Q. A key concern of NICE is to avoid the impression that  
23 the threshold amounts to a definite price that could be  
24 charged by a monopolist. That is the point in 3, is it  
25 not?

- 1 A. Yes, I think that is a fair statement, yes.
- 2 Q. The concern being that if you are a monopolist holder of  
3 the rights to a drug, there is no price competition, so  
4 you would create a situation where, with facing no price  
5 competition, a monopolist would be free to charge  
6 whatever they want as long as it passed the £20,000  
7 threshold?
- 8 A. Yes, and I think I have made that point in a couple of  
9 my reports, that despite NICE's reluctance to provide  
10 that reference point, I think in practice I have shown  
11 that it is quite often used as a reference point for  
12 headroom analysis and economically justifiable prices.
- 13 Q. We will certainly come on to headroom analysis  
14 momentarily as I am sure you predict, but in terms of  
15 what NICE is trying to do, it is deliberately not saying  
16 to manufacturers: all you have to do is pass the  
17 threshold and you are fine; that is not what the  
18 Department of Health is saying to manufacturers through  
19 NICE.
- 20 A. Yes, I think that is an appropriate interpretation of  
21 that point, yes.
- 22 Q. So NICE does not want to give monopolists a ceiling  
23 price that they can just get away with?
- 24 A. Actually, I think the language you used in your question  
25 is a useful one. I think to some extent they perhaps do

1           want to give a ceiling price. Obviously they do not --  
2           they would prefer that not everyone prices at that  
3           ceiling, but it is certainly an indication that we are  
4           not willing to consider beyond this ceiling.

5       Q. So in a sense you think about it as the thresholds tell  
6           you what you really cannot go above, but it is not  
7           making you any promises below?

8       A. Yes, I think that is a fair summary.

9       Q. The same would apply -- we tend to focus on technology  
10          appraisal because that is in effect what you have done  
11          for phenytoin, but the same concerns would, of course,  
12          apply to the £20,000 threshold in guidelines: they would  
13          not want to be signalling anything goes as long as you  
14          hit the target or just under it?

15      A. Yes, I do not think that would be their desire or  
16          preference, correct.

17      Q. Can we now go to {XF3/24/1}. This is a paper by McCabe  
18          et al about the NICE cost effectiveness threshold, it is  
19          a paper from 2008. You do refer to this literature in  
20          paragraph 21 of your second report, but I just want to  
21          check how familiar you are with it to make sure I give  
22          you enough to read before I ask you any questions on  
23          a bit of it.

24      A. It is been a while since I have read this in detail, so  
25          I would appreciate a bit of context.

1 Q. No problem. So can we go to page {XF3/24/2}, then, at  
2 the bottom on the left. There is a heading, and I think  
3 then from your indication the first thing to do is just  
4 to ask you to read the section from where it says:

5 "What the Current Methods Guide Says."

6 Sorry, I cannot now see the top of the other column.  
7 If you could just read down to, I think, the paragraph  
8 just under the quote -- so section 2 starts, there is  
9 a little first paragraph, if you could read the quote  
10 and the following paragraph after that.

11 A. So read to "legitimate reference for the Committee"?

12 Q. On the right-hand side it starts with:

13 "The guide then goes on to consider a range of  
14 possible other factors ..."

15 I do not think we need to read that bit because we  
16 have already gone over those bits. So it is from:

17 "2. What the Current Methods Guide Says."

18 The first paragraph starts with:

19 "The 2004 Methods Guide ..."

20 There is then a long quote and the paragraph  
21 immediately after that.

22 A. Okay. (Pause)

23 Okay.

24 Q. I just want to focus on what is said in the quote first.

25 I think the first thing is it is right, I believe, that

- 1           there is considerable debate over what the threshold  
2           should be. We have already touched on that.
- 3       A. That is absolutely correct, yes.
- 4       Q. In fact, Professor McGuire explained in his teach-in  
5           yesterday that other bodies such as the Department of  
6           Health use a lower threshold of £15,000; are you aware  
7           of that?
- 8       A. I was not specifically aware of that, no.
- 9       Q. So what is being said in this quote, I think, from the  
10           2004 guidance, is that NICE does not have all the  
11           necessary information about the health programmes to  
12           allow it to set a fixed threshold, so you would need  
13           a lot more information about the health programmes if  
14           you were going to attempt to set a hard threshold?
- 15       A. Correct, and in particular, you would need information  
16           on the cost effectiveness of older medicines and  
17           generics, yes.
- 18       Q. And also if NICE were to try to set a threshold in this  
19           way it would need to change it over time to take account  
20           of how the NHS budget changes?
- 21       A. Correct, yes.
- 22       Q. And presumably for inflation as well?
- 23       A. Well, you raise the point that the threshold has not  
24           changed since it was first defined, and that has been  
25           a point of contention again among health economists who

1 do occasionally question why the threshold has not risen  
2 with inflation.

3 Q. So really this goes to, and I think a point we are  
4 agreeing, but I just wanted to make sure that you had  
5 seen this, that what NICE is saying is: look, we use the  
6 comparators as a reference point, but it is in no way  
7 determinative of our decision-making because it is not  
8 a perfect tool?

9 A. Correct, I accept that.

10 THE PRESIDENT: Dr Skedgel, I just want to ask you this: if  
11 you were being asked to devise a rational way of causing  
12 the threshold to vary over time, how would you do it?

13 A. So I can give you the theory of the threshold. The  
14 threshold -- there are two critical pieces: there is the  
15 budget and there is the threshold, and the theory of  
16 cost-benefit analysis says rank all the programmes you  
17 are interested in from the most efficient to the least  
18 efficient, in this case the cost per QALY or the  
19 inverse, the QALY gain per pound spent, they are  
20 equivalent in terms of efficiency. You rank them from  
21 most to least. The point at which you run out of budget  
22 is the threshold. The two -- in theory, the two cannot  
23 be separated: the budget determines the threshold or  
24 conversely the threshold determines the budget. If you  
25 say I want to fund everything that meets a particular



1 threshold, you lose control of your budget. If you set  
2 a specific budget, you lose control of your threshold.

3 NICE is walking a tightrope of trying to impose both  
4 of them at the same time.

5 THE PRESIDENT: Yes, so what you are saying is if you have  
6 a threshold which is very, very low in the sense that  
7 only extraordinarily good value drugs make it through  
8 there, you will stretch your budget far further but in  
9 fact you will end up with fewer drugs being paid for  
10 because the threshold is so stringent?

11 A. Precisely.

12 THE PRESIDENT: On the other hand, if you adjust the  
13 threshold differently so that it is easier to pass, you  
14 will end up spending more money, you would either have  
15 to increase your budget to afford the same number of  
16 drugs, or you will have to --

17 A. I might pause at where you said the same number of  
18 drugs.

19 THE PRESIDENT: Yes.

20 A. In principle, you should be able to buy -- if you  
21 increase your threshold, you will allow more drugs into  
22 the system.

23 THE PRESIDENT: Yes.

24 A. But not necessarily pay more for the same number of  
25 drugs.

1 THE PRESIDENT: Sorry, let me see what I said just to make  
2 sure we are on the same page.

3 Yes, well, I suppose what I am saying is that you  
4 will have more drugs that qualify, you will have ranked  
5 them according to the factors we have been discussing --

6 A. Yes.

7 THE PRESIDENT: -- and you will erode the budget by  
8 reference to the first in line, the second in line and  
9 so on, and at some point you will run out of money, and  
10 if the threshold is more generous in the first  
11 situation, you will run out of money quicker --

12 A. Yes.

13 THE PRESIDENT: -- and you will have to work out: do  
14 I increase the budget in order to get the same number of  
15 drugs as I got under the previous situation, and of  
16 course, you will get more because you are allowing more  
17 in.

18 A. Yes, that is the straightforward intuitive  
19 interpretation.

20 THE PRESIDENT: I understand. So going back to my original  
21 question about how you would adjust the threshold to the  
22 budget, I think your answer to me is that in the nicest  
23 possible way, my question was utterly pointless because  
24 the threshold is not adjusting to anything related to  
25 inflation or value or anything like that. What it is

1           doing is it is constituting the increment by which each  
2           drug that passes that threshold erodes the budget.

3           A. You could approach that problem from either side.

4           THE PRESIDENT: Indeed, I quite appreciate that you have two  
5           variables, and the combination of the two affects how  
6           many drugs are fed into the system.

7           A. Yes.

8           THE PRESIDENT: I am focusing not on the budget but on the  
9           threshold element, so if you assume, which I accept is  
10          not the case, but you assume a rigidly fixed budget, the  
11          effect of varying the threshold means that you have  
12          a different drug constitution in terms of what is  
13          purchased than in a different situation.

14          A. Yes. We call it the bookshelf analogy. So you could  
15          imagine a very tall book at the extreme left-hand side,  
16          this is the very efficient programme, and then  
17          efficiency goes down. So as you increase the threshold,  
18          make it more generous, the number of books you can have  
19          on your bookshelf goes down.

20          THE PRESIDENT: Yes, I am grateful, but it does mean that  
21          the sort of questions that one would ordinarily apply to  
22          thresholds, namely inflation, for example, is not really  
23          a meaningful point for adjusting the threshold?

24          A. Again, that is a point of ongoing debate within health  
25          economics of how inflation should or should not feed

1           into the -- my understanding, I am not an expert on the  
2           threshold, but my understanding of the justification for  
3           why it stayed is that as a ratio, if we are assuming all  
4           prices -- prices and budgets are increasing at the same  
5           rate, that we can treat this almost as a real number  
6           rather than a nominal number, there is debate about  
7           that.

8       THE PRESIDENT: But we do learn from the fact that the  
9           threshold has remained unchanged something about NICE's  
10          understanding of the threshold?

11       A. One view would be that it has become more stringent over  
12          time because costs have gone up, but the threshold has  
13          not. Another view would say: well, it is all balancing  
14          out because the budget is increasing at the same rate as  
15          inflation, but that is not necessarily the same as the  
16          same rate as the cost of production.

17       THE PRESIDENT: Well, it would depend. I mean, if each  
18          particular drug increased in exact proportion to budget,  
19          then it would not matter, but in any other case, it  
20          would make a difference.

21       A. It would seem to make a difference, and there is, as you  
22          would imagine, endless debate over what that difference  
23          is.

24       THE PRESIDENT: I am grateful. So my question was not  
25          completely pointless, I am very grateful.

1 PROFESSOR WATERSON: Could I just come in here? You talked  
2 a little bit back about a clear correlation between the  
3 likelihood of a product being accepted and the QALY  
4 analysis, and also the previous paper that we had up had  
5 a similar language about this. So am I to understand  
6 this in a sort of statistical sense that there are  
7 a range of things being considered by NICE in these  
8 analyses and, if you like, if one comes in at £2,000 per  
9 QALY, it gets a big tick, but if it comes in at £15,000  
10 it will get a potential tick but there will be more  
11 investigation and so on, and so there will be, within  
12 the set of products that are approved, new, I guess,  
13 there will be new things all the time, there will be  
14 quite a range of QALYs, potentially?

15 A. Potentially, yes, and, as you say, as you get closer to  
16 that £29,000 and £30,000 per QALY, you had better be  
17 delivering something beyond simply QALY gains to ask for  
18 that cost per QALY, yes.

19 PROFESSOR WATERSON: Thank you, that is very useful.

20 MS MORRISON: This is essentially me stealing a question  
21 from Professor Waterson from the economists' hot-tub so  
22 I should acknowledge that, but he was using a threshold  
23 of £12,000, but I will keep to £20,000 first for  
24 simplicity, because that is really what we are talking  
25 about here, but if every single drug on the NHS -- this

1 feels like a silly question, but if all the drugs priced  
2 on the NHS were priced up to the £20,000 threshold in  
3 real life, that would blow the NHS budget, would it not?

4 A. I mean, certainly from the question the President asked,  
5 that is correct, there would be fewer books on the  
6 shelf, fewer drugs in the system, within the existing  
7 budget, yes.

8 Q. So essentially what happens is NICE does its role, but  
9 presumably the Department of Health and others are  
10 relying on other policy levers to control the prices  
11 that are feeding into that process in other ways to make  
12 sure at least some of them are below £20,000?

13 A. That is my understanding, again, I am not an expert on  
14 the other mechanisms that the Department of Health has  
15 at its disposal.

16 Q. I did acknowledge that and I am not going to ask you  
17 anything on that.

18 I just want to give you a chance to comment on this  
19 in all fairness. I just wanted to show you slide 8 from  
20 Professor McGuire's teach-in from yesterday which is at  
21 {XE7/7/8}.

22 I want to just focus on the example he gives. There  
23 is various main bullet points, and it is the one:

24 "Generics will already be on the market."

25 Then his example is the second bullet point

1           underneath that, he is making the point:

2            "If this were done the NHS would soon be  
3 bankrupt ..."

4            So it is the question I just asked, and he gives the  
5 example of metformin. I just wanted to give you an  
6 opportunity to comment on that analysis with anything  
7 that you wanted to say about it being incorrect, or do  
8 you accept that that is at best a rough assessment of  
9 what the kinds of implications it could be for drugs  
10 already on the NHS?

11        A. I accept Professor McGuire's mathematics on this, but  
12        I question the plausibility of the scenario he lays out.  
13        I did see this in his teach-in yesterday, and I had  
14        a look online last night. By my count, there is 22  
15        generic manufacturers of metformin listed on the BNF at  
16        the moment, so I find it implausible that --

17        Q. I think Professor McGuire would agree it is an  
18        improbable scenario that anyone is going to try to price  
19        back up to that threshold with 22 generics in the  
20        market. I just wanted to give you an opportunity to  
21        comment on the maths, because it is just an example of  
22        the point.

23        THE PRESIDENT: Well, no, I think we are asking for more  
24        than that, are we not, because your point, Dr Skedgel,  
25        is this: that the maths may very well be right, but you

1 will never get to that level because of competition  
2 between generic producers of drugs which will mean that  
3 the price will not trend up to the maximum, it will be  
4 at some level that is competitive?

5 A. That is correct, sir, and even beyond that, how NICE  
6 deploys metformin would change. Right now they have an  
7 implicit or an explicit understanding of the value of  
8 metformin to particular patients and because the price  
9 is so low, there is a relatively low threshold to put  
10 someone on metformin. If the price of metformin changed  
11 dramatically, I think as Mr Hawkins pointed out,  
12 a dramatic price rise like this would perhaps trigger  
13 a guideline review that would change how metformin was  
14 deployed within the NHS, which I think in itself would  
15 mitigate the budget impact of this price increase.

16 THE PRESIDENT: Not just that, but it would in and of itself  
17 operate as a change in the demand curve which would have  
18 an effect on price.

19 A. Yes.

20 THE PRESIDENT: Because effectively if you are selling at  
21 the low price, 500,000 units of whatever product it is  
22 but the price triples and the guidelines then change and  
23 you sell 50,000, well, your price may well have been --  
24 your price increase may well have been an uneconomic one  
25 in the sense that your total revenue will be less?



1       A. Yes. Going back to my undergraduate microeconomics and  
2       price elasticity, price demand elasticity, yes, that  
3       seems like a fairly natural expectation in a reasonably  
4       competitive market, yes.

5       THE PRESIDENT: It is just that the demand curve in this  
6       example is not informed by the individual choices of  
7       purchasers of a product. It is informed by the  
8       assessment of NICE as to what is and what is not good  
9       value for doctors to prescribe given the change in  
10      circumstances in terms of value for money and all the  
11      things that NICE does?

12     A. Yes, so again, I am by no means a medic and have very  
13     limited understanding of metformin, but my expectation  
14     would be NICE would identify a particular -- a sub-group  
15     of everyone who is receiving it now that would benefit  
16     more from metformin and try and segment that bit of the  
17     patient population that said: this, now, is the only  
18     people who will be eligible for metformin and we will  
19     change those eligibility criteria or guideline  
20     recommendations.

21     THE PRESIDENT: Thank you very much.

22     MS MORRISON: My question on this is essentially: this is an  
23     example, then, of the fact that NICE is not saying to  
24     every generic supplier it is okay to price up to  
25     £20,000, there is an expectation that competition will

1 do its work separately?

2 A. I am not sure I am prepared to accept that this is NICE  
3 telling generic manufacturers that it is not -- again,  
4 going back to our earlier discussion, I think it is  
5 saying we do not accept anything beyond this, and  
6 obviously we would prefer that it is well below this.

7 Q. Can we now go back to page 7 of the McCabe article that  
8 we were looking at earlier which is at {XF3/24/7}.

9 Now, given what you said earlier, can I ask you just  
10 to read the whole of -- there is a heading:

11 "4. Implications of Setting the Threshold to  
12 Optimally Exhaust a Fixed Budget."

13 Could I ask you to read the rest of this page and  
14 then when we get to the next page a little bit over the  
15 page? (Pause)

16 It is just a little bit over the page where it says:

17 "... by, for example, facilitating perfect price  
18 discrimination."

19 Do you agree with me that this is focusing on what  
20 happens if patent holders price to the QALY threshold;  
21 that seems to be the concern that is driving this  
22 discussion?

23 A. Yes.

24 Q. Given what you said earlier about you have not -- in  
25 terms of the scope of the discussion, I just want to ask

1           you one simple question: as a health economist, can you  
2           just confirm whether you agree with the analysis here  
3           that if a manufacturer prices to the threshold they are  
4           capturing the full value of the innovation?

5        A. I have seen this argued before in different contexts,  
6           and I accept the analysis if £20,000 is taken as the  
7           maximum willingness-to-pay. Where I perhaps deviate  
8           from this argument is NICE makes it clear that in some  
9           contexts it is willing to pay up to £100,000 and I think  
10          Professor McGuire made the point that in extreme cases  
11          that can go as high as I think £300,000. So I think  
12          saying £20,000 represents the absolute ceiling  
13          willingness-to-pay is not correct and therefore there is  
14          still the potential for consumer surplus beyond £20,000.

15        Q. I fully appreciate that there are other thresholds and  
16          they are higher, but let us take first if this is  
17          a £20,000 threshold for guidelines. If someone prices  
18          to that threshold under the guidelines process, then  
19          they are capturing the full value of any innovation,  
20          that threshold is £20,000?

21        A. I am not sure I accept necessarily that £20,000  
22          represents the full value of the product. They may have  
23          priced to £20,000, but I do not think in itself that  
24          defines the full value of the product as £20,000.

25        Q. So we need to consider other factors, then, to come to

1 a view of how the value has been --

2 A. I think so.

3 THE PRESIDENT: How are you defining "value"? I mean, you  
4 are defining "value" as the equivalent of the threshold?

5 MS MORRISON: Sir, actually, I was defining value here in  
6 terms of the threshold per QALY, but thinking about  
7 value as the QALY, so that is what I am trying to get at  
8 and the willingness-to-pay that we have discussed is to  
9 a degree inferred by that -- I appreciate that  
10 Dr Skedgel's evidence is clear that he is not saying it  
11 equals willingness-to-pay, so that is what I was trying  
12 to give him an opportunity just to comment on what he  
13 thinks of this analysis about producer surplus.

14 THE PRESIDENT: Your question was if someone prices to that  
15 threshold under the guidelines process then they are  
16 capturing the full value of any innovation. Now, that  
17 is, I think, equating the value to the price; that is  
18 the premise of the question.

19 MS MORRISON: Sorry, there I am equating a value to the cost  
20 efficiency, sorry, sir, just to be very clear, because  
21 I think what is being discussed here is there is  
22 essentially if you are below the threshold, there is an  
23 efficiency gain because you have, in a sense, a drug  
24 that is more cost effective, so there is a question  
25 about how that is shared. If you price up to the

1 threshold -- I am looking at Dr Skedgel -- if you price  
2 up to the threshold, what this article is discussing is  
3 that then the manufacturer is reaping the benefits of  
4 that efficiency gain, whereas if it is below, there is  
5 some degree of sharing of consumer surplus.

6 THE PRESIDENT: All right, so you are talking efficiency  
7 gain, not value?

8 MS MORRISON: Sorry, yes, sorry, sir.

9 So, Dr Skedgel, I just want to ask you that again,  
10 then, in terms of referring to it as the efficiency  
11 gain: who is capturing the efficiency gain if they are  
12 pricing up to the threshold?

13 A. Yes, I can accept framing it as efficiency gain that  
14 that gain is going to the manufacturer.

15 Q. I apologise, Dr Skedgel, I appreciate that was  
16 confusing, I am sorry. The word "value" has far too  
17 many meanings in these appeal proceedings.

18 I was about to move on to a different but related  
19 issue, so I think that might be a good place to stop,  
20 sir. I realise that I have gone past when we should  
21 normally have broken.

22 THE PRESIDENT: Thank you very much. We will rise for  
23 ten minutes. Thank you.

24 (11.38 am)

25 (A short break)

1 (11.57 am)

2 MS MORRISON: I am going to move on from thresholds, I am  
3 sure you are relieved to hear. I would like to move on  
4 discussing the meaning of the thresholds and discuss  
5 a fifth topic: QALY analysis and pricing.

6 I want to discuss first your evidence that NICE has  
7 an indirect influence on pricing when it comes to  
8 technology appraisals. I would like to turn first, if  
9 I can, to paragraph 14 of your second report which is at  
10 {XE3/2/7}. I think the last five lines is what I wanted  
11 just to ask everyone to read, because I think that is  
12 essentially where you explain what the process is. If  
13 I could ask everyone to read that.

14 A. Sorry, where am I starting?

15 Q. Sorry, the last five lines starting:

16 "Essentially, headroom analysis is the analytic  
17 process of ..."

18 It is just to the end of the paragraph. It is just  
19 to set the stage of where we are and what we are talking  
20 about as headroom analysis. (Pause)

21 Dr Skedgel, would it be fair to say that when you  
22 are referring to value-based pricing or headroom  
23 analysis, that is a form of pricing that applies in the  
24 lead-up to market entry for a drug?

25 A. I would say it applies at the time that they submit to

- 1 NICE, they submit their TA to NICE.
- 2 Q. So it is about submitting your TA to NICE to be able  
3 to -- I think you talk in one of the parts of your  
4 report about it being about access to the UK market, it  
5 is an obstacle that you have to get past?
- 6 A. NICE approval, yes.
- 7 Q. If we are talking about an unbranded generic like  
8 phenytoin, it seems unlikely, because it is not going --  
9 it has not, at least, been submitted for a technology  
10 appraisal, that anyone would be engaging in value-based  
11 pricing, because it is about that point of getting on to  
12 the market?
- 13 A. I do not think I would agree with that in its entirety.  
14 Obviously there has been a price change. I am -- as  
15 I say, I am not privy to any information that they did  
16 conduct any sort of headroom analysis, but equally  
17 I cannot rule out the possibility that they would have  
18 in setting their new price.
- 19 Q. We have no evidence of any of that in this case.  
20 I wonder then if we could have a quick look at that --  
21 I have no idea how to say this name, it is Boudewijns  
22 et al. It is a literature that you rely on in your  
23 report, so I wondered if you knew better than me how to  
24 say it, but let us go to it first, {XF3/63/1}. I am not  
25 trying to catch you out on the name of anything.

1           This is it. Can we go to page {XF3/63/2} of this  
2 paper, please. That should be it. Can I ask everyone  
3 to read the introduction down over the page to -- there  
4 are little notes in brackets and there is note 11, if we  
5 could read to note 11. If we could perhaps put the next  
6 page on the side beside it, it would make it easier for  
7 everyone. (Pause)

8           This is talking about headroom analysis as something  
9 that happens during the early stages during the  
10 development of health technologies. It is not  
11 suggesting that it happens once the drug is already on  
12 the market.

13       A. On the market, no, but I think as I read it -- sorry,  
14 there was a line where it says it most often happens  
15 early in the stage, something to that effect.

16       Q. We will move forward. Could we move to page {XF3/63/8}.  
17 Under the heading "4.4 Recommendations", and the first  
18 paragraph, what it says is:

19           "The headroom analysis is developed to rule-out  
20 health technologies that are unlikely to be viable in  
21 the future. It can be used by companies to avoid  
22 wasteful spending or to convince potential investors of  
23 the room for improvement in current practice, for  
24 funders to preclude health technologies that do not have  
25 the potential to be cost effective, or for researchers



1 to assess whether there is a proof-of-problem and to  
2 justify public spending. The deployment of the headroom  
3 analysis will depend on its aims, the stage of  
4 development, and resources available."

5 But again, this seems to be really just about these  
6 analyses being done before the drug enters the market,  
7 so it is not about something that a supplier, like  
8 Pfizer or Flynn, would do, when they are trying to  
9 reprice a drug that is already on the market.

10 A. I agree with you that this paper is discussing headroom  
11 analysis in the context of early feasibility-type  
12 studies. As I have also said, I cannot comment on  
13 whether Pfizer or NICE did conduct such an analysis, but  
14 I can also confirm that in my previous -- in my  
15 modelling role at my previous consultancy, we regularly  
16 conducted these sorts of analyses to inform pricing as  
17 part of a NICE submission.

18 Q. So it is part of a NICE submission, so it is part of the  
19 technology appraisal process, that is where you have  
20 experienced it having a --

21 A. Prior to submitting, but setting the price that would go  
22 in as part of the submission.

23 Q. So it is that prior process before you submit?

24 A. Yes, in preparation for the submission, yes.

25 Q. You made one new point yesterday on NICE's role in the

1 price-setting in the context of guidelines, so I just  
2 wanted to have a quick discussion about that before we  
3 move on.

4 Could we go to slide 25 of your teach-in which is at  
5 {XE7/8/25}. Can I ask everyone else, I am sure you are  
6 familiar with it, Dr Skedgel, to remind themselves of  
7 the last bullet point.

8 I think you are saying here, and you said yesterday,  
9 that NICE also has an indirect influence on pricing  
10 through this kind of a comment.

11 Could we -- before I ask you some more questions  
12 about that, I want to show you some documents. Could we  
13 now turn to {XG/121/214}, please. It is the third full  
14 paragraph on the page, it starts:

15 "Other AEDs ..."

16 If I could just ask you to read there. Sorry,  
17 I should have said, this is from the 2012 guidelines  
18 which you were quoting from.

19 A. Okay.

20 Q. I apologise, I should have said that. (Pause)

21 So the anticipation was that it was going  
22 off-patent, the genericisation of the product would lead  
23 to some level of drop in price in levetiracetam. I made  
24 Professor Sander laugh a lot practising for this  
25 hearing. So I will ask that again.

1           So the anticipation was that genericisation would  
2           lead to some level of drop in levetiracetam, but it was  
3           not known by how much, and that was the issue that NICE  
4           was troubled with in 2012.

5       A.   Okay.

6       Q.   So what the committee was doing was anticipating the  
7           benefits of competition; it was not itself giving an  
8           indication designed or intended to provide a guide on  
9           price.

10      A.   Offering my opinion here, I think I would, as  
11           a manufacturer, I would interpret that as a fairly  
12           strong signal of what they would expect an acceptable  
13           price to be.

14      Q.   What they are saying is that it needs to go below  
15           a certain level, but the price is going to be determined  
16           by generic competition, so NICE is not trying to  
17           influence any particular manufacturer of what price they  
18           should end up at; that would be determined by  
19           competition, would it not?

20      A.   So I recognise collusion is a big issue in  
21           pharmaceutical pricing, but at the same time, economics  
22           has a branch known as game theory where you try to  
23           predict what your competitors will do and respond in  
24           response to what you believe your competitors will do.

25           So I do not think I accept that competitors, even,

1           you know, genuine legal competition I think would  
2           interpret that as a signal of where their price should  
3           be, would need to be, to gain substantial market access  
4           in the UK.

5       Q.    Could we then go to figure 6.14 of the Decision which is  
6           at {XA1/3/370}.

7       THE EPE OPERATOR:   This document only has 355 pages.

8       MS MORRISON:   It might be that it is {XA1/1/370}.  Let us  
9           try that.  I am sorry for that.  That was what I was  
10          looking for.

11                 Figure 6.14.  So this is the total number of  
12                 levetiracetam (generic) and Keppra (brand) tablets that  
13                 were dispensed across from 2004 to 2021, and we can see  
14                 that the red bars, and this is from the key at the  
15                 bottom, the red bars are the Keppra-branded product  
16                 tablets, that blue is the levetiracetam generic tablets,  
17                 the body of them.

18                 You can see the blue starts in 2011 but really takes  
19                 off in 2012, so that is what was anticipated by NICE.

20                 Could we then have a look at figure 6.16 which is  
21                 a couple of pages on at {XA1/1/373}.  The orange line is  
22                 levetiracetam, and you can see where the price was at  
23                 2011, so this is the prices for generics, and you can  
24                 see what happens with -- you can see how the price  
25                 essentially plummets, the orange line.

1           Does that not suggest, given the degree to which the  
2           price plummets, that the indication NICE gave about  
3           having below 50% had no influence on this pricing,  
4           generic competition pushed the prices down  
5           substantially?

6           A. Yes, I would accept that this appears to be much greater  
7           than a merely a 50% decline, yes.

8           Q. So you would agree, Dr Skedgel, that the likely cause of  
9           that price reduction was the generic competition  
10          (inaudible) to do with what NICE said?

11          A. Yes, it does not appear that that particular NICE note  
12          had a lot of influence on the final price.

13          Q. Are you aware of any other examples in the guidelines of  
14          NICE giving an indication like that one?

15          A. Not offhand, no.

16          Q. Now, my sixth topic that we are going to move on to is  
17          essentially about clarifying exactly what your analysis  
18          does. I am going to start again with the basics. You  
19          have not conducted your own QALY analysis in respect of  
20          all of the anti-seizure medications which were available  
21          in the 2012 to 2016 period?

22          A. Correct, we focused on the -- I think in that -- in the  
23          2012 version I think they were called the tertiary  
24          adjuvant line.

25          Q. There is lots of different terminologies, there is

1           third-line or there is second-line adjunct, but I think  
2           we are on the same page, it was the third group of  
3           drugs.

4       A.   Yes.

5       Q.   You then added perampanel because that was added  
6           as a third-line drug in December 2012, so during the  
7           period that we are looking at.

8           You conclude that phenytoin, even at the higher  
9           prices charged in the relevant period fell just below  
10          the £20,000 QALY threshold, so I just want to be very  
11          clear: you do not suggest that NICE incorrectly  
12          classified phenytoin as a third-line drug, you do not  
13          dispute that characterisation?

14       A.   Not at all, or I take no position on that.

15       Q.   Can we go first to paragraph 97 of your first report  
16           which is at {XE3/1/25}.

17          Now, I acknowledge at this stage you had not  
18          conducted a sensitivity analysis that you do in your  
19          second report, but can I just ask everyone to read  
20          paragraph 97.   (Pause)

21          I just wanted to confirm that it remains your  
22          position that small changes in cost or outcomes could  
23          change the relative ranking of the comparators, that is  
24          what is said in the middle of that paragraph?

25       A.   Yes, I guess to be clear, small changes in the expected

1 value of those, yes.

2 Q. Which means I think you would accept that even a small  
3 change in your approach, for example, a change to the  
4 proportionality assumption, could result in changes to  
5 the ranking of the ICERs, is that what you mean?

6 A. I think the challenge is in defining a small change, so,  
7 again, I cannot recall if the threshold analysis was  
8 part of my initial report or the second report but, as  
9 I showed in the teach-in slides, to me there is enough  
10 buffer in what that efficacy estimate would need to be  
11 without changing the -- without moving it outside the  
12 range of what NICE would consider.

13 Q. We will come back to the (inaudible) analysis then. Can  
14 we now go to paragraph 41 of your first report at  
15 {XE3/1/10}. If I could ask everyone to read that  
16 paragraph. Again, I am sorry for all the reading.

17 (Pause)

18 I want to break it into two steps. In a sense what  
19 you were trying to do first of all is put yourself in  
20 NICE's shoes as sort of end of 2012/beginning of 2013,  
21 that is what you were trying to do in this exercise?

22 A. In this exercise, I was trying to produce an analysis of  
23 the sort that NICE would expect as part of a TA  
24 assessment, not trying to put myself in the shoes of  
25 NICE as the decision maker.

1 Q. I see, sorry, that is what I meant, in terms of trying  
2 to do that, the QALY analysis as if they were doing it?

3 A. Yes.

4 Q. Then you said there in this paragraph you have referred  
5 to it almost as if it does not matter whether it is  
6 being done as a technology appraisal or as a clinical  
7 guideline development. In your position paper you have  
8 talked about putting your analysis forward as  
9 a hypothetical technology appraisal essentially. Does  
10 it matter to you which one it is considered as?

11 A. No, in my view the analysis -- the -- for me, the  
12 material difference between a TA and the guideline is  
13 how the economic -- the underlying economic analysis is  
14 interpreted and implemented, not in how the analysis  
15 itself is conducted.

16 Q. As we have discussed, though, the implications would be  
17 very different in the real world: if it was a technology  
18 appraisal it could lead to a statutory requirement to  
19 buy, etc?

20 A. Yes.

21 Q. But you are focusing on the prior stage of that  
22 essentially?

23 A. Correct.

24 Q. Now, as we discussed, you are looking at this as if  
25 quasi -- I am putting myself in 2013. I just wanted to



1 check that you are aware that when NICE considered  
2 phenytoin in 2012 it did not conduct an economic  
3 evaluation of it?

4 A. I am aware of that, yes.

5 Q. That was because of the narrow NTI.

6 A. I understand that, yes.

7 Q. So just ask yourself: if NICE had decided to reconsider  
8 its approach back in 2013, there is at least a chance it  
9 would have done the same thing again and just said we  
10 cannot do this because of the narrow therapeutic index,  
11 is that right? You know -- just when you are thinking  
12 about it, that was a possibility, was it not?

13 A. I can accept it was a hypothetical responsibility, but  
14 the subsequent decision -- if we are talking about  
15 subsequent decisions, the fact that it was included in  
16 the 2022 guidelines I think makes that a very  
17 hypothetical statement.

18 Q. So in 2022 it did consider it, but the result was that  
19 it found it to not be cost effective as part of its QALY  
20 analysis and that was at a lower cost than the one which  
21 you used because it was post the 2012-2016 period?

22 A. Correct, at a lower cost and a lower efficacy, yes.

23 Q. That brings me neatly to my next topic. I would like to  
24 discuss now the ways in which your analysis departs from  
25 the approach that NICE has taken and NICE's conclusions.

1           You have explained in your reports that due to  
2           constraints in the availability of evidence and the time  
3           you had to adopt a different approach -- and the time  
4           that you had, you have had to adopt a different approach  
5           to that recommended by NICE in some respects. That is  
6           right, is it not?

7           A. Yes.

8           Q. So, for example, you have not conducted a systematic  
9           literature review; instead you have described what you  
10          have done as a pragmatic review?

11          A. That is correct.

12          Q. But that meant, of course, that you missed out Cramer  
13          which NICE relied on. That is right, is it not?

14          A. We did miss Cramer in the first instance, yes.

15          Q. Now, I appreciate you disagree with Mr Hawkins -- you  
16          and Mr Hawkins have a disagreement about the correctness  
17          of that decision. Pausing there, before I ask my  
18          questions about it, I just wanted to give you an  
19          opportunity to respond to Mr Hawkins' comments this  
20          morning about the comparison between Cramer and Bill et  
21          al, because obviously that has not been flushed out in  
22          the paper before the Tribunal.

23          A. Sure. In fact I think just lower down the screen, the  
24          page that is on the screen right now, I make the point  
25          that we exclude Cramer not on any assessment of the

1 quality, but as being non-informative to the model that  
2 we were constructing. So we constructed, as Mr Hawkins  
3 noted, a two-state model: full seizure-freedom or  
4 nothing, a very dichotomous outcome. Given that we were  
5 focusing on complete seizure-freedom as the outcome of  
6 interest in the model and Cramer does not distinguish  
7 partial from complete response, we could find no way to  
8 include that in our analysis.

9 Q. So ultimately, because you adopt a different model, you  
10 looked at different studies essentially is I think what  
11 you are saying?

12 A. I think that is fair, yes.

13 Q. So given that NICE looked at Cramer in 2012 and in 2022,  
14 if you submitted your dossier or your instructing client  
15 submitted its dossier and you had built the two-stage  
16 model which we will come back to and you had excluded  
17 Cramer there is a decent chance, is there not, that NICE  
18 would say, no, we want to include studies like Cramer  
19 and have a three-state model given that is what it has  
20 done itself?

21 A. Again, it is hypothetically possible they could have  
22 said that. My interest in building the model was  
23 seeking to minimise bias. The issue in Cramer is,  
24 leaving aside that we cannot separate complete and full  
25 response, they do not report them separately, they do

1 report a 28% response rate which is higher than the  
2 number that comes out of my proportionality assumption  
3 of 6.8%.

4 So I accept that my data sources are not immediately  
5 overlapping with NICE's, but I do not accept that that  
6 introduced a bias into my result, and, if anything, was  
7 probably a more conservative approach to estimating  
8 efficacy.

9 Q. Dr Skedgel, I can reassure you, I am not really talking  
10 about degree of bias in the model at the moment. I am  
11 just trying to understand the extent to which you accept  
12 that by -- we can do them together -- by adopting  
13 a two-stage model and a three-stage model and therefore  
14 having a different set of studies, if that was submitted  
15 to NICE do you accept it would be open for NICE to say  
16 we do not like that, we would like a three-stage model,  
17 please, with Cramer back in?

18 A. Yes, and you see more or less the same thing in the  
19 other direction: NICE recommended in the cenobamate  
20 assessment to move from a five-state to a three-state,  
21 so, yes, I completely accept that they could have gone  
22 in the other direction with a different recommendation,  
23 yes.

24 Q. I would now like to discuss your proportionality  
25 assumption. I understand that you are relying on the

1 estimate NMA, you proceed on the basis that I think  
2 6.85% is the precise figure that you have included,  
3 a patient starting on phenytoin as an adjunct would  
4 achieve a complete response to seizure-freedom.

5 I just wanted to ask, Dr Skedgel: have you had  
6 access to the transcript of the live evidence given by  
7 the clinical experts, in particular, Professor Walker?

8 A. I was in the room for the -- for his testimony.

9 Q. I think I will show you it anyway because I know that my  
10 memory would not pick out the precise passage that I am  
11 going to refer to. So if I could turn to {Day6LH1/92:}  
12 to {Day6LH1/93:} and if we could bring them up side by  
13 side, please.

14 If I could ask you to start reading from the  
15 question at 24 on the left-hand side and read through to  
16 11 on the next page. (Pause)

17 Professor Walker and Professor Sander were agreed  
18 that it was about 5% was the figure, and you obviously,  
19 at 6.85, are a third to a quarter above that. So if you  
20 change your proportionality assumption to 5%, say that  
21 Professor Walker was the doctors on the NICE committee  
22 and they put it back to you, that could be enough to  
23 push your ICER over the £20,000 per additional QALY,  
24 could it not?

25 A. Yes.

1 Q. Okay, can we go to paragraph 55 of your first report  
2 which is at {XE3/1/12}. If I could just ask you to read  
3 paragraph 55 in full. (Pause)

4 Could you go down because it is paragraph 55 we are  
5 focusing on which is at the very bottom of the page and  
6 I think it goes over the page now, but I cannot really  
7 see. If you could put them side by side, sorry.

8 So what I understand from this passage is that you  
9 accept that you have made assumptions that are not in  
10 line with NICE's recommended best practice.

11 A. That is correct.

12 Q. I just wanted to focus on the bit where you say:

13 "I note that the only way to avoid these assumptions  
14 would be to conduct a full clinical trial of phenytoin  
15 in the adjunct setting."

16 We have discussed that NICE itself did not adopt  
17 these kind of assumptions in 2022, so I just wanted to  
18 confirm there are other ways of dealing with the  
19 evidence gap, for example, in the way in which NICE did  
20 in 2022?

21 A. I am sorry, there is different ways than how NICE  
22 approached it or different ways than how I --

23 Q. I will read out the bit that I am focusing on:

24 "In developing my assessment of value to the NHS of  
25 third-line use of phenytoin for new patients, I have

1 followed NICE health technology assessment methods where  
2 practical. However, I have made a number of assumptions  
3 that derive from data constraints and are not in line  
4 with NICE's recommended best practice in health  
5 technology assessment ..."

6 You refer to a NICE document there.

7 "I note that the only way to avoid these assumptions  
8 would be to conduct a full clinical trial of phenytoin  
9 in the adjunct setting."

10 What I am putting to you is essentially that there  
11 is not only one way to do this; NICE had a different way  
12 of dealing with the evidence gap --

13 A. Oh, yes.

14 Q. -- and there are other options and NICE's route was one  
15 of the available options?

16 A. NICE's assumption -- well, in the absence of the  
17 clinical trial, you had to make an assumption, and, yes,  
18 there were different assumptions that could have been  
19 made, yes.

20 Q. What they did was, to use sort of the gold standard  
21 randomised trial evidence, exclude everything else and  
22 reach an assumption that you disagree with, but then  
23 apply their expert clinical judgment to reach a view  
24 about the ranking of phenytoin and that is one route  
25 through, is it not?

1 A. Yes.

2 Q. Just one other document I want to show you on this.  
3 Could we turn to {XF3/27/1}. It is an article called  
4 "Pharmacoeconomics: NICE's approach to decision-making",  
5 and it is by Rawlins et al which we looked at earlier.

6 If we could go to a different part of it, could we  
7 go to page {XF3/27/2}, please, it is under the heading  
8 on the left-hand side this one, the red heading  
9 "Cost-effectiveness" in red, and could I ask you to read  
10 the two paragraphs under that heading. (Pause)

11 We take three points from this passage and I just  
12 want to see if you agree with them.

13 First: economic modelling in respect of QALY  
14 requires judgment by modelers and decision-makers on  
15 multiple factors including cost and the benefits in  
16 terms of health states; do you accept that?

17 A. I accept that.

18 Q. Second, this gives rise to considerable uncertainty both  
19 qualitatively and quantitatively; do you accept that?

20 A. I accept that.

21 Q. And third, NICE in its work takes into account the views  
22 of clinical experts and patients in order to decide the  
23 best estimates and then the decision of NICE's own  
24 advisory boards are critical. That is how NICE --

25 A. I accept that, yes.



1 Q. Of course, as we discussed earlier when it comes to your  
2 modelling, none of this process has been completed of  
3 NICE reviewing it and coming to its own views?

4 A. Correct, this has not been reviewed by NICE.

5 Q. Can we now go to paragraph 28 of your second report  
6 which is at {XE3/2/11}. Can I ask everyone to read  
7 paragraph 28. (Pause)

8 The bit towards the end is that your finding of  
9 similarity between the comparators supports your primary  
10 conclusion that at a minimum, phenytoin provides value  
11 comparable to other adjunct therapies at its new price.  
12 That is your position, is it not?

13 A. Correct.

14 Q. Now, I understand you to accept, Dr Skedgel, from what  
15 we discussed earlier that NICE does not just consider  
16 the outcome of this evaluation, but it would also  
17 consider uncertainty as part of its decision-making.

18 A. Correct.

19 Q. Can we bring up on the screen figure 7 of your second  
20 report which is at -- and this is for the left-hand side  
21 of the screen -- {XE3/2/29}. Can we then go to  
22 paragraph 53 of Professor McGuire's position paper which  
23 is at {XE6/6/18}.

24 Sorry, I think I might have the wrong figure, but  
25 when we go to Professor McGuire's position paper

1 I should get told where the right one is. No, it is the  
2 right one, sorry.

3 Can I ask everyone to read paragraph 53. (Pause)

4 Now, I want to focus on the last two sentences of  
5 that paragraph and where Professor McGuire outlines the  
6 alternative reading of your results.

7 Do you agree with Professor McGuire that it is  
8 equally right to say there is a 50% error probability  
9 that phenytoin is cost effective at this level?

10 A. Yes, in the nature that that is how a statistical  
11 distribution works. What I am reporting is the expected  
12 value of that distribution and more or less by  
13 definition that expected value is at 50%. To the extent  
14 that the mean and the median are close to each other,  
15 that would be 50%, yes.

16 Q. We have agreed, I think, Dr Skedgel, that NICE would be  
17 interested in the degree of uncertainty around there?

18 A. Yes.

19 PROFESSOR WATERSON: Would normal distributions be usual  
20 here?

21 A. No, in fact you would tend to see more of a right-skewed  
22 distribution.

23 PROFESSOR WATERSON: Right.

24 A. In fact, one of the textbooks that I reference have  
25 a case study where exactly that happens, that the

1           deterministic number is more favourable than the  
2           probabilistic number, kind of what has been adhered to.

3   PROFESSOR WATERSON: Mean and median would typically differ?

4   A. Yes, yes.

5   THE PRESIDENT: Why is that? Why does one have an  
6           asymmetric distribution?

7   A. Because biologically there is a zero point: you can gain  
8           nothing, you can die.

9   THE PRESIDENT: Sorry, I missed that.

10   A. Sorry, there is a sort of biological hard cut-off to the  
11           left where you --

12   PROFESSOR WATERSON: A truncation.

13   A. Yes, whereas you could gain a great deal of QALYs or  
14           life years on the right-hand side, so it tends to shift  
15           the benefit towards the right.

16   THE PRESIDENT: Yes, thank you.

17   MS MORRISON: I am sure everyone will be very pleased to  
18           hear that I am moving to my final topic, so I think  
19           I will finish in good time.

20   THE PRESIDENT: I am grateful.

21   MS MORRISON: Can I now discuss the comparison you make  
22           between the average daily costs of the 2012 third-line  
23           anti-seizure medications that you consider in figure 3  
24           of your first report. Could we go to {XE3/1/22}, and  
25           could we bring that up for the full page, please.

1           Now in this diagram and in your discussion of the  
2           comparison of the prices you do not make any distinction  
3           between patented and generic products, do you?

4           A. No.

5           Q. You therefore make also no distinction between branded  
6           and unbranded drugs?

7           A. Correct.

8           Q. Are you aware, Dr Skedgel, that in 2012 where you have  
9           taken your pricing data from, a number of these drugs  
10          were still on-patent, in fact four of them?

11          A. I was not aware.

12          Q. I will take you to those documents, then. The first one  
13          is pregabalin. If we can go to {XN3/22.1/4}. This is  
14          actually from a judgment, but we are not going to the  
15          law, we are going to one paragraph on the facts.

16                 If we could look at paragraph 4 and just read that.

17          (Pause)

18                 This one, it was patented, it expired in 2013, but  
19          the prices that you have taken were from the patented  
20          period.

21          A. Okay.

22          Q. Then if we could look at perampanel which is at  
23          {XO/26/33}. This notes under the "Recommendation"  
24          heading --

25          A. Sorry, I have lost my screen.

1 THE PRESIDENT: Well, Ms Morrison, I am wondering, clearly  
2 Dr Skedgel cannot tell us out of his own knowledge, it  
3 is well outside his expertise, whether something is on  
4 or off-patent. It does seem to me that given this is  
5 something which is readily available from the register  
6 of patents that that can be agreed between the parties  
7 when something came off-patent and when it did not, and  
8 I am quite sure that Dr Skedgel will accept the outcome  
9 of that approach.

10 MS MORRISON: The only other document I was going to show  
11 him was an extract from his price cost data which  
12 actually does have a classification of the prices being  
13 branded and generics, but given that I was just going to  
14 show him the document and say there are branded and  
15 generic pricing.

16 THE PRESIDENT: I think if Dr Skedgel can add value from his  
17 expertise --

18 MS MORRISON: I don't think so, it was just to show him it  
19 and to confirm it, but I think we can probably just take  
20 it from the data as it reads, so therefore that is  
21 actually the end of my questioning.

22 Questions by THE TRIBUNAL

23 PROFESSOR WATERSON: Just coming back on our discussion  
24 about the mean versus the median, my understanding is  
25 that you take the mean -- is that right? -- but it would

1           also be possible to focus on the median and the median  
2           within the distribution would be lower than the mean  
3           because of the skew?

4           A. Yes. This is going to the point of the ICER versus the  
5           net marginal benefit.

6           PROFESSOR WATERSON: Yes.

7           A. The mean -- the expected value for my ICER is the mean  
8           of each distribution, whereas the 50% cost-effectiveness  
9           acceptability curve is the median of the distribution of  
10          net monetary benefit.

11          PROFESSOR WATERSON: Right.

12          A. Which, as you say, is probably lower than the mean of  
13          that distribution.

14          PROFESSOR WATERSON: Thank you.

15          THE PRESIDENT: Mr O'Donoghue.

16          MR O'DONOGHUE: I have less than a handful of points.

17                                Re-examination by MR O'DONOGHUE

18          MR O'DONOGHUE: Dr Skedgel, you were asked at some length  
19          about the distinction between technology appraisals and  
20          guidelines, and you said in terms of the cost  
21          effectiveness methodology it is essentially common as  
22          between those two.

23          A. Yes, that is my interpretation.

24          Q. Can I just give you two references for that. The first  
25          is your first report at {XE3/1/10}, paragraph --

- 1 A. The screen has gone blank. (Pause)
- 2 Q. Dr Skedgel, are we live?
- 3 A. Yes.
- 4 Q. Excellent. So you see paragraph 41 which you were taken  
5 to, and you see in the third line -- or second line:  
6 "... to the extent practicable, the approach that  
7 would be taken by NIC, had it been conducting an  
8 appraisal of phenytoin in a technology assessment or as  
9 part of a clinical guideline ..."
- 10 Again, it may be obvious, but can you explain to the  
11 Tribunal why you say a technology assessment or as part  
12 of a guideline?
- 13 A. Because, as I laid out, from my perspective as a health  
14 economist and particularly as a health economics  
15 modeler, I would not approach my model in any different  
16 way for either approach, either programme.
- 17 Q. Thank you. Finally on this topic, can we look at what  
18 Mr Hawkins says. That is at {XC1/6.1/5}. You see the  
19 third sentence:  
20 "In principle, while there are some differences in  
21 methodology for consideration cost effectiveness between  
22 the guidelines and TA methods manuals, I agree these  
23 should not make a difference in this particular case."  
24 Then he goes on to make the point about the impact  
25 on pricing.

1 Do you agree with Mr Hawkins' assessment?

2 A. Yes.

3 Q. Second, you were asked about the non-inclusion of  
4 Cramer, and you made the point that the efficacy in  
5 Cramer, such that it was, was 28% compared to your 6.9%.  
6 Now, it may be unfair to ask you on the hoof, but had  
7 you adopted the Cramer estimate of efficacy, what  
8 impact, at least in ballpark terms, might that have had  
9 on your results?

10 A. I do not think I can say what the impact on the ICER  
11 would be, but given solely the fact that that is  
12 a bigger number than -- 28% is a bigger number than  
13 6.8%, it could only have improved my estimate of the  
14 cost per QALY. It would have increased the denominator  
15 in my ICER calculation and led to a more favourable  
16 ICER.

17 Q. Thank you. And again, I think you said the primary  
18 reason you did not include Cramer was because from your  
19 perspective it focused on quality of life?

20 A. Yes, well, it focused on quality of life, it did not  
21 specifically focus on efficacy, but more pragmatically  
22 it just -- there was no way for me to incorporate the  
23 number that Cramer was reporting into the model that  
24 I was building.

25 Q. Because of the fusion of partial and complete?



- 1 A. Yes, that it did not distinguish partial and complete  
2 response.
- 3 Q. Now, you were asked quite a bit about pricing being at  
4 or just above £20,000. Can we first of all go to your  
5 teach-in, it is at {XE7/8/28}. So these are the  
6 different price permutations you considered. Are you  
7 able to briefly run the Tribunal through the numbers you  
8 have considered on this table?
- 9 A. In the sense of where the prices -- what the prices  
10 represent or what their impact on --
- 11 Q. Yes, in basic terms what they represent.
- 12 A. As I understand, £67.50 was the price at the heart of  
13 the proceedings here, that was the price in 2012 --  
14 2012. I understand the price decreased in May 2014 to  
15 £54 a pack. Again, by the same mathematical logic,  
16 a larger number in the denominator or a smaller number  
17 in the numerator lead to a more favourable cost per QALY  
18 gained. So £54, as I understand, is the capsule drug  
19 tariff price in 2014. There are prices for Flynn of  
20 £48.95, there is Pfizer's supply price in the last  
21 quarter of 2012 is £40 and then the CMA estimate of cost  
22 plus, I understand, is £4.90.
- 23 I think what is somewhat notable in this is the cost  
24 per QALY gained is relatively insensitive to the price  
25 itself which almost counterintuitively suggests the

1 value is relatively insensitive to the price in a way  
2 that is slightly surprising to me as a health economist  
3 and a modeler. I am somewhat surprised by how  
4 insensitive, and I noted in my report that part of it is  
5 the relatively low response weight, at 6% response rate  
6 94% of people are not responding to these medicines,  
7 and, therefore, most of the costs and the outcomes in  
8 the model are being driven by the people who have  
9 discontinued treatment.

10 So that puts a lot more weight on a common pool of  
11 costs and outcomes. As part of my model, once you have  
12 failed one of the specific treatments, I moved you in  
13 the model to a virtual pool where I just took the  
14 average of everything on the assumption that you would  
15 churn through the other third line options. I assigned  
16 an average price to avoid making any judgment about what  
17 you would move to after perhaps your -- the first  
18 third-line treatment that you attempted.

19 So in that sense, everyone is being 94% plus or  
20 minus depending on the response rate of the individual  
21 product are all being assigned the same cost and the  
22 same health outcomes once they have failed or once they  
23 have failed to respond on one of the active treatments.

24 So to me, that is the explanation for why cost per  
25 QALY is relatively insensitive to the price of the

1 product itself.

2 Q. Thank you. My penultimate question, again sticking with  
3 the £20,000 figure, you were taken to Rawlins, it is  
4 {XF3/27/2}, and you will recall, Dr Skedgel, on the  
5 bottom right you were taken through points 1 to 4, but  
6 you were not shown the paragraph underneath. Can I ask  
7 you to read that and add any commentary you think is  
8 appropriate. (Pause)

9 A. Yes, I think this is consistent with a comment that  
10 I made in my original report that NICE is quite vague  
11 about where these numbers have come from and have always  
12 avoided -- I think the word "hard-edged" has been used.  
13 They always avoid implying there is a hard edge to this.  
14 There is a change in the probability of approval or  
15 rejection at different points along this curve, but it  
16 is not a right angle: you will be accepted here and you  
17 will not be accepted there.

18 Q. Do you agree with the point in the fourth line:

19 "NICE's advisory bodies would be unlikely to reject,  
20 as cost ineffective, an intervention [at less than]  
21 £20,000 per QALY ..."

22 Is that consistent with your experience?

23 A. Yes. The probability of rejection is relatively low  
24 below £20,000, yes.

25 Q. My final point I want to go back to something you were

1           asked about societal valuations. I want to show you  
2           something that Professor McGuire said on that topic  
3           yesterday. It is at {Day14LH1/60:14}.

4           Do you see the President's question?

5           A. Yes.

6           Q. Down to 22, if you can read that. Let us know if you  
7           agree or disagree.

8           A. Sorry, this is my testimony.

9           Q. It is your teach-in.

10          A. I agree.

11          Q. Well, the point I am putting to you, is it consistent  
12          with what you gave in evidence this morning?

13          A. Yes.

14          MR O'DONOGHUE: Thank you. Sir, I have no further questions  
15          in re-examination.

16          THE PRESIDENT: Thank you very much, Dr Skedgel. We are  
17          very grateful for your help and you are released from  
18          the witness box with our thanks.

19          MR O'DONOGHUE: Sir, we now have Professor McGuire. I am in  
20          your hands as to whether --

21          THE PRESIDENT: Yes, I have one point of clarification for  
22          Ms Morrison, and then I suggest we rise and resume at  
23          probably 1.55 to start with the next witness.

24                 Just for my clarity, Ms Morrison, there was a NICE  
25                 2022 guidance that we have heard a great deal about

1           which is outside our relevant period and so on that  
2           basis of less relevance than other guidance, and  
3           phenytoin I understand failed that guidance in the sense  
4           that it was no longer recommended.

5           MS MORRISON: Yes.

6           THE PRESIDENT: Does that mean --

7           MR O'DONOGHUE: Sir, no, it was recommended.

8           MS MORRISON: No, sir, it was recommended. It was of course  
9           recommended, everyone agrees it was third-line  
10          recommended, but it failed the cost effectiveness  
11          analysis in that it was negative. It was a minus number  
12          in the --

13          THE PRESIDENT: I see, right. So it failed cost  
14          effectiveness but passed --

15          MR O'DONOGHUE: Well, sir, there is quite a lot to unpack  
16          there. I am not going to --

17          THE PRESIDENT: Well, who is doing the unpacking?

18          MR O'DONOGHUE: Well, sir, one needs to be careful with  
19          words, but first of all this was not cross-examined on,  
20          which is fair enough, but --

21          MS MORRISON: I did actually put that it failed at a lower  
22          cost, so I did.

23          THE PRESIDENT: Look, I do not care who put and who did not  
24          put it. I just want to understand what the position is.

25          MR O'DONOGHUE: Sir, let me explain briefly what we say the

1 position is.

2 The ultimate recommendation was that it be continued  
3 to be recommended as a third-line drug.

4 THE PRESIDENT: Right.

5 MR O'DONOGHUE: In terms of the economic assessment, the  
6 only reason it failed that economic assessment was based  
7 on a rigid adherence to a principle that, unless there  
8 were randomised clinical trials at the third line in  
9 relation to phenytoin, they would not accept anything  
10 less than that as the best evidence of effectiveness.

11 Now, because of that assumption of principle, they  
12 assumed that phenytoin was no more effective than  
13 a placebo, but it was simply an assumption, and that  
14 assumption then drives the economic assessment, and to  
15 that extent only there is a cross against phenytoin, but  
16 it is not the case that, having considered positive  
17 evidence of efficacy, they then concluded based on the  
18 clinical data that phenytoin was not cost effective.  
19 There was an absence of evidence rather than positive  
20 conclusion, if that makes sense.

21 MS MORRISON: Sir, if I can say, that was just a longer  
22 explanation of precisely what I was saying, is that  
23 phenytoin was recommended as a third-line drug, we do  
24 not dispute that. NICE's economic model gave rise to  
25 a negative result, but they reached a positive

1            recommendation in the end, and I did in fact put that to  
2            Dr Skedgel that it was found to be below at a lower  
3            cost.

4            THE PRESIDENT: Slow down. The reason I am asking for this  
5            is I am not actually that worried about the economic  
6            analysis in terms of why it failed or why it did not.  
7            What I am interested in asking -- and it may go  
8            nowhere -- but what was the reason for overriding the  
9            negative economic analysis, for whatever reason that may  
10           have existed?

11           MR O'DONOGHUE: Sir, I put that to Mr Hawkins --

12           THE PRESIDENT: Well, let us go first to Ms Morrison and  
13           then I will hear from you, Mr O'Donoghue.

14           MS MORRISON: Sir, our understanding is basically what is on  
15           the page from NICE and as Mr Hawkins has explained.  
16           Ultimately NICE has its model designed for it in  
17           conjunction with somebody like Mr Hawkins. He was very  
18           clear that he does not decide how the model is designed.  
19           It is then for the committee to go away. As I discussed  
20           with Dr Skedgel, the committee goes away and makes its  
21           expert judgment, not just based on the model but on its  
22           expertise and material before it, and it obviously came  
23           to the conclusion that phenytoin was a drug that it  
24           wanted to recommend and therefore it was effective. But  
25           I think there are two things going on, sir: there is

1           what you want to do in your economic model and the  
2           decision you make.

3       THE PRESIDENT:  You said "therefore effective".  That is  
4           a hypothesis.

5       MS MORRISON:  Sir, I think everyone accepts that by dint of  
6           the fact it was recommended there was some judgment that  
7           it was effective because they would not recommend an  
8           ineffective drug, and the CMA takes no position that  
9           phenytoin is ineffective in general, we accept it is  
10          effective for some people, we have set that out.

11       THE PRESIDENT:  The only reason I am asking -- and I am  
12          sorry for having caused such a ruffling of feathers --  
13          is this: we do not know whether it was continuity of  
14          supply to the existing patient pool that was the factor  
15          that may or may not have been in the committee's mind  
16          when they decided that phenytoin should continue.

17       MS MORRISON:  I see, sir.  To that extent it is a black box  
18          because we do not know what the clinical expertise was  
19          that was being taken into account, I fully accept that,  
20          sir.

21       THE PRESIDENT:  That is very helpful.  Anything more to add  
22          on that, Mr O'Donoghue?

23       MR O'DONOGHUE:  Just to give you the reference, sir, which  
24          I was over-enthusiastic about, I apologise.  I put this  
25          to Mr Hawkins yesterday, so it is at {Day14LH1/180:5}



1 and following, this is yesterday, page 180, starting at  
2 line 5.

3 So what I put to him was that the cost effectiveness  
4 was ultimately overturned by the committee's  
5 recommendation, you will see what I put, and then he  
6 says at 16:

7 "I think that is reasonable."

8 So we know it was overturned, it seems with clinical  
9 reasons. Whether that was specifically in relation to  
10 continuity of supply, narrow therapeutic index or  
11 something at a higher level of abstraction, I think we  
12 do not know concretely, but it may be something we could  
13 investigate further.

14 THE PRESIDENT: I am not sure you can because it would  
15 require evidence from the committee in question.

16 MR O'DONOGHUE: Indeed.

17 THE PRESIDENT: That was the only reason I was asking it.

18 I wanted to know whether there was any material that fed  
19 into the continuity of supply question and the answer is  
20 we do not know.

21 MR O'DONOGHUE: Yes. We have checked, we have not found  
22 anything to date. We will obviously keep checking.

23 THE PRESIDENT: Thank you.

24 MS MORRISON: Sir, that was also my understanding. There is  
25 not that sort of unpacking, and there is just

1 a judgment.

2 THE PRESIDENT: Thank you very much.

3 We will resume at 2.00.

4 (12.57 pm)

5 (The short adjournment)

6 (2.00 pm)

7 PROFESSOR ALISTAIR MCGUIRE (recalled)

8 MS MORRISON: If I could call Professor McGuire forward.

9 THE PRESIDENT: Thank you very much.

10 MS MORRISON: There was a discussion yesterday of slide 7 in  
11 Professor's McGuire's pack and he has made some  
12 amendments in light of the discussions he had with the  
13 Tribunal.

14 THE PRESIDENT: Oh right, that is helpful.

15 MS MORRISON: So he is just going to explain the changes  
16 that he has made when he comes up.

17 THE PRESIDENT: Professor McGuire, welcome back, do sit  
18 down, make yourself comfortable. Your reports are in  
19 evidence, they will not be put to you again, you are  
20 still under oath, you will not be re-sworn.

21 Before I hand you over to -- Mr Brealey, are you  
22 doing the cross-examination?

23 MR O'DONOGHUE: I am afraid it is me.

24 THE PRESIDENT: Oh, very good. I was duped by Mr Brealey  
25 being on his feet.

1 MR O'DONOGHUE: Sorry, you sounded optimistic.

2 THE PRESIDENT: Dare I say it that you are all  
3 substitutable?

4 MR O'DONOGHUE: Not perfectly so.

5 MS MORRISON: That makes me sad, sir.

6 I just ask Professor McGuire to explain the changes  
7 he has made.

8 THE PRESIDENT: Of course.

9 A. Sir, I am sorry for this, but in trying to reduce  
10 complexity down to a small schema, maybe I did not get  
11 some of the points across.

12 So there are three basic changes to this slide. One  
13 is HTA process and I think we had branded drug pricing  
14 above. It should be patented drug pricing because there  
15 are, of course, branded drugs which I kind of slipped  
16 over in my explanation, I think, and there is a change  
17 to the first box in the top line which says -- which  
18 essentially tries to say that these patented drugs will  
19 go into the drug tariff of course, they do go into the  
20 drug tariff, and maybe that is where your questioning  
21 came from President, yesterday.

22 Then there is an additional box on the bottom row  
23 saying that branded generics, which are of course priced  
24 through the PPRS and VPAS mechanism, they nonetheless  
25 could go into the generic -- into the discussion of NICE

1 as a generic drug through their guidelines process.

2 In other words, one of the take-homes from this  
3 change is that the branded generics -- I do not know of  
4 any branded generic -- and this may be ignorance --  
5 which has gone through an HTA process assessment.  
6 I think there are only new patented drugs which have  
7 done so.

8 Then the last point, which I think is where we had  
9 some discussion, was I said that NHS purchasers and in  
10 particular CCGs have to take the drug tariff price as  
11 given, that applies to branded generics and generics,  
12 but there may be negotiation over the patented list  
13 price, and that is really why it is an amendment, to try  
14 to clarify that.

15 THE PRESIDENT: Thank you very much, Professor, much obliged  
16 to you.

17 Just on that, Mr O'Donoghue -- and no doubt you may  
18 have or may not have some questions on this -- but just  
19 to assist everyone in terms of how we are going to  
20 approach the structure of the market of the NHS, we are  
21 not going to be taking any single witness's version as  
22 gospel. We are probably going to try and start with the  
23 black letter law and then move into the practice,  
24 deploying all of the evidence that is going on.

25 So if you are going to cross-examine on this,

1 I would not worry too much about the dots and the  
2 commas. I would worry about the broad picture because  
3 that is where we are going to start and we will be  
4 looking at what everyone has said, including the two  
5 medical experts who did give some interesting and  
6 helpful evidence on this as well.

7 MR O'DONOGHUE: Well, sir, that is extremely helpful, and  
8 I can assure you I have plenty of other things to worry  
9 about.

10 Cross-examination by MR O'DONOGHUE

11 MR O'DONOGHUE: Professor McGuire, good afternoon.

12 A. Good afternoon.

13 Q. Now, can I start with OHE. You would accept that OHE is  
14 a highly respected health economics consultancy, it is  
15 one of the oldest in the world as I understand it?

16 A. I would have to, my wife works there.

17 Q. Ah, so we have at least avoided an awkward discussion in  
18 the McGuire household?

19 A. Yes.

20 Q. Thank you. As I understand it you have in the last  
21 collaborated with OHE on various projects; is that  
22 correct?

23 A. Yes.

24 Q. Now, Dr Skedgel indicated that he has been directly  
25 involved in building I think he said around 30 economic

1 models across a range of different illnesses, and it is  
2 not, obviously, a competition, but for perspective, how  
3 many models have you yourself directly built?

4 A. At least a dozen, I would say. I am going back into the  
5 reaches of my memory now. I would say at least a dozen.  
6 Less than 20, maybe somewhere between a dozen and 20.

7 Q. Were these for manufacturers?

8 A. A minority were, yes.

9 Q. For NICE?

10 A. No, none for NICE as a manufacturer submission, no.

11 Q. So you have never built a model for NICE?

12 A. No, that is not what I said. I said I have never built  
13 a manufacturer's submission as a model for a submission  
14 to NICE; I have inputted into NICE guidelines and as the  
15 guidelines' developers have tried to build a model for  
16 the guidelines, I have inputted into that. I would not  
17 claim authorship or claim propriety over that, though.

18 Q. So is it fair to say you have not yourself directly  
19 built a model, but you have given some input into models  
20 built by other people?

21 A. No, not precisely. I have built models, but as an  
22 academic; I have not built any models for NICE per se.

23 Q. Is that because, as I understand it, you are a full-time  
24 academic?

25 A. I hope so, yes. Yes.

1 Q. Now, your work in building models, did you not think it  
2 was appropriate, given the trenchant criticisms you make  
3 of Dr Skedgel's model, to be clear as to your own  
4 experience of building models? Did you not think that  
5 would be helpful?

6 A. Sorry, I missed point there. My own experience would be  
7 helpful for what purpose?

8 Q. Well, you do not indicate in your report or your  
9 position paper your experience of building health  
10 economics models. Did you not think that would have  
11 been helpful?

12 A. I do not think it would be unhelpful, that is certainly  
13 true; whether it would be helpful to give my experience  
14 in building models, possibly. Could I just also say  
15 that I am not -- the trenchant criticism of Dr Skedgel's  
16 model is not with respect to the intrinsic quality of  
17 the model, as I said yesterday, it is about my  
18 instructions were to assess that model with regards to  
19 the NICE methodology.

20 Q. Well, you do not pull your punches, you say NICE would  
21 have rejected it?

22 A. I believe they would have given it a very low quality  
23 standing and rejected it, yes.

24 Q. That is not trenchant, in your opinion?

25 A. No.

1 Q. Okay, well let us move on. Now, as I understand it you  
2 have three overarching criticisms of Dr Skedgel's  
3 report. The first is you say the QALY concept is not  
4 informative for assessing the economic value of  
5 a pharmaceutical product under competition law, and you  
6 say, and I quote:

7 "QALY analysis does not assess economic value as  
8 I understand it is used in a legal sense."

9 Are you happy with that?

10 A. Yes.

11 Q. The second criticism you make is that you say  
12 Dr Skedgel's model is not robust and here you challenge  
13 his core assumptions and make some criticisms around  
14 what you say is uncertainty in his model.

15 A. Yes.

16 Q. But you at least accept that Dr Skedgel has been  
17 transparent in setting out his methodology and  
18 assumptions?

19 A. Yes.

20 Q. So that is the second point.

21 Now, pausing there, you do not put forward any  
22 competing model of your own. You criticise Dr Skedgel,  
23 his model and assumptions, but you do not offer any  
24 alternative model or indeed assumptions; it is  
25 a destructive or negative exercise only.



1 A. My instructions were to assess Dr Skedgel's model  
2 against the methodology of NICE as used by NICE. I was  
3 not asked to put forward an alternative, I was not asked  
4 to suggest alternatives to his own model, it was merely  
5 to assess whether his model stood up to the standard of  
6 NICE, and, as we have agreed --

7 Q. So the answer to my question is "yes"?

8 A. Yes, that is certainly true, yes. It was not under my  
9 instruction, yes.

10 Q. Well, there was nothing preventing you -- let us take  
11 the assumptions. There was nothing preventing you from  
12 putting forward an alternative assumption, was there?

13 A. No, just the opportunity cost of time, yes. Nothing  
14 other than that, yes.

15 Q. Well, you have had a year.

16 A. I do do other things in my academic full-time work.

17 Q. All right, let us move on. So that is the two  
18 criticisms.

19 The final point you make is a distinction, you say,  
20 between the technology appraisal process and the  
21 guideline process, and you make the point here that  
22 whilst a technology appraisal might play a role in  
23 pricing negotiations, that is not true of the guideline  
24 process, particularly for generic prices?

25 A. Yes, that is true. I quibble over the term

1 "negotiations", but they may for the HTA, for patented  
2 drugs, it may be that there are negotiations over price  
3 and price is an input into the cost effectiveness  
4 analysis that NICE undertakes.

5 Q. Well, we will come on to all this in detail, do not  
6 worry about that, I am trying to understand what are  
7 your core criticisms to tee up where we disagree.

8 Now, what I want to do for the rest of the afternoon  
9 is to cover these three points with you in some detail  
10 and then deal with a handful of shorter discrete points.

11 Now, can we start with the question of economic  
12 value. Now, we can presumably agree that you are not  
13 a competition lawyer?

14 A. You do not need a presumption for that, I am not.

15 Q. And you are not a competition law economist?

16 A. No.

17 Q. You cannot therefore give expert evidence on the concept  
18 of economic value under competition law and economics;  
19 that is not within your expertise?

20 A. Yes.

21 Q. Your area of expertise is health economics, that is the  
22 scope of your instructions.

23 A. Yes.

24 Q. Now, before we get on to some of the points, the  
25 statements you make about economic value and QALYs,

1 can I just deal with one discrete point. You raise in  
2 your position paper something called distinctive value.  
3 Do you remember that?

4 A. Mm-hm.

5 Q. Now, if we can just bring this up in your position  
6 paper, it is at {XE6/6/1} and paragraph 34 which I think  
7 is on page {XE6/6/11}. Do you see about two-thirds of  
8 the way down you say and I quote:

9 "... QALY analysis of a generic drug ... cannot tell  
10 you whether or not the price for a generic drug is  
11 justified by, for example, 'distinctive value' (which is  
12 unlikely for a generic drug in any event) or whether it  
13 is the product of 'market power', as the Tribunal  
14 discussed in *Hydro*."

15 Now, you do not mention the concept of distinctive  
16 value in your report, and surely if as a matter of  
17 health economics this concept was important, you would  
18 have mentioned it in your report; is that fair?

19 A. Yes, to put it in some context, the *Hydrocortisone*  
20 Decision came out in between my report and the position  
21 paper --

22 Q. Yes.

23 A. -- and that is I think why I am referring to it there  
24 rather than earlier.

25 Q. Yes, you refer to *Hydro*, as you say, that is fair.

- 1 A. Yes.
- 2 Q. But you do not refer to your instructions in relation to  
3 that judgment, I have not seen those set out in your  
4 position paper or anywhere else. What is your  
5 understanding of the concept of distinctive value as set  
6 out in that judgment? What were you instructed?
- 7 A. So the instructions were slightly -- they are set out,  
8 my instructions, I think, in the first paper, my first  
9 paper which is submitted.
- 10 Q. Yes, but that did not include *Hydro* as you told us.
- 11 A. Pardon?
- 12 Q. That could not have included *Hydrocortisone*, as you told  
13 us.
- 14 A. No, that is true, that is certainly true.
- 15 Q. So what were your instructions on *Hydrocortisone*?
- 16 A. In terms of this particular point, I was not instructed  
17 to do anything other than read the *Hydrocortisone* paper.
- 18 Q. Have you actually read the judgment?
- 19 A. Yes.
- 20 Q. Can we look at what Ms Webster says about this? She is  
21 the CMA's expert economist. It is at {XE7/4/8}.
- 22 These are her teach-in slides, and you will see  
23 under (b) she refers to case 2:
- 24 "... supplying a differentiated product ... that  
25 brings additional value to customers."

1           Then if you read down under 4:

2           "In my view, it would be reasonable to say that  
3           [the] investment by the Parties in ensuring the  
4           reliability of supply of Capsules, given the need for  
5           Continuity of Supply, may be of some economic value."

6           Now, if we go back to your position paper, the  
7           previous document, please {XE6/6/11}, you seem to  
8           disagree with Ms Webster. Is that true?

9           A. So I was not mentioning continuity of supply, that is  
10          certainly true. By distinctive value if that is the  
11          point you are trying to get me to go back to --

12          Q. Yes.

13          A. -- I think I would agree that there is, to my mind, no  
14          product differentiation with regards to a generic drug.  
15          That is the point I am trying to make.

16          Q. But the point she is making, which you are avoiding, she  
17          says because of continuity of supply there is  
18          distinctive value, it is case 2. Do you agree or  
19          disagree with that?

20          A. No, I agree that is what she is saying.

21          Q. Do you agree with it?

22          A. I am saying I am not mentioning continuity of supply  
23          here, and I am not trying to make a point about  
24          continuity of supply. What I am doing is saying  
25          distinctive value, as I understood it, and not being

1 a competition lawyer or competition economist, as  
2 I understood it, distinctive value would refer to the  
3 product characteristics, and if you have a generic  
4 product, to my mind, the characteristics would not be  
5 differentiated across the generic product because  
6 a generic product would not be able to get on to the  
7 market unless it had been licensed as a generic.

8 Q. But you say in the closed brackets:

9 "... (which is unlikely for a generic ... in any  
10 event) ..."

11 Are you saying that no generic drug could ever have  
12 distinctive value?

13 A. My understanding would be that if you are allowed to  
14 manufacture and license a generic drug in the UK, you  
15 should not be able to distinguish that drug from any  
16 other generic drug in terms of its compound composition.

17 Now, if there is an additional point to be made  
18 about continuity of supply, I think that probably refers  
19 to incumbent patients using the drug, and this response  
20 is in response to Dr Skedgel's model which is about  
21 incident patients.

22 Incident patients are not already on the drug, but  
23 are new patients to the treatment, and as new patients  
24 to the treatment, if they were offered a generic drug,  
25 I would say there should not be any distinguishable

1 characteristics from that set of generic drugs, and that  
2 would be my elaboration on this point.

3 Q. So to be clear, and I am going to move on --

4 PROFESSOR WATERSON: Can I just check on that? What you are  
5 saying is that, for example, if someone starts on  
6 phenytoin, they might be started on the capsule or the  
7 tablet, they might be started on different  
8 manufacturers' brands of either one or the other?

9 A. Precisely, and that is exactly the starting point of  
10 Dr Skedgel's economic model.

11 PROFESSOR WATERSON: Thank you.

12 MR O'DONOGHUE: Let me ask one final question and then  
13 I will move on.

14 If there is continuity of supply imposed by the MHRA  
15 in respect of legacy or what you call incumbent  
16 patients, do you agree or disagree that involves  
17 distinctive value?

18 A. I would say that if there is a continuity of supply  
19 element associated with a particular drug, it would  
20 obviously reflect both distinctive value but also some  
21 form of market monopoly because if there is  
22 a distinctive element to it, then there would have to be  
23 some reason why the patient is retained on it.

24 Q. It could simply be the drug is extremely valuable for  
25 those patients and saves their lives; what has that got

1 to do with monopoly?

2 A. Well, that is where the idea to me of distinctive value  
3 comes in, that if it is a true generic and it has  
4 a licence as a generic, as Professor Waterson has just  
5 pointed out, if they were going on to treatment they  
6 could be on any generic, and that is the point I was  
7 trying to make in my position paper.

8 Q. Well, let us move on. Let me just put one final point  
9 in this, I am going round in circles. In 2013 the MHRA  
10 in category 1 listed only four AEDs, including  
11 phenytoin, in terms of continuity of supply.

12 Do you think that categorisation by the MHRA, which  
13 was independent of any question of monopoly, amounts to  
14 distinctive value or not?

15 A. This is a new question to me, and I would say yes, but  
16 that is not what I was asked to do in criticising or not  
17 criticising but assessing Dr Skedgel's model with  
18 regards to NICE methodology, but as you have put the  
19 question to me now, yes, it is a distinctive  
20 characteristic if there is a continuity of supply  
21 associated with a particular generic drug, but that is  
22 completely outside of my remit and I am sorry if  
23 I misled you in referring to distinctive value in my  
24 position paper, in thinking that I was talking about  
25 continuity of supply.



1 Q. Okay, well let us move on, thank you. Let us go back to  
2 economic value and what you say.

3 If we can bring up your first report, it is at  
4 {XE3/3/4}, please.

5 In your report, you make two basic points on  
6 economic value. You see in the footnote, if that can be  
7 blown up, please, you say:

8 "... it has been explained to me as 'what it is that  
9 users and customers value and will reasonably pay' for  
10 the product in issue."

11 Do you see that?

12 A. Yes.

13 Q. So you emphasise the value to users and customers; do  
14 you see that?

15 A. Yes.

16 Q. The second point you make in paragraph 14 just above  
17 that, you say, again, you understand, you have been  
18 instructed that economic value in competition law is  
19 about what the price would be under normal competitive  
20 conditions, over the page, please. And you say:

21 "Instead, product price is a predetermined input to  
22 cost effectiveness (QALY) analysis."

23 So the second key point you make is the concept of  
24 normal competition.

25 Happy with that?

1 A. Yes. Could we go back a page? I did not see because of  
2 the --

3 Q. Yes, by all means.

4 A. Where it says "a legal sense", that is where it is  
5 referring to footnote 2. I cannot see what goes beyond  
6 that.

7 Q. Oh yes, if we scroll up.

8 A. Just to make clear. Yes, okay.

9 Q. Under (c), have you got that?

10 A. Yes, thank you.

11 Q. Okay. So you have these two what I would call criteria,  
12 so let us start with the first one: what users and  
13 customers value and would reasonably pay.

14 Now, let us look at the word "user". It would be  
15 fair to characterise the patient as the user, would it  
16 not? They are, after all, the person consuming or using  
17 the medicine?

18 A. Yes.

19 Q. From the user's perspective, all they care about is  
20 getting a therapeutically useful medicine with minimal  
21 or no side effects. They do not care or even  
22 necessarily know what the medicine costs.

23 Do you agree with that?

24 A. Yes.

25 Q. So it is therapeutic utility or efficacy, correct, from

- 1           their perspective?
- 2       A.   So, yes, that is what they value, yes.
- 3       Q.   Yes.  Now, the QALY analysis includes as one of its  
4           critical components an assessment of the therapeutic  
5           efficacy of the drug?
- 6       A.   Yes.
- 7       Q.   So if, as you say, economic value means value to the  
8           user, that must include the therapeutic benefit to the  
9           patient?
- 10      A.   Yes.
- 11      Q.   And, as you agreed, that is part of the QALY assessment?
- 12      A.   Yes.
- 13      Q.   So at least from this perspective, the QALY assessment  
14           is consistent with your understanding of economic value  
15           in your report?
- 16      A.   So if we think of a QALY analysis as a cost per QALY  
17           analysis and think of the threshold that the NICE and  
18           the NHS is using to value that QALY, then that would be  
19           the NHS's willingness to pay up to that threshold based  
20           on the opportunity cost of other NHS treatments for that  
21           QALY.
- 22           As an individual patient, you may -- in other words  
23           the individual consumer surplus, may or may not be the  
24           same as that QALY value that is given to it by the NHS.
- 25      Q.   Well, I think we are running ahead of ourselves.  I am

1 putting a very simple point to you which I think you  
2 agree with, which is from the user perspective, the  
3 patient, therapeutic benefit is their primary concern,  
4 and therapeutic efficacy or benefit is part of QALY?

5 A. It is part of the QALY, yes.

6 Q. Yes. Now, were you aware that the CMA was instructed by  
7 this Tribunal to gather evidence on therapeutic value in  
8 its judgment in 2018? Let us have a look at that. It  
9 is at {XN1/2/133}.

10 Do you see, Professor, at 419:

11 "... our finding is that the Decision was defective  
12 in its treatment of the economic value that may be  
13 derived from patient benefit. Placing a precise  
14 monetary value on patient benefit is not straightforward  
15 but it appears to us that a qualitative assessment would  
16 be possible and should have been attempted by the CMA  
17 rather than simply assessing this value as nil."

18 So the CMA was instructed by the Tribunal to go back  
19 and gather the evidence on therapeutic benefits as part  
20 of economic value; do you see that?

21 A. Yes.

22 Q. Now, the QALY evidence includes detailed evidence on  
23 clinical effectiveness, I think you have just agreed  
24 with that. Yes?

25 A. Yes, yes, sorry.

1 Q. So again, from this perspective, there is no  
2 inconsistency between how you define economic value in  
3 your report and the QALY evidence?

4 A. Therapeutic value is part of the QALY value, yes.

5 Q. Yes.

6 A. Yes.

7 Q. Indeed, I would suggest it goes further than this: the  
8 QALY provides a very useful proxy or measurement of this  
9 aspect of economic value.

10 A. Again, I would say that it is not necessarily the case  
11 that the patient's consumer surplus or value derived  
12 directly from a (inaudible).

13 Q. We will come on to that. Again, we are in the  
14 foothills.

15 A. Yes.

16 Q. The simple point I am putting to you, in the context of  
17 QALY, NICE and/or the manufacturers will go out and  
18 gather as much clinical evidence on efficacy as they can  
19 lay their hands on: the RCTs, observational studies and  
20 so on?

21 A. Yes.

22 Q. So they are looking at therapeutic benefit.

23 A. Yes.

24 Q. So that is your first component.

25 Now can we look at your second component which is,

1 and I quote:

2 "... what customers value and would reasonably pay  
3 for the product at issue."

4 Do you remember that in the footnote?

5 A. Yes.

6 Q. So we are moving from users to customers.

7 Now, you would agree that one could consider the NHS  
8 or the Department of Health and Social Care as  
9 a customer for these purposes since they are the entity  
10 paying for the drugs or underwriting the whole system.

11 They are, in effect, a purchaser. The patient pays zero  
12 save perhaps for a prescription charge if applicable.

13 Do you agree with that?

14 A. So you are making a distinction between users and  
15 consumers now?

16 Q. Customers.

17 A. Customers. I would say that the NHS reimburses for the  
18 purchase, so if that is a definition of customer, yes.

19 Q. So you accept they are a customer for these purposes?

20 A. If -- yes, if -- yes. They do not gain the therapeutic  
21 value themselves, obviously, but they are purchasers and  
22 reimbursers for the drug, yes.

23 Q. They are footing the bill?

24 A. They are footing the bill, yes.

25 Q. Can we look at what the CMA says about this because

1 I think you are in violent agreement. If we go to the  
2 Decision at {XA1/2/60} please, and you will see,  
3 Professor, at E.94 they say:

4 "... the Court of Appeal noted that economic value  
5 is what 'users and customers value and will reasonably  
6 pay for.' In this case, the end customers are the CCGs  
7 and the NHS, and the users (or consumers) are [the]  
8 patients."

9 A. Yes.

10 Q. So I think everybody agrees about that.

11 Now, again focusing on the NHS as customer, do you  
12 therefore accept that it is reasonable to consider what  
13 value the NHS as the customer places on the product in  
14 question when asking about its economic value?

15 A. Yes.

16 Q. Now, again, can we go back to the 2018 judgment just to  
17 anchor ourselves on why we are back here on this point.  
18 It is at {XN1/2/67}, please.

19 Professor, it is at 204. Do you see about a third  
20 of the way down:

21 "... the DH, whether through the NHS or the CCGs,  
22 was by far the largest purchaser of pharmaceutical  
23 products in the UK, and indeed was effectively the only  
24 end customer for [the] Pfizer-Flynn Capsules ..."

25 Do you see that?

1 A. Mm-hm. Yes.

2 Q. Now, I just want to show you one case, I am not going to  
3 ask you a legal question, and then we will come back to  
4 economic value in the present context. If we can go to  
5 the authorities at {XN3/10/35}, please. This,  
6 Professor, is case called Attheraces about race data and  
7 their commercial exploitation. If I can ask you --  
8 well, let us read it together. You see in 186,  
9 Professor, it says:

10 "Mr Roth's --"  
11 Do you see second contention and all that? It says:  
12 "Economic value looks [at] the demand side rather  
13 than the supply side. It means the value of the  
14 customer."  
15 Do you see the last two sentences?

16 A. Yes, just give me a moment to read it, please.

17 Q. Yes, please do. (Pause)

18 A. Yes.

19 Q. Then Professor you will see at 189, the second question  
20 which is, and so on:  
21 "... the economic value of the product was  
22 a different concept from its cost, as it reflects [the]  
23 revenue-earning potential to the person who acquires  
24 it."  
25 Then you will see that somebody paid hundreds of



1 millions of pounds for the data in question.

2 Then if we jump forward to paragraph 203  
3 {XN3/10/38}. This is the court's ruling:

4 "... [the judge] erred in holding ... the charges  
5 proposed by BHB were excessive and unfair. We are in  
6 broad agreement with Mr Roth's submissions criticising  
7 the judge's approach to the issue of excessive and  
8 unfair pricing of the pre-race data."

9 So that is the points we just saw.

10 Then if we go to the end at 218 {XN3/10/41}, you  
11 will see the second sentence:

12 "In particular he [the judge] was wrong to reject  
13 BHB's contention on the relevance of the value of the  
14 pre-race data to ATR in determining the economic value  
15 of the pre-race data and whether the charges specified  
16 by BHB were excessive and unfair."

17 So the basic point here is that economic value  
18 includes the value of the product to the customer, and  
19 in this case it was the fact that the pre-race data  
20 allowed Attheraces to make higher profits in its market  
21 by commercialising the pre-race data in question. So  
22 that is the economic value of the input in terms of its  
23 revenue-generating potential.

24 Now, I want to look at the other side of the coin  
25 which is a situation where the product purchased allows

1 the purchaser to achieve substantial cost savings as  
2 opposed to generate substantial profits. Are you with  
3 me?

4 A. Yes.

5 Q. Now, in the context of the NHS, the majority of the  
6 costs of epilepsy are not the costs of acquiring the  
7 drug but the costs if the patient ends up with a seizure  
8 and has to transition to a hospital environment. Do you  
9 agree with that?

10 A. Yes.

11 Q. Let us put some facts and figures on this. If we can  
12 start with {XD1/6/1212}, and it is at the bottom of the  
13 page.

14 You see it says:

15 "The majority of the costs were not associated with  
16 the [anti-seizure medication] prescribed, but with later  
17 costs for changing treatment or being hospitalised  
18 following a seizure. There was also limited difference  
19 between the costs of the various treatments. It is  
20 therefore likely that of the drugs considered in the  
21 model the most clinically effective would also be the  
22 most cost effective."

23 Do you see that?

24 A. Yes.

25 Q. None of that is surprising to you?

1 A. It is not surprising that the hospital costs are larger,  
2 no.

3 Q. Now, let us go on again to put a bit more flesh on these  
4 bones. {XF3/68/5}, please. Can we go to the first page  
5 just to understand -- {XF3/68/1}. Because Professor,  
6 one of the problems, of course, with electronic  
7 documents is you get a snippet and if you want to go  
8 back to the first page or any other page, just tell me,  
9 I want to make sure you have a chance to orient  
10 yourself. So this is an NHS PrescQIPP document on AEDs,  
11 appropriate switching to generics.

12 If we can then go forward to page {XF3/68/5},  
13 please. You will see the second line, Professor:

14 "Avoidance of seizures is the primary goal, while  
15 keeping adverse effects to a minimum. When long-term  
16 remission has been achieved it becomes important to  
17 avoid even a single breakthrough seizure. Just one  
18 seizure after a period control can have major  
19 implications ... There may even be fatal consequences --  
20 the risk of death in patients with uncontrolled seizures  
21 is higher than in seizure-free patients. Therefore  
22 considerably more is at stake when treating epilepsy  
23 than with many other conditions."

24 Then at the end:

25 "The true cost of generic prescribing must also

1 include the cost of additional visits to a physician or  
2 the hospital if the substitution causes problems. Also  
3 the cost of treatment failure must be taken into account  
4 if a seizure occurs. The cost of one breakthrough  
5 seizure in a previously stable patient is so high that  
6 it could offset the savings from generics."

7 Now, again, I do not anticipate you will disagree  
8 with any of that.

9 A. No, no, that is fine, yes.

10 Q. Now, are you aware, Professor, that when the new price  
11 of phenytoin sodium capsules was set there were  
12 complaints from CCGs?

13 A. I am aware, yes.

14 Q. Can we just look at what the Department said in  
15 response. It is at {XG/243}, please.

16 A. Could I just ask another clarifying question?

17 Q. Please.

18 A. By avoidance to seizures, that is complete avoidance,  
19 and, as I understand it, that is a very rare outcome,  
20 and so the medication as has been assessed by NICE and  
21 in other models has focused on greater than 50%  
22 reduction in seizures. Obviously, there will be  
23 different valuations on --

24 Q. We are going to come on to that.

25 A. Right, okay, fine.

1 Q. One of the criticisms you make of Dr Skedgel's model is  
2 the so-called dichotomous outcome assumptions, so I am  
3 going to come on to that in some detail.

4 A. Okay.

5 Q. So hold that thought.

6 A. Yes.

7 Q. So go to {XG/243}. Again, you will see from the heading  
8 this is from the Department of Health, and it is  
9 addressed to NHS Clinical Commissioners, and you will  
10 see the penultimate paragraph. This is from, as you see  
11 in the top, Dr Keith Ridge, the chief pharmaceutical  
12 officer, who I presume you are aware of, and he says --  
13 so this is in response to the complaints in the CCG:

14 "The cost of any medicine has to be balanced with  
15 the potential additional costs to the NHS through  
16 adverse reactions and reduced patient outcomes if supply  
17 is interrupted.

18 "While any price increase is unwelcome, especially  
19 at a time of financial restraint ... in the main, the  
20 NHS obtained the best value from medicines. For  
21 example, we were able to move quickly, earlier this year  
22 to reduce the cost of atorvastatin to the NHS when it  
23 came off-patent."

24 I would suggest to you he is basically making the  
25 same point which we have seen which is the acquisition

1 cost of medicine is one thing, but there are other costs  
2 which come into the equation?

3 A. And that is how a cost per QALY is estimated, yes.

4 Q. Indeed.

5 A. Yes. You net out the treatment costs, yes.

6 Q. That is my very point.

7 A. Yes.

8 Q. I think following on from what you said -- so you would  
9 agree -- I think you said this in your teach-in -- that  
10 when NICE does a QALY assessment, it would typically  
11 look at the cost savings we just discussed?

12 A. Yes.

13 Q. Just to make clear we are in agreement on this, so there  
14 is no ambiguity, we can see what Dr Skedgel says in his  
15 position paper, it is at {XE6/1/3}, please, and you will  
16 see, Professor, paragraph 10 -- why do you not read 10  
17 and the two subparagraphs and then I will ask you  
18 a question. (Pause)

19 A. Yes.

20 Q. Now, as I understand it from paragraph 46 of your  
21 report, you do not actually disagree with anything here  
22 in material terms?

23 A. Yes, that is true. Well, you would have to remind me  
24 what I say in 46, but anyway, I do not disagree with  
25 this as it stands.

- 1 Q. You are happy enough with that?
- 2 A. Yes.
- 3 Q. Okay, thank you. Now, if, as you say, economic value is  
4 what customers value and will reasonably pay for the  
5 product at issue, can we agree that it is appropriate to  
6 then take account of the substantial benefits to the  
7 customer?
- 8 A. Yes.
- 9 Q. To put it another way, if we left those cost savings and  
10 other benefits out of account, that would give a pretty  
11 distorted picture of economic value, would it not? You  
12 would leave out of account completely the most  
13 substantial category of cost savings to the customer,  
14 the NHS.
- 15 A. Yes, but NICE includes them in their cost per QALY.
- 16 Q. Indeed. We agree on that.
- 17 A. Yes.
- 18 Q. Now, if, as you say, the QALY assessments by NICE  
19 include these benefits for the customer, it must follow  
20 that the QALY is a useful means of capturing these  
21 benefits.
- 22 A. Yes.
- 23 Q. So again, from the second perspective of the customer --  
24 we have discussed the users -- from the second  
25 perspective of the customer, a QALY analysis allows us

- 1 to gain some insight into an important aspect of  
2 economic value as you have defined it?
- 3 A. So I think paragraph 10.2 here is quite useful because  
4 it is differentiating between the customer and the user  
5 and saying that the user may have less tangible benefits  
6 associated with any treatment which are not captured by  
7 the QALY, so they are over and above the QALY.
- 8 Q. Yes, it is conservative in that respect.
- 9 A. There may be, there may be.
- 10 Q. Well, they are left out.
- 11 A. If they are there, they are left out, yes, and the QALY,  
12 from the customer's perspective, tells you something  
13 about their valuation in terms of the QALYs being  
14 achieved, but it is the QALYs being achieved at the  
15 opportunity cost of not treating elsewhere in the NHS,  
16 yes.
- 17 Q. Well, we will come on to the details, but I am putting  
18 a very basic point to you that I think we actually  
19 agree, which is the QALY captures the substantial cost  
20 savings to the NHS. They are part, on your definition,  
21 of economic value: they are a benefit to the customer.
- 22 A. Well, just to be precise, the financial savings are  
23 captured in the cost part of the QALY calculation.
- 24 Q. Yes.
- 25 A. Yes. So it is not the QALY per se that captures the



1 financial --

2 Q. Well, it is a key input into the QALY.

3 A. It is a key input into the cost per QALY.

4 Q. Yes.

5 A. Yes.

6 Q. So subject to that caveat, you are happy?

7 A. Yes, yes.

8 Q. So that is the first point you make on economic value,  
9 we have been through the user benefits and the customer  
10 benefits, and we agree that the QALY captures those?

11 A. No, I did not say that, I said that the cost per QALY  
12 captures the customer benefit, and, as I have just  
13 pointed out, it does not capture, as given by 10.2, it  
14 may not capture all of the user benefit.

15 Q. Yes, but it is conservative, therefore. It captures the  
16 customer benefits.

17 A. Yes, okay, I will accept that.

18 Q. It may not necessarily capture other benefits to the  
19 patient.

20 A. Yes, I will accept that.

21 Q. So I think we agree.

22 A. I accept that, yes.

23 Q. So that is your first aspect of economic value. I now  
24 want to move on to your second aspect of economic value  
25 which is what you call normal competition.

1           Now, your basic point -- well, let us look at what  
2           you say in the report. It is at {XE3/3/4}. You see,  
3           Professor, paragraph 14, we saw this earlier, I just  
4           want to remind you in case you have forgotten. You say  
5           at the bottom, I quote:

6           "... a QALY analysis says nothing about what the  
7           price would be under normal competitive conditions."

8           Do you see that?

9           A. Yes.

10          Q. So for you, the key concept is normal competition;  
11          correct?

12          A. Yes.

13          Q. Now, I would suggest to you there are a number of  
14          abnormal features of pharmaceutical markets and I want  
15          to run through these with you to see if we agree or  
16          disagree. The patient who pays for the medicine or who  
17          consumes the medicine does not pay for it.

18          A. Prescription costs aside, yes.

19          Q. Subject to prescription costs, yes.

20          A. Yes.

21          Q. As we discussed, indeed, more often than not, the  
22          patient will not know or care what the medicine actually  
23          costs?

24          A. Mm-hm, yes.

25          Q. Now, because the patients do not pay the full cost at

1           the point of purchase, they are much less sensitive or  
2           insensitive to the drug's price. All they really care  
3           about is getting the best medicine they can lay their  
4           hands on.

5       A. Yes.

6       Q. Now, in a normal market, by contrast, a consumer would  
7           only, or certainly mainly, care about the price?

8       A. No, they would care about the benefit they are getting  
9           at that price.

10      Q. They would care about quality as well?

11      A. Or quantity as well.

12      Q. But price would be uppermost in their minds as well?

13      A. No, they would care about the marginal utility that they  
14           are getting from the commodity at that price: what is  
15           the benefit I am getting for the cost of paying the  
16           price?

17      Q. Well, I am talking about a non-pharmaceutical market.

18      A. Yes, so am I here. When you buy something, you want to  
19           know what the benefit is that you are getting from the  
20           product.

21      Q. I understand A-level economics.

22      A. Right. But you are only talking about the price, then.

23      Q. Well, I am asking you will they care about the price?

24      A. They will care, obviously.

25      Q. Of course they care.

1 A. Yes, but it is not the sole component of their caring.

2 Q. Fine. Now, the other abnormality is you cannot walk  
3 into a shop and choose a prescription medicine. The  
4 prescribing doctor makes that choice for you and gives  
5 you the prescription. Without the prescription you  
6 cannot get the product.

7 A. Certainly true.

8 Q. You depend on your doctor to represent your interests  
9 because you lack the knowledge as a non-doctor to make  
10 an informed choice yourself?

11 A. True.

12 Q. And because the government requires prescription  
13 medicines to be dispensed by a prescribing doctor?

14 A. True.

15 Q. Now, interjecting someone else's judgment into  
16 decisions, it disrupts the signals in terms of the  
17 consumer's own preferences, in terms of what the market  
18 should produce. To put it another way: consumer  
19 sovereignty over prescription medicines is limited.

20 A. True, I would agree.

21 Q. Now, another abnormality is that the ethically  
22 understood restrictions on the activities of a doctor  
23 are much more severe than, for example, a barber. The  
24 doctor's behaviour is supposed to be concerned with the  
25 patient's welfare which would, for example, not be

- 1           expected of a salesman?
- 2       A. True.
- 3       Q. Now, the prescriber who prescribes the medicine does not
- 4           pay for it either, the prescribing doctor?
- 5       A. No, that is true, yes.
- 6       Q. Again, in many cases, the doctor will not know or
- 7           frankly care about the cost of the medicine?
- 8       A. True.
- 9       Q. Another abnormality is we have a centralised monopoly
- 10           buyer in the form of the NHS?
- 11      A. Yes, that is true. You have missed one agent out, of
- 12           course: the pharmacist who probably does know the price
- 13           and may be allowed to generically substitute for branded
- 14           products.
- 15      Q. Yes, they may get a cut and they may --
- 16      A. Yes.
- 17      Q. Yes, that is fair.
- 18      A. Another abnormality, yes.
- 19      Q. Another abnormality, correct.
- 20      A. Yes.
- 21      Q. And there is a monopoly buyer which again is not
- 22           normally the case.
- 23      A. Monopsony, yes.
- 24      Q. Now, not only do we have a monopoly buyer in
- 25           a centralised public system, but that buyer is unusual

1           because in addition, the buyer has a suite of regulatory  
2           powers that it can deploy in the context of the system.  
3           So you mentioned the PPRS and the VPAS. Are you aware  
4           of the 2017 Health Service Medical Supplies (Costs) Act?

5           A. Not by heart, no.

6           Q. Well, let us have a quick look at that. It is at  
7           {XN8/9}.

8           A. Thank you.

9           Q. If we can go on to -- well, you can see, Professor, at  
10          the top of the page, if that can be made bigger, please,  
11          have a read of it, Professor, but essentially what this  
12          does is it was intended to plug what was perceived to be  
13          a gap in the legislation to allow the regulation of  
14          generic prices outside of the voluntary scheme. Does  
15          that ring a bell?

16          A. Yes, and this is 2017?

17          Q. Yes.

18          A. Yes.

19          Q. And you are also aware of Scheme M, I presume, Scheme M?

20          A. Yes.

21          Q. Let us have a quick look at that, it is at {XG/12/13}.

22          You can see 28, the second part:

23                 "... should the Department identify any significant  
24                 events or trends in expenditure that indicate the normal  
25                 market mechanisms have failed to protect the Department

1 from significant increases in expenditure, then the  
2 Department may intervene to ensure that the NHS pays  
3 a fair price for the medicine(s) concerned."

4 So there is the possibility of intervention under  
5 Scheme M., it no longer exists, but at least at the  
6 relevant time this was a possibility. Are you happy  
7 with that?

8 A. Yes. Well, that is what it says, yes.

9 Q. Yes. Now, again, in a normal market the customer cannot  
10 beat you over the head with a suite of regulatory price  
11 control levers, can they?

12 A. In a normal competitive market --

13 Q. Yes.

14 A. -- no.

15 Q. No. Now, a couple of final abnormalities. Entry into  
16 the market at the manufacturer level is controlled by  
17 the fact that you need a marketing authorisation which  
18 is typically preceded by years and years of clinical and  
19 safety trials?

20 A. Yes.

21 Q. By contrast in a commodity market you can enter tomorrow  
22 without any equivalent regime?

23 A. Yes.

24 Q. You also cannot increase the demand for a prescription  
25 medicine by advertising, can you?

1 PROFESSOR WATERSON: Just to point out that of course there  
2 are many markets in which there are regulations. You  
3 cannot enter as a restaurant, for example --

4 MR O'DONOGHUE: Yes, you need a licence. That is perfectly  
5 fair, Professor, that is extremely helpful.

6 A. Or to practise law, for example.

7 Q. Thank God for that.

8 Professor, I did not get your answer, but you agree  
9 that the restrictions on advertising of prescription  
10 medicines is a pretty severe restriction compared to  
11 a normal market?

12 A. Well, it is a restriction. There is lots of  
13 restrictions, as we pointed out. Whether it is more  
14 severe than any of the others, I do not know.

15 Q. In a normal market, I can try to increase the demand for  
16 my product by spending on advertising. I cannot do that  
17 for a prescription medicine.

18 A. True. Some people would argue that you could as  
19 a licensed person try to increase the demand for your  
20 services because the customer does not have any  
21 information over what the outcome is going to be other  
22 than relying on your knowledge, but, yes, whether it is  
23 more or less severe, it is certainly a restriction.

24 Q. Yes. Another abnormality is that the patent system  
25 places a numerical limit on the number and period for



- 1           which entry can occur?
- 2       A.   Yes.
- 3       Q.   A couple of final points.  Because we have a centralised  
4           public health system, we do not have a market in which  
5           people can buy and sell risks to their health.  There is  
6           no market for that.  Correct?
- 7       A.   True.
- 8       Q.   Finally, the NHS's objective, contrary to a going  
9           concern, is not to maximise profit; its objective is to  
10          make people better and to use its budget as wisely as  
11          possible for these purposes.
- 12      A.   Yes.
- 13      Q.   I think we have identified about two dozen  
14          abnormalities, and I think there is no real disagreement  
15          between us.  Now, do you therefore accept, in the light  
16          of these abnormalities, that the concept of normal  
17          competition needs to be applied in a modified way in  
18          a pharmaceutical market: it has to be applied with  
19          greater care and sensitivity?
- 20      A.   Yes.
- 21      Q.   But it must follow from that that when you criticise the  
22          QALY analysis because it does not address what price  
23          would be paid under normal competitive conditions, that  
24          is not exactly a fair criticism because, as you  
25          accepted, we are dealing in significant part with market

1 abnormalities?

2 A. Could we go back to my position paper or the paper where  
3 I state this with regards to the user, the consumer and  
4 the economic --

5 Q. Yes.

6 A. -- just to see what the context is.

7 Q. Yes, it is {XE3/3/4}. This is our third time,  
8 Professor, so is it paragraph 14?

9 A. Yes, 13(c) and 14, yes.

10 Q. Yes. You say at the bottom:

11 "... a QALY analysis is not informative for  
12 assessing economic value in that a QALY analysis says  
13 nothing about what the price would be under normal  
14 competitive conditions."

15 That is your criticism. The point I am putting to  
16 you, which you have accepted, is that in this case, or  
17 in pharmaceutical markets, we are dealing with a series  
18 of market abnormalities.

19 A. Mm-hm, yes, and so the cost per QALY analysis is not  
20 informative for assessing economic value under normal  
21 competitive conditions, yes.

22 Q. Yes, but your template is normal competition. The point  
23 I am putting to you is that in these markets there are  
24 abnormalities. So is it really a fair criticism to say:  
25 well, the template is normal competition, because you

1           have accepted there are abnormalities. Do we not have  
2           to modify the assessment of economic value because of  
3           these abnormalities? That is the point I am putting to  
4           you.

5           A. I am not sure what the point is that you are putting to  
6           me. Are you disagreeing with my statement there --

7           Q. Yes.

8           A. -- or are you saying that I should not -- so my  
9           statement merely says that we should not assess economic  
10          value through the QALY with regards to a price being  
11          encompassed within the costs per QALY statement under  
12          normal competitive conditions, and I agree these are not  
13          normal competitive conditions, that is for sure, yes,  
14          but whether that is an unfair criticism of my statement,  
15          I am not sure it is or not.

16          Q. Well, let me put it to you in very simple terms. You  
17          say for this to be effective, it has to be an assessment  
18          under normal competition.

19          A. For what to be effective?

20          Q. For the QALY to be effective.

21          A. A cost per QALY analysis to be effective?

22          Q. It has to correspond with normal competition?

23          A. No, I am not saying that; I am saying that a cost per  
24          QALY analysis is not informative for assessing economic  
25          value because it says nothing about what the price would

- 1           be under normal competition.
- 2       Q. That is the very point. The point I am putting to you  
3           is that is a straw man because you have agreed there are  
4           abnormalities in pharmaceutical markets that have to be  
5           taken into account.
- 6       A. So if you are telling me that we are both agreeing that  
7           the cost per QALY tells us nothing about price under  
8           competitive conditions, I do agree.
- 9       Q. I am not saying that emphatically.
- 10      A. Okay, that is what I am trying to clarify.
- 11      Q. I am not saying that emphatically; what I am saying is  
12           we have abnormalities in the market and therefore if  
13           your template is normal competitive conditions, that is  
14           unsuitable as a measure for these markets given the  
15           abnormalities.
- 16      A. I am not sure -- so maybe we could go through a proof by  
17           contradiction. I am not saying that a cost per QALY  
18           would tell you anything about price under monopoly  
19           conditions either.
- 20      Q. Again, I think we are going round in circles. The point  
21           I am putting is a very simple one: your template -- your  
22           main reason for rejecting the QALY is that it does not  
23           correspond to normal competitive conditions. The point  
24           I am putting to you: if that is the yardstick, it fails  
25           to take into account the two dozen abnormalities we have

1           discussed and you agree with and therefore is  
2           inappropriate and requires modification.

3       A.   So all that I am merely saying here is that if you are  
4           thinking about economic value and economic value can  
5           somehow be generated by information from a perfectly  
6           competitive market, the cost per QALY does not do that.  
7           Whether that is because of the abnormalities or not is  
8           the next point.  So I am simply saying that the cost per  
9           QALY does not tell you about economic value as economic  
10          value relates to competitive conditions.

11       THE PRESIDENT:  Mr O'Donoghue, I think the position is this:  
12          what Professor McGuire is saying is that the cost per  
13          QALY analysis is not informative for assessing economic  
14          value in a competitive market, and that is all he is  
15          saying.

16       A.   Full stop, yes.

17       THE PRESIDENT:  What you are saying, and I think he is  
18          agreeing, is that there are other reasons for inferring  
19          that the price in this market is not workable  
20          competition or normal competitive market, and he is also  
21          agreeing with that, but I think that is as far as the  
22          agreement is going.  I do not think he is saying that  
23          your factors in any way --

24       A.   Contradict the --

25       THE PRESIDENT:  -- affect his answer on the QALY.

1 A. Yes.

2 MR O'DONOGHUE: Sir, it may be a submission point. I have  
3 put the point as I see it.

4 THE PRESIDENT: Yes.

5 MR O'DONOGHUE: It may be a submission point.

6 Let me put the question another way, Professor, and  
7 then I will move on.

8 If, as you agree, there are certain abnormalities,  
9 you would agree that when we are considering value to  
10 the customer and the user, if we are to conduct  
11 a coherent analysis we must factor into account these  
12 abnormalities, otherwise the assessment is simply not  
13 fit for the job?

14 A. Sorry, could you repeat the question there because I am  
15 not sure if you are asking with respect to a cost per  
16 QALY as used as an instrument to tell us something about  
17 value or you are asking something else.

18 Q. Well, let us keep this very simple.

19 A. Right.

20 Q. We have a market where the patients do not pay for the  
21 medicine and frankly, do not care about its price. We  
22 have a market in which the ultimate customer, the NHS,  
23 is underwriting the entire system and is not in the  
24 business of making a profit, is simply trying to ensure  
25 the maximisation of limited resources as best it can.

1           The point I am putting to you is that the template or  
2           standard for assessing economic value in that context  
3           cannot possibly be normal competition, it has to be some  
4           modified version of competition to reflect these  
5           abnormalities.

6           A. If that is an economic question, I would say yes; if it  
7           is a legal question, as you have pointed out, I am not  
8           a lawyer.

9           Q. Okay, well, let us move on, then. I think we have taken  
10          this as far as we can go.

11           Now, I want to come on to Dr Skedgel's assumptions,  
12          and you have a number of particular criticisms of those.  
13          I want to deal with some, what I would call, headline  
14          points to begin with. The first is the concept of QALY  
15          is now many decades old, I think it dates as far back as  
16          the 1970s; is that correct?

17          A. The concept goes back to about the 1970s, yes. The use  
18          by NICE is obviously since 1999.

19          Q. QALYs and their closely equivalent measures, they are  
20          widely used in western countries as units of economic  
21          health?

22          A. More than western countries, yes.

23          Q. In particular, NICE's work on QALY has given  
24          intellectual leadership to a number of countries around  
25          the world?

- 1 A. Yes.
- 2 Q. Now, QALYs are simply a metric to quantify health  
3 benefits at a particular cost?
- 4 A. QALYs are a metric to quantify health benefits. Cost  
5 per QALY then brings the cost in, yes.
- 6 Q. By accounting for both longevity and quality of life,  
7 the QALYs can help guide health decisions, increase  
8 consistency and transparency. They are a key part of  
9 the public policy framework for the National Health  
10 Service.
- 11 A. Yes, I would agree with that, yes.
- 12 Q. Now, we have heard a lively debate on the threshold and  
13 where it comes from, and I assume therefore you agree  
14 that no single number could ever capture perfectly the  
15 complexity in preferences for health, but at the very  
16 least, a QALY threshold provides a useful point of  
17 departure in terms of thinking about how to allocate  
18 scarce resources?
- 19 A. Are we talking about the NICE threshold now?
- 20 Q. For example.
- 21 A. Yes, so the NICE threshold is different dependent on  
22 guidelines and HTAs.
- 23 Q. Yes, we will come to that.
- 24 A. And it is different for specialised medicines, yes.
- 25 Q. Yes, £100,000, yes?



1 A. So it is a guide to decision-making and that guide at  
2 the threshold level may be altered as we see different  
3 patient groups, yes.

4 Q. Well, let us keep this simple for now.

5 A. Okay.

6 Q. We have £100,000 threshold for the specialised drugs.

7 A. Yes.

8 Q. £20,000, as you indicated, and then 20 to 30 in other  
9 contexts. We have two or three identifiable benchmarks  
10 that have been applied for some time.

11 A. Reasonable enough, yes.

12 Q. Now, without a cost per QALY analysis or something  
13 equivalent, NICE's work would lack a clear benchmarking  
14 value for calibrating value-based pricing?

15 THE PRESIDENT: Well, Mr O'Donoghue, are you putting to the  
16 witness that there is some kind of correlation between  
17 these thresholds, whatever they may be, and price or  
18 value as an economist would understand them in  
19 a competitive market, or are you saying that the  
20 thresholds are tethered to what?

21 MR O'DONOGHUE: Well, sir, at this stage I am making  
22 a simpler point --

23 THE PRESIDENT: Right.

24 MR O'DONOGHUE: -- which is for 24 years we have had  
25 a couple of commonly understood thresholds which have

1           been used consistently by NICE as its benchmarks.

2       THE PRESIDENT: Well, yes, but we heard yesterday from

3           Dr Skedgel that the £20,000 threshold, to take an

4           example, is one that in absolute terms it is impossible

5           for him to justify, and the sense I am getting from your

6           questions is that there is some kind of objective

7           correlation between, let us say, the £20,000 and

8           something, and I think you probably need to articulate

9           what you say that something is so that we can see what

10          Professor McGuire says about it.

11       MR O'DONOGHUE: Well, sir, there is nothing loaded in my

12          question. I am making a basic point that for 24 years

13          now NICE has consistently applied these metrics. We

14          will get on to the detail of the assumptions and

15          particular thresholds, but I am again in the foothills

16          of understanding --

17       A. Well, it is not, actually for 24 years. It started with

18          £20,000 per QALY; the £100,000 actually came in much

19          later as associated with trying to, in my mind,

20          reconcile a budget to monopoly patent prices as they

21          rise through time, so they were having difficulty

22          encompassing oncology drugs in particular and set up

23          a different drug fund for that. So the threshold

24          started at £20,000, that basic threshold has stayed

25          there. As I said yesterday, it is probably -- if you

1 pushed Sir Michael Rawlins into a dark room and  
2 threatened him with a hiding, he would probably say that  
3 is because it was the average earnings at the time, and  
4 unfortunately in this country median earnings have not  
5 risen very much since then, so we are still somewhere  
6 between £20,000 and £30,000, and you know, inflation has  
7 been very low, just to pick up on a point earlier, until  
8 very recently, so, you know, £20,000, I am not sure  
9 where it comes from, I am not sure if I agree with it,  
10 but it is the regulatory standard and as I said  
11 yesterday, it is an empirical question which they are  
12 trying to bottom-out now and the Department of Health  
13 has now moved to a £15,000 per QALY based on that  
14 empirical analysis at York.

15 Q. Again, I am putting a very simple point to you, which is  
16 the £20,000 threshold, for example, has been used for  
17 more than two decades by NICE.

18 A. Yes.

19 Q. To put it another way, from a patient perspective, the  
20 willingness-to-pay may well be infinite, whatever the  
21 ins and outs of NICE, at least they are applying some  
22 metric to trying to capture in some reasonable way  
23 a concept of willingness-to-pay and value for money?

24 A. So now you are mixing up the user and the customer and,  
25 you know, a patient's value would be infinite and I am

1           trying to distinguish between that end user value and  
2           the customer's value, and I completely agree with you  
3           that the customer, as defined by the purchaser, is the  
4           NHS, and the NHS uses £20,000, let us say, as the  
5           starting point for that threshold based on the  
6           opportunity cost of treatments which are incumbent  
7           within the NHS so that if it gets new treatments, it  
8           displaces the older ones with the more effective ones.

9           Q. Yes, so for better or for worse, NICE has used these  
10           thresholds in a pretty consistent fashion as part of its  
11           decision-making?

12           A. Certainly true.

13           Q. Okay, now, let us move on to the three assumptions which  
14           you challenge Dr Skedgel on. Can we start with  
15           proportionality.

16                     Just to recap on what Dr Skedgel did: phenytoin is  
17           a third-line treatment. He was unable to find an RCT of  
18           phenytoin in a third-line setting. I do not think it is  
19           disputed that there is not really such a trial, and in  
20           particular, I think it is common ground, but tell me if  
21           you disagree, that in the case of older drugs there is  
22           no economic justification for doing an RCT for that kind  
23           of product.

24                     Now, he did, however, identify a trial of phenytoin  
25           versus oxcarbazepine in a first-line setting and

1 a separate trial of oxcarbazepine against other  
2 comparators not including phenytoin in an adjunct or  
3 third-line setting, and he therefore extrapolated from  
4 these studies to come up with an efficacy figure for  
5 phenytoin in the third-line.

6 As you say I think at paragraph 18(a) of your report  
7 he used a network meta analysis to make an indirect  
8 comparison. Happy with that?

9 A. Yes.

10 Q. We will come to the detail of the assumptions in  
11 a second, but the upshot of Dr Skedgel's assumption is  
12 that phenytoin is an effective third-line drug for  
13 patients; that is his conclusion.

14 A. Do you mean cost effective or effective?

15 Q. Clinically effective.

16 A. Clinically effective?

17 Q. That is his 6.9%. Do you remember that?

18 A. Yes, yes.

19 Q. Now, just take a step back for a second, we will come on  
20 to the individual studies in a second, just to take  
21 a step back. Are you aware that in 2012 lamotrigine,  
22 carbamazepine were recommended as first-line treatment  
23 for children, young adults, for newly diagnosed focal  
24 seizures, these were the two first-line drugs of choice?

25 A. In NICE, yes.

1 Q. Yes.

2 A. Yes.

3 Q. Were you here for the medical evidence during this  
4 trial?

5 A. I was not -- I am afraid I was not at the medical  
6 evidence as presented over the past week.

7 Q. Can I quickly show you what Professor Sander for the CMA  
8 said about these two drugs and how they compared to  
9 phenytoin. If we go to {Day6LH1/159:} please. It  
10 starts at line 22. If you can read that, Professor, and  
11 then --

12 A. From 22?

13 Q. Yes, line 22.

14 A. Where it starts "Thank you"?

15 Q. Yes.

16 A. Sorry, what am I looking at, line 22 on the left-hand  
17 side page?

18 Q. Yes.

19 A. It says:  
20 "Thank you. If we go to the right-hand side ..."

21 Q. Yes. (Pause)

22 A. Yes.

23 Q. So the bit, Professor, I would like you to focus on is  
24 at the end. So it says -- so this is the question being  
25 put to him by Mr Johnston:

1           "What we take from this is when comparing phenytoin  
2           to the first-line drug for focal seizures recommended in  
3           the NICE 2012 guidelines it performs pretty much the  
4           same in terms of effectiveness but is less well  
5           tolerated. Would you accept that?"

6           Then Professor Sander from the CMA says:

7           "I would accept that, yes, in this situation."

8           A. I am presuming the 1.03 is an odds ratio of some sort.

9           Q. Yes.

10          A. Right.

11          Q. So he accepted that at least in terms efficacy,  
12          phenytoin was comparable to the first two of the  
13          first-line treatments.

14          A. Yes.

15          Q. Again -- I do not need to turn this up, it is at page  
16          {Day6LH1/161:} we have the same point in relation to  
17          lamotrigine. Let us quickly look at that.

18          A. Could I just ask before you turn the page, is phenytoin,  
19          its efficacy being assessed as a first-line treatment  
20          here or a third-line?

21          Q. It was in first-line, in monotherapy.

22          A. First-line, okay, sorry, thanks.

23          Q. {Day6LH1/161:16}, you then see:

24                 "If we then go to the right ..."

25                 Mr Johnston says:

1            "... we see in terms of time to 12-month remission  
2            is that phenytoin is not extraordinarily, but it is  
3            notably more effective than lamotrigine. That is right,  
4            is it not? It is at 0.89?"

5            And Professor Sander says:

6            "Yes, I take that."

7            I am putting a basic point to you which is for the  
8            first two off-the-rack the CMA's medical expert accepted  
9            that at least in terms of efficacy there was a good  
10            degree of comparability between phenytoin and these  
11            drugs.

12            You will understand the reason I put this to you,  
13            which is on that basis, Dr Skedgel's ultimate conclusion  
14            that as a starting point phenytoin is an effective  
15            anti-seizure medicine is(?) entirely surprising, is it?

16            A. Well, this is in terms of first-line of course --

17            Q. Indeed.

18            A. -- is it not, so he is then making an assumption that  
19            the relative risks hold in third-line, and they would be  
20            a very different patient set.

21            Q. We will come to that now.

22            A. Okay. So it is maybe not surprising, but it is not  
23            substantiated, would be my point.

24            Q. We will come to that. I do not agree with that.

25            A. All right, thanks.



- 1 Q. Now in terms of Dr Skedgel's assumptions, you do not  
2 actually say -- we have been over the Cramer point,  
3 I think you were here for that -- you do not actually  
4 say that he has left out of account any important  
5 phenytoin study, do you?
- 6 A. No.
- 7 Q. I mean, essentially your criticism is of the process and  
8 the proportionality assumption itself?
- 9 A. Yes, which is what my instruction was, yes.
- 10 Q. But you agree that you cannot say that he has missed  
11 anything important?
- 12 A. No, no, I am not saying that, yes. I am not agreeing  
13 that he has or has not. I am not saying that part, yes.
- 14 Q. That is quite important.
- 15 A. Yes, absolutely.
- 16 Q. And as we established earlier, you have not come up with  
17 a different assumption?
- 18 A. It was not in my instructions, as I think I replied to  
19 your question earlier.
- 20 Q. Well, again, you have had a year, you have had a  
21 position paper.
- 22 A. And I had other things to do. Read the news -- oh  
23 I wish, I wish!
- 24 Q. Anyway, we can agree you have not come up with any  
25 alternative assumption; correct?

- 1 A. That is certainly true.
- 2 Q. Now, can we look at what Professor Walker says. This is  
3 on the extrapolation from first-line to third-line. If  
4 we go to {XE6/2/13}, please. If you could read  
5 paragraph 11, please. (Pause)
- 6 A. Yes.
- 7 Q. Are you aware this evidence was not challenged in  
8 cross-examination?
- 9 A. As I say, I was not here.
- 10 Q. You were not here, fair enough. You are not in  
11 a position based on your expertise to suggest it is  
12 wrong, are you?
- 13 A. Not at all, yes.
- 14 Q. Now, in terms of extrapolation from first line to third  
15 line or from one area to another area, are you aware  
16 that NICE itself frequently engages in similar  
17 extrapolation?
- 18 A. Yes, it does, yes, sometimes. Not frequently I would  
19 say, sometimes, yes.
- 20 Q. Let us see about that.
- 21 A. Okay, but you probably know the literature better than  
22 me, then.
- 23 Q. Well, let us have a look at the 2012 guidelines. Now,  
24 for my sins, I checked the number of references to  
25 extrapolation and I found 44 just in the 2012

- 1 guidelines. Does that surprise you?
- 2 A. Extrapolations from one line of therapy to --
- 3 Q. Well, I searched for the word "extrapolation" within the  
4 guidelines and I got 44 returns. I am going to show you  
5 some examples, but --
- 6 A. So extrapolation to my mind means different things. You  
7 could have extrapolation over time, you could have  
8 extrapolation as you are trying to use it.
- 9 Q. Let us look at some examples, then, fair enough.
- 10 A. Right, yes.
- 11 Q. It is {XD1/6/897}. Do you see in the second box,  
12 trade-offs, do you see the second part:
- 13 "There was no evidence for topiramate as adjunctive  
14 therapy, but there was some evidence extrapolated for  
15 monotherapy from JME which found it to be effective and  
16 the GDG thought it would also be effective as adjunctive  
17 therapy."
- 18 So there I would suggest is a very clear example of  
19 NICE itself in the 2012 guidelines extrapolating  
20 monotherapy to adjunctive therapy?
- 21 A. And was this for a guidance assessment or --
- 22 Q. Yes.
- 23 A. Presumably it is a guidance assessment.
- 24 Q. This is the guidelines, yes. You do not disagree with  
25 that, that is what it says?

1 A. No.

2 Q. Can we look at page {XD1/6/782} in the same document.

3 You see under "Introduction", the second paragraph, the  
4 second half:

5 "'... In refractory focal epilepsies, the results of  
6 efficacy trials performed in adults could to some extent  
7 be extrapolated to children provided the dose is  
8 established'. As a result of this, and with the  
9 agreement of the GDG [or GG] we have combined the data  
10 for adults and children in the refractory focal seizures  
11 review."

12 So that is another example of an extrapolation from  
13 one cohort to another. Are you happy with that?

14 A. Yes.

15 MR O'DONOGHUE: Then the other reference is {XE/121/44}.

16 (Pause).

17 Sir, I need to check that reference. It might be  
18 a good time for a break.

19 THE PRESIDENT: Very good. We will rise for ten minutes.

20 Thank you very much.

21 (3.29 pm)

22 (A short break)

23 (3.47 pm)

24 THE PRESIDENT: Mr O'Donoghue.

25 MR O'DONOGHUE: Thank you, sir. Can we go to {XG/121/214},

1 please.

2 So Professor, this is a supporting document in the  
3 context of the 2012 guidelines, and if we can blow up  
4 the middle, please, where it says "Informed". It says:

5 "Informed by the evidence from Kwan and Brodie, the  
6 GDG assumed that the cost-effectiveness of different  
7 AEDs used as a first-line monotherapy would hold true  
8 for their use as a second-line monotherapy."

9 So that is another example of extrapolation from one  
10 line to another line. Do you agree with that?

11 A. Yes.

12 Q. We have seen the evidence of Professor Walker, we have  
13 seen what NICE itself does in extrapolation from one  
14 line to another. Now, what Dr Skedgel has also done is  
15 he found the Chen study; are you aware of that?

16 A. Yes.

17 Q. That study showed, from a cohort of 2,000 patients, the  
18 declines from the first-line to the third-line in terms  
19 of efficacy across a range of anti-epilepsy drugs were  
20 around 40%, whereas Dr Skedgel, in terms of his  
21 assumption extrapolation from first-line to third-line,  
22 assumed an 88% decline.

23 So you would therefore agree that Dr Skedgel's  
24 assumption based on Chen is extremely conservative: it  
25 is over double the decline observed in the Chen study.

1 A. Slightly different assumptions. The Chen study was, as  
2 you say, a basket of goods and Dr Skedgel is using just  
3 one drug, but -- but Chen is looking at a change over  
4 I think it is first-line, second-line and third-line,  
5 which he uses to support his assumption.

6 Q. But what Dr Skedgel says is, well, he has made an  
7 extreme assumption against himself which is let us take  
8 40% from Chen, I will accept a decline of more than  
9 double that, so he is assuming against himself in a way.  
10 Do you agree with that?

11 A. They are not exactly like for like comparisons, but in  
12 comparing against Chen's study he is making a more  
13 conservative assumption in that sense.

14 Q. Now, I would put to you that based on what we have seen  
15 from Professor Walker, the significant extrapolations  
16 made by NICE itself and Chen that Dr Skedgel's  
17 proportionality assumption at the very least is  
18 a reasonable one?

19 A. I could agree with that, but I would also suggest that  
20 if there was a submission to an HTA body rather than to  
21 the guidelines maybe in the scenario analysis which  
22 Dr Skedgel undertakes which is only on specific aspects  
23 of the existing model, should have been widened to test  
24 out precisely that assumption of proportionality.

25 Q. Well, we will come on to the PSA.

1 A. Okay, well, it is not the PSA, it is slightly different.

2 Q. We will come on to uncertainty which includes the PSA.

3 A. Right, okay, I was talking about scenario assessment,  
4 though, rather than PSA, yes.

5 Q. You certainly accept now that he has done a PSA?

6 A. In response to my first paper, yes, he did, yes.

7 Q. Yes.

8 A. And it came out with a value which said that it was not  
9 cost effective, phenytoin was not cost effective  
10 compared to pregabalin.

11 Q. Well, we will come on to that. I do not accept that.

12 A. Okay.

13 Q. So that is proportionality. Can we now move to  
14 equivalence, again, just remind ourselves what he did.  
15 The trials linking oxcarbazepine in the first-line and  
16 adjunct settings, they tested different average doses,  
17 so to match the average dosages between the two trials  
18 as closely as possible, Dr Skedgel excluded the maximum  
19 dosage which was 2,400mg and pooled the 600mg and  
20 1,200mg into a single average of 900mg.

21 This pooled average dose of 900mg, he then assumed  
22 that was effectively equivalent to a dose of 1,028mg in  
23 his equivalence assumption.

24 Now, you make two criticisms of his equivalence  
25 assumption: first of all, you say -- so this is at 18(b)

1 of your report, it is at {XE3/3/6}. Do you see in  
2 18(b)?

3 A. Yes.

4 Q. Do you see that?

5 A. Yes.

6 Q. You say, and I quote there is "little justification",  
7 that is your first point, and your second point is no  
8 sensitivity. We will come back to the sensitivity.

9 Let us focus for now on the question of  
10 justification.

11 Now, if we go to Mr Hawkins' evidence, it is at his  
12 second statement, {XC1/6.1/14}, paragraph 47, he says,  
13 second sentence:

14 "Justification for this is given within the  
15 guideline [over the page] documentation although there  
16 are advantages and disadvantages to either approach."

17 So what Mr Hawkins is saying, I would suggest, is  
18 there is no single right or wrong answer; there are pros  
19 and cons to pooling or non-pooling. Do you agree with  
20 that?

21 A. I would, and I would suggest that that is the reason why  
22 it ought to be tested under a scenario-type of approach.

23 Q. We will come to that. Hold that thought.

24 A. Okay.

25 Q. Now, the other point on the equivalence is the Barcs



1 study; are you familiar with that?

2 A. The Barcs?

3 Q. Barcs. B-A-R-C-S. Let us have a look at it. It is  
4 {XF3/1/1}.

5 A. Oh, okay, yes. I pronounce it differently, yes.

6 Q. You will see, Professor, in the top left where it says  
7 the median reduction in seizures. Do you see that?

8 A. Yes.

9 Q. So it says and I quote:

10 "The median reduction in seizure frequency was 26%,  
11 40%, 50%, or 8% for patients receiving 600, 1200 or  
12 2400mg ... or placebo, respectively..."

13 So they say the effectiveness of oxcarbazepine  
14 increased with dose, and on that basis is not  
15 Dr Skedgel's assumption at the very least reasonable, if  
16 not conservative?

17 A. So this is talking about seizure frequency and  
18 Dr Skedgel's model is on freedom from seizure, so it is  
19 not exactly comparable, but --

20 Q. We will come to that point. I do not accept that.

21 A. So is it reasonable or not? Well, if you are comparing  
22 the sizes of apples to the sizes of oranges and they are  
23 all the same size, I would say it is reasonable in that  
24 sense.

25 Q. Well, let us move on, which is the point I think we have

1 just made, the dichotomous outcome assumption.

2 So again just to tee up what Dr Skedgel did. The  
3 key clinical trial that he relies on in a first-line  
4 setting, the Bill study, expressed efficacy in terms of  
5 the proportion of patients experiencing complete  
6 seizure-freedom without capturing any benefits from  
7 incomplete seizure-freedom, so he applied the same  
8 approach in his model, so that is the dichotomous  
9 outcome assumption. Are you happy with that?

10 A. Yes.

11 Q. I want to put a number of points to you on this.

12 First of all, do you agree with NICE -- let us go to  
13 {XF3/54/565}. Do you see under question 8, do you see  
14 that?

15 A. Yes.

16 Q. You see where they say seizure-freedom is the most  
17 important?

18 A. Yes.

19 Q. Yes? You presumably agree with that?

20 A. Wholeheartedly, but, as I understand it, it is a very  
21 rare occurrence.

22 Q. Well, let us look at the medical evidence quickly. It  
23 is at {XF4/30/2}. This is a joint paper from Professors  
24 Walker and Sander who were the opposing medical experts,  
25 and you see on the left-hand column, the second

1 paragraph, do you see where it says "straw poll"?

2 A. See where it says what?

3 Q. "Straw poll"? It is the second paragraph halfway down:

4 "Indeed, a straw poll ..."

5 A. Yes.

6 Q. It says:

7 "... a straw poll of our patients with chronic  
8 epilepsy has certainly emphasised to us that for most  
9 patients ridding themselves of seizures is their primary  
10 aim and anything less is unsatisfactory."

11 And presumably you would agree with that?

12 Now, the final piece of medical evidence is on  
13 {Day6LH1/134:15}, please.

14 A. Is that medical evidence you have just shown me or just  
15 the preference for patients to have seizure-freedom?

16 Q. Well, you can read as well as I can, it is a joint paper  
17 from the two professors where they say a -- what they  
18 call a straw poll of their patients, that is what they  
19 told them. Well, let us look at what Professor Walker  
20 said in the box, {Day6LH1/134:15}, please.

21 Do you see where it starts:

22 "So, yes ..."

23 A. Yes.

24 Q. He says:

25 "... I cannot emphasise enough really the importance

1 of seizure-freedom. I mean, in terms of changes to  
2 quality of life, I think people think: well, you know,  
3 if it is a seizure once in a month, you know, how bad is  
4 that? I mean, for many people these just have  
5 completely devastating effects on their lives. They  
6 warned around just constantly terrified that they are  
7 going to have a seizure ... I see ... people who have  
8 been seizure-free for [two] years and suddenly have a  
9 seizure, suddenly they are afraid to go to the  
10 supermarket, they are afraid to go out in case they have  
11 a seizure, it just has such a big psychological impact  
12 upon them, and sometimes people again ... people are not  
13 very good at predicting what it will be like if  
14 something were to happen to them ... It is not until  
15 they have had the seizure that they suddenly realise,  
16 you know, what a devastating effect it has had on them  
17 psychologically and also socially in terms of being  
18 unable to drive."

19 We can all wholeheartedly agree with that?

20 A. Absolutely, yes.

21 Q. That is on complete seizure-freedom. Now, you do not  
22 actually say that Dr Skedgel's assumption biases his  
23 results in any particular direction, do you?

24 A. In terms of this assumption?

25 Q. Yes.

- 1 A. No.
- 2 Q. Can we also look at what NICE itself concluded. It is  
3 at {XD1/6/1247}. These are the forest plots from the  
4 2022 guidelines, and you will see from the forest plots  
5 that the confidence intervals cross zero for some of the  
6 products, but at least on the basis of the point  
7 estimate relative to other comparators it would be  
8 difficult to claim that phenytoin is any less effective  
9 in terms of incomplete seizure-freedom than the other  
10 products listed here; do you agree with that?
- 11 A. They do not cross zero, it is crossing 1 being no  
12 difference to a placebo, I think, and I do agree that it  
13 would be very difficult, as I pointed out, I think, in  
14 my first paper, looking at the variance of the effect  
15 that was reported from the meta analysis which was  
16 helpfully done by Dr Skedgel that it is very difficult  
17 to come up with a clear winner, if you like, yes.
- 18 Q. But on that basis, do you at least agree that  
19 Dr Skedgel's assumption is a reasonable one?
- 20 A. Reasonable?
- 21 Q. Yes.
- 22 A. In the sense of reasonable in that there is no  
23 difference between placebo and phenytoin, or reasonable  
24 in what sense?
- 25 Q. Well, he --

- 1 A. Which assumption? The dichotomous one, or --
- 2 Q. The dichotomous one.
- 3 A. Ah, so he is taking a -- well, again, I did not actually
- 4 make much of this in my report, but I would have thought
- 5 that you would want to undertake a scenario analysis of
- 6 that to -- given the uncertainty of choice here, that
- 7 would be my position. It is a reasonable starting
- 8 assumption. You would want to test it.
- 9 Q. But you agreed with me that there is no reason to think
- 10 there would be bias in any particular direction.
- 11 A. Not from this, no.
- 12 Q. Okay, well, that is the assumptions. I want to move to
- 13 a different topic. We will come back to the question of
- 14 sensitivity and uncertainty. I want to move on to
- 15 a different topic.
- 16 So one of the points, as we said at the outset you
- 17 make is that you distinguish the TA process from the
- 18 guidelines, and you talk about the impact on generic
- 19 pricing in a guideline context. Do you remember that?
- 20 A. Yes.
- 21 Q. Your point, I think, in a nutshell is that in
- 22 a guideline context, NICE does not set or even indicate
- 23 the prices?
- 24 A. It does not do that neither HTA or guidance, so that is
- 25 a commonality. The price is not set through the HTA

1 process and neither is it set through the guidelines  
2 process.

3 Q. But you do make a distinction between the TA and  
4 guideline process at least in terms of indirect impacts  
5 on pricing; correct?

6 A. Yes, yes.

7 Q. Now, can I suggest as a starting point that you have not  
8 fairly characterised Dr Skedgel's evidence on this  
9 point. Can we look, please, at Mr Hawkins' statement.  
10 It is at {XC1/6.1}.

11 A. On which particular point I have not characterised  
12 fairly?

13 Q. Let us hear what Mr Hawkins says and then it will become  
14 very clear --

15 A. Okay.

16 Q. -- what I am putting to you. So it is Hawkins 2,  
17 page 5. So it is at paragraph 17. You see where he  
18 says:

19 "In principle, whilst there are some differences in  
20 methodology for considering cost effectiveness between  
21 the guidelines and TA methods ... I agree [that] these  
22 should not make a difference in this particular case."

23 So what Mr Hawkins is saying there is that the basic  
24 methodology and cost effectiveness is sufficiently  
25 similar for present purposes between the TA and the

1 guidelines; do you see that?

2 A. Yes.

3 Q. If we go to what Dr Skedgel has done, it is at  
4 {XE3/1/10}, paragraph 41, we have seen this, I think,  
5 more than once today, so he says in the second line:

6 "... I use, to the extent practicable, the approach  
7 that would be taken by NICE, had it been conducting an  
8 appraisal of phenytoin in a technology assessment or as  
9 part of a clinical guideline development ..."

10 So the point Dr Skedgel is making is that he is not  
11 stuck in a TA or guideline pigeon-hole when it comes to  
12 his cost-effectiveness methodology; he is applying an  
13 essentially common methodology to work out on the basis  
14 of his model whether phenytoin is good value for money.

15 A. Is that a statement or are you asking me something?

16 Q. Well, let me put this in a pointed question to you. You  
17 criticise Dr Skedgel on the basis that you say from  
18 a process point of view there is a big distinction  
19 between the TA process and the guideline process. What  
20 Mr Hawkins and Dr Skedgel are saying, I would suggest to  
21 you, is that in terms of the cost effectiveness  
22 assessment, the methodology between TAs and guidelines  
23 is a common one.

24 A. The methodology that is applied, yes. I would go a bit  
25 further and say that although I recognise -- and again,



1 I am not criticising the intrinsic quality of the model  
2 Dr Skedgel had put forward, and I am not saying that to  
3 the extent practicable it is not reflecting his time  
4 constraints or data constraints, but I would say that  
5 most of my criticisms on the assumptions stem from the  
6 fact that he did not undertake scenario analysis to deal  
7 with uncertainty in terms of the structure of the model,  
8 his scenario analysis as it was performed to my mind.

9 Q. We will come to that, but let us stick to this topic.

10 A. Yes.

11 Q. Again, I would put it to you that your criticism of  
12 Dr Skedgel in terms of this TA and guideline distinction  
13 is not a fair one. All he is doing in terms of cost  
14 effectiveness is applying a methodology that is common  
15 to TAs and guidelines. What he is saying is applying  
16 that common methodology phenytoin at the challenged 2012  
17 prices is within threshold and, therefore, is good value  
18 for money, and that conclusion does not depend on any  
19 particular pigeon-hole.

20 A. I disagree --

21 Q. Do you agree with that?

22 A. I disagree with that.

23 Q. Why?

24 A. Well, partly because of the conclusions that are reached  
25 in the sense that, as James Hawkins has said, generally

1 speaking, guidelines apply a £20,000 per QALY threshold,  
2 and the HTA threshold would be a range depending on the  
3 patient body going from £20,000 to £30,000.

4 Q. On his model he is below £20,000.

5 A. Pardon?

6 Q. On his model he is below £20,000.

7 A. Yes, but the uncertainty, the probability sensitivity --

8 Q. We are going to come to uncertainty.

9 A. Okay, but that is an important distinction because

10 his --

11 Q. Well, let us stick to the methodology.

12 A. All right.

13 Q. Do you agree --

14 A. I am sticking to the methodology in the sense that the  
15 threshold is £20,000 for guidelines and £20,000 to  
16 £30,000 and beyond for HTA.

17 Q. And that is the threshold he has applied.

18 A. He has applied £20,000 to £30,000 within trying to  
19 present his PSA results --

20 Q. His base case results, all of them, are below £20,000?

21 A. Pardon?

22 Q. His base case results, all of them, are below £20,000?

23 A. His deterministic result --

24 Q. Are all below £20,000.

25 A. He only has one, and it is below £20,000, but when he

1 applies probability sensitivity analysis, there is a 50%  
2 chance that it goes up to and beyond £30,000.

3 Q. We will come to the uncertainty. Let us stick with the  
4 methodology. In terms of his cost effectiveness  
5 methodology, he has applied a common methodology to the  
6 guidelines and the TA process.

7 A. Part of the methodology to my mind is the threshold  
8 against which you are comparing, and in the guidance, as  
9 James Hawkins pointed out, the guidelines uses £20,000,  
10 above and below, and HTA uses a range, and part of that  
11 range is £20,000 to £30,000.

12 Q. I would suggest to you it is actually quite simple:  
13 Dr Skedgel has used a common cost effectiveness  
14 methodology used by NICE in a TA and guideline context,  
15 and he has, through his model, concluded that the  
16 phenytoin prices in 2012 are below £20,000 and,  
17 therefore, good value for money. That is the long and  
18 the short of what he has done.

19 A. Yes, I disagree with that. As I say, I do not think it  
20 is robust and I do not think it is necessarily reliable  
21 given that he has not tested out his assumptions in  
22 a scenario way which you might expect under an HTA.

23 Q. Well, let us move on. We disagree about that.

24 Now, you at least agree that the guideline, the NICE  
25 guidelines, expressly refer to QALY measures?

- 1 A. Yes.
- 2 Q. There is at least, I would suggest, a reasonable  
3 expectation that the NICE guidelines will be followed,  
4 including to use medicines that are good value for  
5 money?
- 6 A. The NICE guidelines are recommendations essentially to  
7 clinical practice, and if there is good reason or not,  
8 and whether they are or not, there may be exceptions, so  
9 I do not know how widely they are applied in practice  
10 with regards to clinical practice, but individual  
11 clinicians using them, but they are giving you  
12 a standard of care.
- 13 Q. Well, let us look at what Rawlins says about this. It  
14 is at {XF3/27/1}. You see the first paragraph.  
15 Professor Rawlins is a critical person within NICE;  
16 correct?
- 17 A. He was the first President of NICE. He has now moved  
18 I think to -- did he not move to Pfizer or one of the  
19 drug companies after he left NICE, I can't remember.
- 20 Q. You may well be right, but at least in this context he  
21 is wearing a NICE hat, you see that --
- 22 A. Whether he is critical or not is what I was issuing  
23 about.
- 24 Q. Anyway, so what he says here is:  
25 "Where NICE reaches a positive conclusion about the

1 use of a particular health technology ... there is  
2 a legal requirement for the service to make it  
3 available ..."

4 So that is the funding point.

5 Then he goes on to say:

6 "Although this legal obligation does not apply to  
7 technologies recommended in ... guidelines, there is ...  
8 a reasonable expectation by the [CQC] for NHS healthcare  
9 professionals to use NICE's clinical guidelines as the  
10 basis, where appropriate, for their clinical practice."

11 So that is the point I am putting to you.

12 A. I think they are used as a benchmark by the CQC, yes.

13 Q. Indeed, yes.

14 A. Yes, okay.

15 Q. And professionals?

16 A. I cannot comment on that, I do not know about clinical  
17 practice that much in terms of its variance.

18 Q. Let us look at what the guidelines say. It is  
19 {XF3/29/2}, please. The first paragraph.

20 This is the introduction to the guidelines which  
21 says:

22 "Your responsibility.

23 "The recommendations in this guideline represent the  
24 view of NICE, arrived at after careful consideration of  
25 the evidence available. When exercising their judgment,

1 professionals and practitioners are expected to take  
2 this [guidance] fully into account, alongside the  
3 individual needs, preferences and values of their  
4 patients or the people using their service."

5 So a recommendation adopted in a guideline, NICE's  
6 expectation is that it would be followed in practice?

7 A. Yes, you could read on and read the first sentence of  
8 the second paragraph if you wish.

9 Q. Please do.

10 A. "Local commissioners and providers of healthcare have  
11 a responsibility to enable the guideline to be applied  
12 when individual professionals and people using services  
13 wish to use it."

14 Q. Yes. Now, would you agree, therefore, that the NICE  
15 guidance would at least affect the uptake of generic  
16 medicines in the UK?

17 A. Yes, it could do, yes.

18 Q. I would suggest it is more than that: where a generic is  
19 recommended that will affect its uptake. It is an  
20 endorsement.

21 A. It is a recommendation, not a statutory obligation. So  
22 it should affect it, yes.

23 Q. Yes, well, let us have a look at what the study says on  
24 this. It is at {XF3/52/3}, please. Can we go to the  
25 first page just to show the Professor what we are

1 looking at {XF3/52/1}. The title is:  
2 "Does NICE influence the adoption and uptake of  
3 generics in the UK?"  
4 Do you see that?  
5 A. Yes.  
6 Q. If we then go to page {XF3/52/3}, please, you see in the  
7 bottom left in the final paragraph?  
8 A. Where it says "Incumbent firms ..."  
9 Q. "TAs ..."  
10 A. Pardon?  
11 Q. "TAs..."  
12 It is the second sentence, Professor.  
13 A. The second sentence of the last paragraph --  
14 Q. So it starts:  
15 "TAs have ..."  
16 THE PRESIDENT: He is looking at the left-hand column, not  
17 the right-hand column, Professor.  
18 A. I am looking at the right-hand column, but --  
19 THE PRESIDENT: Yes, I think that is wrong.  
20 A. Okay, so "The underlying" -- is that the sentence?  
21 THE PRESIDENT: I think it is left-hand column, the big  
22 paragraph from the bottom up beginning:  
23 "TAs have the capacity ..."  
24 Have I got that right, Mr O'Donoghue?  
25 MR O'DONOGHUE: Thank you, yes.

- 1 THE PRESIDENT: Do you see that, Professor?
- 2 A. Yes, so what am I specifically --
- 3 MR O'DONOGHUE: Let me read out the quotation, the second
- 4 sentence.
- 5 A. "The underlying hypothesis..."
- 6 Q. Yes:
- 7 "... generic uptake may be more attractive if
- 8 guidance states that the molecule is recommended for the
- 9 treatment of certain condition, as this is an indicator
- 10 of the superiority of the molecule with respect to other
- 11 competing molecules. NICE may also recommend the
- 12 cheapest version of a sample of similar medicines (with
- 13 different active ingredients) ... thus have a positive
- 14 impact on generic usage."
- 15 So they are making a rather obvious point, I would
- 16 suggest, which is if a generic has been recommended as
- 17 being good value for money by NICE, that is something of
- 18 practical significance to the market.
- 19 A. I do not think I disagree with that, and it does not
- 20 amend my earlier statement. It says "may be more
- 21 attractive".
- 22 Q. You would also presumably agree that all else equal it
- 23 would be harder to sell a drug that was not recommended
- 24 by NICE as a generic?
- 25 A. That is certainly true.



- 1 Q. We see this in the context of AEDs. Were you aware, for  
2 example, that there are about 30 AEDs on the market and  
3 only 18 have been recommended by NICE?
- 4 A. There are 30 on the market, but only 18 -- I had not  
5 been made aware that only 18 had been recommended.  
6 I knew there were more than 20 on the market, yes.
- 7 Q. Yes, well, let us have a quick look at that. It is at  
8 {XF3/54/29}, please. Do you see the top of the page?
- 9 A. Yes.
- 10 Q. "There are currently more than 30 ... (ASMs) ... 18 ...  
11 recommended ..."
- 12 A. Right.
- 13 Q. Happy with that?
- 14 A. Yes.
- 15 Q. Now including of course phenytoin --
- 16 A. This is from the 2020 guidelines or the 2012? I presume  
17 the 2020.
- 18 Q. I think this is the cenobamate submission.
- 19 A. It is the what?
- 20 Q. The cenobamate appraisal.
- 21 A. Okay, right.
- 22 Q. So I think you are right, Professor, it is around 2020.
- 23 A. So it is after 2020.
- 24 Q. Around, around that period.
- 25 A. No, it is after 2020, yes.

1 Q. Yes. So on this basis, as a recommended ASM, phenytoin  
2 is in relatively selective company. Do you agree with  
3 that?

4 A. If by that you mean that it is one of the 18  
5 recommended, yes.

6 Q. And it is not one of the 12 not recommended?

7 A. I have no -- of course, yes, that is true, yes.

8 Q. Now, given, as I think you agree, a positive NICE  
9 recommendation is useful, if not important --

10 A. Useful I would say, yes.

11 Q. Yes -- is not this another reason why a manufacturer, at  
12 least a sensible manufacturer, would wish its prices,  
13 where possible, to be at least consistent with the NICE  
14 thresholds for value for money? To put it another way,  
15 there would be a real risk in trying to sell a medicine  
16 that fell outside or plainly outside the NICE  
17 thresholds.

18 A. I think, to put it another way, if the medicine went  
19 above the recommended threshold --

20 Q. You would have a problem.

21 A. -- it would not be recommended.

22 Q. Yes.

23 A. Yes, that is certainly true.

24 Q. And you may have problems selling it?

25 A. Yes, and if there was any uncertainty around whether it

- 1           was above or below the threshold, it may not be  
2           recommended on the basis of cost effectiveness alone.
- 3       Q. Now, it is clear when NICE is considering the value of  
4           a drug it takes into account the price of that drug as  
5           a cost input in the cost effectiveness model?
- 6       A. Yes.
- 7       Q. And NICE will for these purposes try and obtain the most  
8           accurate real world data it can on the prices in  
9           question?
- 10      A. Yes, although it stuck -- well, again, are we talking  
11           about the HTA process or the guidelines process, just  
12           to --
- 13      Q. Both.
- 14      A. Both, well, for the HTA process it gets the list price,  
15           and it may or may not have access to negotiated prices  
16           past the list price.
- 17      Q. And it would have the drug tariff?
- 18      A. Pardon?
- 19      Q. It would have the drug tariff?
- 20      A. It would have the drug tariffs which will have the list  
21           price, yes, for the HTA process.
- 22      Q. And it may be aware of discounts, as you say?
- 23      A. Yes.
- 24      Q. Now, it is also clear, I would suggest, that NICE can  
25           and does indicate that at a particular price point

1 something is not good value for money and it may  
2 indicate the level of price reduction that would be  
3 needed to achieve good value for money?

4 A. Under the HTA process, that is true, and they may enter  
5 negotiations with any individual company who has  
6 a patented drug to negotiate prices down. For  
7 a guidelines process where you are dealing with the  
8 generics and they go into the guidelines rather than an  
9 HTA process, I would say, that would not occur because  
10 the generic price would already have been established by  
11 potential or actual competition.

12 Q. Well, let us look at that, I do not accept that.

13 A. Okay.

14 Q. Now you raised yesterday the example of levetiracetam;  
15 do you remember that?

16 A. Yes.

17 Q. So let us have a look at what NICE said about that in  
18 2012. It is {XF3/29/29}. Do you remember this?

19 A. Yes.

20 Q. About a third of the way down:

21 "Levetiracetam is not cost effective at June 2011  
22 unit costs ... It may be offered provided the  
23 acquisition cost of levetiracetam falls to at least 50%  
24 of [the] June 2011 value documented in the ... Drug  
25 Tariff ..."

1           So I would suggest to you this is about as clear  
2           a signal as you can imagine from NICE that if the  
3           producer of levetiracetam wishes to be in the ballpark  
4           of being considered good value for money they have to  
5           make at least a 50% reduction in price to be considered  
6           cost effective.

7           Do you agree or disagree with that?

8       A. I disagree. I disagree in two ways. One, it says it  
9       may be offered, so it is a conditional statement, it may  
10      be a signal, that is true, if that is what you are  
11      saying, but also I was not there, and I think there is  
12      at least two interpretations, and, as I said yesterday,  
13      levetiracetam was going off, the clinicians knew it was  
14      going off-patent in 2011 and so they are trying to  
15      future-proof their guidelines, I think -- that is my  
16      interpretation, I was not there -- they are trying to  
17      future-proof their guidelines recognising that once  
18      a drug comes off-patent in the first year, it usually  
19      falls by 50% to 70%.

20           So I think they are saying: we expect the price to  
21           fall by -- again, my interpretation, and happy to have  
22           your interpretation as well, but my interpretation is  
23           that it is an expectation that the price will fall and  
24           in fact it did, as I said yesterday, from £28 per 250mg  
25           to £1 per the equivalent dosage, £1 something, I forget

1 the --

2 Q. Let me just put two points to you.

3 First of all, they are saying at the 2011 prices  
4 they would not recommend it.

5 A. True.

6 Q. They are also saying that if it got to the level of 50%  
7 or below, it is then in the ballpark.

8 A. It may be offered, yes, it may, may, yes.

9 Q. So both of those on any view are price signals.

10 A. Well, you see, that is where we disagree on  
11 interpretation. I think it is a signal, but it is also  
12 an expectation in that I think the guidelines committee  
13 knew it was going to be a generic afterwards, and it  
14 would -- you would say this is a signal that it should  
15 drop the price 50%, I say that they are expecting the  
16 price to be dropped by at least 50%.

17 Q. Well, I can see if it reduces by 70% that is even better  
18 value for money.

19 A. So that is why I say at least.

20 Q. I would suggest to you at a minimum what they are saying  
21 here is that at a reduction of 50% it is in the ballpark  
22 of being good value for money. There is no other  
23 logical reason they would indicate the 50% reduction  
24 level, is there?

25 A. It says "at least 50%", and that I think is in the

1           ballpark, to use your phrase, of what we would expect to  
2           happen if a drug comes off-patent as it was doing in  
3           2011.

4           THE PRESIDENT: Mr O'Donoghue, how are you doing for time?

5           MR O'DONOGHUE: I think I am okay, sir, if we are finishing  
6           at 5.00.

7           THE PRESIDENT: Well, I think five-to would be better than  
8           5.00.

9           MR O'DONOGHUE: Yes, well I will do my very best.

10                  Now, Professor, if we go to your report, it is at  
11                  {XE3/3/15}, you say there at the top:

12                  "The highest product price required to remain within  
13                  the NICE threshold could be '[backward]-engineered"  
14                  through backward induction, although this is not meant  
15                  to be how the NICE guidance should be applied."

16                  So you were clearly saying there that from the  
17                  published prices and NICE thresholds you can  
18                  backward-engineer a price at which you would be in the  
19                  ballpark of cost effectiveness under NICE thresholds?

20           A. Yes, and, as I said yesterday, I think possibly  
21                  unfortunately that is going to increasingly happen as  
22                  VPAS is aligned with NICE thresholds, as is expected by  
23                  the Department of Health, NICE in a number of  
24                  publications they have put out, because that will mean  
25                  the manufacturer of a patent will get all of the

1 producer surplus.

2 Q. Let us put this in basic practical terms: I as  
3 a manufacturer may have a price that  
4 I backward-engineer, works out at a QALY of -- an ICER  
5 of £35,000. I know based on the thresholds I have no  
6 realistic prospect of that being recommended at that  
7 level of price, and if I am being rational, to be in  
8 with a chance of being recommended with the benefits  
9 that come with that, I will backward-engineer my price  
10 to be at or below the threshold. That is what any  
11 rational manufacturer would do, surely?

12 A. As the President pointed out yesterday, it might be kind  
13 of difficult to do this except with educated guesswork  
14 because you do not know the comparator that NICE might  
15 use, you do not know the precise calculation that NICE  
16 might do, but, yes.

17 Q. Well, you are the one saying here it could be  
18 backward-engineered.

19 A. It could be, but it would be imprecise at best, but  
20 I guess good guess at best.

21 Q. Well, it would be useful, I would suggest.

22 A. Certainly.

23 Q. Now, I am going to move on finally to the various points  
24 around uncertainty which you have mentioned.

25 Now, your primary criticism in your report was the



1 lack of a sensitivity analysis, and I think I counted 27  
2 references to "sensitivity" in your first report, and  
3 you at least now accept that Dr Skedgel in response to  
4 that has done a PSA and that a PSA is a widely used  
5 technique by NICE in its assessments.

6 A. If you are asking do I agree with that, I would say  
7 that -- two things -- one is Dr Skedgel did very  
8 helpfully do that in his second submission, I think, to  
9 undertake a PSA analysis which is around parameters, and  
10 that showed that there was a 50/50 chance that given the  
11 sampling uncertainty that phenytoin as compared to  
12 pregabalin would meet a threshold of £20,000 per QALY,  
13 which would be consistent with the guidelines.

14 Secondly, the second point would be that although  
15 Dr Skedgel refers to the second form of uncertainty that  
16 NICE would probably insist upon, given lack of evidence,  
17 the scenario analysis which is about the structure of  
18 the model and he talks about doing a scenario analysis,  
19 again, I would say that is mainly around parameters  
20 rather than the actual structure of the model itself.

21 Q. Sorry to stop you there. That is not a point you  
22 mention in your report, that is a point you mention in  
23 your position paper for the first time; is that correct?

24 A. I think -- I stand corrected if you say I am wrong, but  
25 I think I said it in the second submission that they

1           should have -- they might have, to improve the quality  
2           of his report, moved to a scenario analysis, but  
3           certainly there are two types of analysis and he has  
4           done a very good job on the first one.

5           Q. You knew when you put in your position paper that at  
6           that stage it is very difficult for Dr Skedgel to do  
7           anything further. It was rather late in the day to  
8           raise this, was it not?

9           A. I think it was very difficult for Dr Skedgel to do the  
10          whole modeling process, he had a very tight time  
11          constraint.

12          Q. Indeed, and he deserves credit?

13          A. Absolutely, yes.

14          Q. Now, we can all agree that if each and every input in  
15          the model passed the 95% confidence interval that would  
16          be ideal, but we know in the real world of health  
17          economics that uncertainty is pervasive?

18          A. Yes.

19          Q. Now, we have seen -- I put this to you a number of  
20          examples -- that one of the ways NICE deals with  
21          uncertainty is they extrapolate from one line to another  
22          or from one cohort to a different cohort. So that is  
23          one of the ways you contend with uncertainty.

24          A. Yes, I think they have got stricter over that, and they  
25          would ask for more scenario analysis, but, yes, they do

1           that, yes.

2           Q. Well, they did that in 2012, we saw that.

3           A. Yes, but they have got stricter, is what I am saying in

4           terms of the methods.

5           Q. Now, Professor Claxton who I presume you know well --

6           A. Yes.

7           Q. -- he is one of the world's leading experts in health

8           economics and has served as a member of a NICE appraisal

9           committee since 1999, and he has written what I think is

10          known as the White Book in the sphere of health --

11          A. Blue Book, blue.

12          Q. Well, I have got them both.

13          A. Yes, it is the blue one in your right hand.

14          Q. This is --

15          A. That is the left hand and that is the white one which

16          is --

17          Q. Which is Professor Claxton --

18          A. -- not as big in terms of revenue for him as the Blue

19          Book.

20          Q. Let us have a look at the White Book, it is at {XF3/69},

21          please.

22                 If we can go two pages on, please {XF3/69/3}, this

23          is The Irrelevance of Inference. We can blow up the

24          abstract. He said:

25                 "The literature which considers the statistical

1 properties of cost-effectiveness analysis has focused on  
2 estimating the sampling distribution of either an [ICER]  
3 or incremental net benefit for classical inference.  
4 However it is argued here that the rules of inference  
5 are arbitrary and entirely irrelevant to the decisions  
6 which clinical and economic evaluations claim to inform.  
7 Decisions should be based only on the mean net benefits  
8 irrespective of whether differences are statistical  
9 significant or fall outside a Bayesian range of  
10 equivalence. Failure to make decisions in this way by  
11 accepting the arbitrary rules of inference will impose  
12 opportunity costs which can be measured in terms of  
13 resources or health benefits forgone."

14 So what Professor Claxton is saying is that the  
15 rigid adherence to the normal rules in an RCT context of  
16 95% confidence intervals, that is an inappropriate  
17 approach when it comes to decision-making in health  
18 economics.

19 Do you agree with that?

20 A. In this rather old paper that is what he is saying, yes.

21 THE PRESIDENT: So you do or do not agree?

22 A. I agree that that is the argument in this paper, yes,  
23 but -- well, if you want me to elaborate --

24 MR O'DONOGHUE: Are you saying it is wrong then or wrong now  
25 or wrong both?

1       A. I am saying that that is still consistent with  
2       undertaking a probability -- his argument here, which  
3       says basically if you are making decisions you ought to  
4       make decisions on a range of the states of the world  
5       that are outcome states, is one way of characterising  
6       uncertainty, but that is still consistent, as he puts in  
7       that Blue Book and the White Book, that you should --  
8       with a position that he upholds as well -- that you  
9       should undertake probability sensitivity analysis.

10      Q. Which Dr Skedgel has done.

11      A. Yes, he has, yes, and shows that there is big  
12      uncertainty around the mean values.

13      Q. Let us come to it, I do not accept that, let us come to  
14      that. Now can we look at what NICE itself says at  
15      {XF3/70/4}. At the bottom of the page they say:

16                "When developing guidance ... NICE bases its  
17      decisions on the best available evidence. This evidence  
18      is not always of good quality and is hardly ever  
19      complete. Those developing NICE ... guidance are  
20      therefore inevitably required to make judgments."

21                You presumably agree with that?

22      A. Yes.

23      Q. Now you yourself have written about uncertainty in  
24      a book that you edited which I also have.

25      A. I hope you bought it.

- 1 Q. I did. It is the first -- you remember this book?
- 2 A. Yes, yes.
- 3 Q. You edited the book and you wrote the first chapter,  
4 I think.
- 5 A. Yes.
- 6 Q. So if we can look at your conclusion in chapter 1, it is  
7 at {XF3/72/2}. It is in the middle where you say  
8 "Indeed". You say:
- 9 "Indeed there are few occasions when even  
10 a rudimentary back-of-the-envelope calculation of  
11 critical costs and effects will not serve to guide  
12 decisions. Economic evaluation remains a useful tool  
13 that focuses attention on the necessary choices relating  
14 to the allocation of resources and is capable of  
15 application in various degrees of sophistication."
- 16 So on your view, a search for perfectionism or  
17 perfect confidence intervals at every juncture in health  
18 economics would not be appropriate?
- 19 A. No, I do not think that follows. I am saying that even  
20 a rudimentary approach would provide you with some  
21 information. Obviously, the better the approach and the  
22 better the data then the better the decision that arises  
23 from that.
- 24 Q. We can at least agree that what Dr Skedgel has done is  
25 a bit better than the back of an envelope?

1       A. Yes, but, as I have pointed out in my papers and  
2       position paper, whether it aligns with NICE's  
3       methodology -- and in fact Dr Skedgel in his own report  
4       says it does not, but he has done the best that he can  
5       given his constraints, and I would agree with that.

6       Q. I want to turn finally -- and I want to take this  
7       quickly -- to the points you make around the structure  
8       of the model and sensitivity uncertainty.

9               We pick this up in your position paper because that  
10       is the first time you have mentioned, I think, most of  
11       these points, which I would suggest to you is not fair  
12       to Dr Skedgel, but I will put these to you in any event.

13              So if we can go to {XE6/6/16}, please, it starts at  
14       page 16. You have a handful of points that I want to  
15       run through quickly. The first point you make at  
16       paragraph 50, you say that Dr Skedgel does not conduct  
17       appropriate scenario analysis.

18              Now, you do not give any indication in this position  
19       paper what you consider would have been an appropriate  
20       scenario analysis.

21       A. I think it is implied by saying "of his structural  
22       assumptions", and the structural assumptions are the  
23       ones which we have discussed earlier about  
24       proportionality, equivalence and dichotomous.  
25       Dichotomous maybe not, because -- well, it might do,

1 I mean, again, it gets back to the discussion of what  
2 James Hawkins was saying about a three-state versus  
3 two-state model, etc, and then the assumption of what  
4 I was getting at, the structural assumptions, and, as  
5 I think I emphasised in the position paper, was the  
6 proportionality and equivalence assumptions.

7 Q. Well, I would suggest to you that Dr Skedgel has done  
8 something actually quite dramatic, which is he has more  
9 than halved the efficacy percentage he arrived at  
10 applying the three assumptions that we have been  
11 through.

12 A. Half from what?

13 Q. From 6.85% to 2.9% efficacy.

14 A. Okay. You see, I would say that was more of a --  
15 I mean, it is splitting hairs here a little bit, I think  
16 here, but I would say that is more of a probability  
17 sensitivity analysis where you take one of the  
18 parameters and change them. What I would like to see is  
19 a change in the proportionality assumption and a range  
20 of values going forward to see how it affects the model.  
21 In the event, it probably -- well, anyway, yes.

22 Q. Well, he has more than halved his efficacy estimate, and  
23 even taking that large haircut, he is still within the  
24 £20,000 to £30,000 threshold.

25 A. Yes.



1 Q. You heard the evidence today put to Dr Skedgel that both  
2 of the medical experts agree in a third-line setting  
3 that the efficacy is around --

4 A. Ballpark, yes.

5 Q. -- 5%, ballpark. Now, on that basis, his base case of  
6 6.85% is in the ballpark.

7 A. Yes, yes. I would still want to see it addressed more  
8 formally, I suppose, would be my position.

9 Q. Well, he has undertaken a dramatic haircut, and he is  
10 still within threshold.

11 A. Mm-hmm.

12 Q. Now, his 2.9% haircut is equivalent to a 95% reduction  
13 in efficacy between first line and third line, whereas  
14 his proportionality assumption, in the base case, was an  
15 88% reduction. So, again, on proportionality he has  
16 applied a significant sensitivity to his analysis to  
17 keep him within threshold.

18 A. On the proportionality assumption?

19 Q. Yes.

20 A. By dropping the efficacy down to that level?

21 Q. Yes.

22 A. So what I would like to see, and maybe I have not  
23 explained it well enough, there is -- and what I think  
24 the structural uncertainty that NICE would like to  
25 see -- I am not saying they always get this -- would be

1 to say: well, how does that affect your underlying model  
2 and then, within that underlying structural change, show  
3 us the probability sensitivity analysis on top of that.

4 Q. Why have you not done that if it is so wonderful?

5 A. Because, as I have said, it was not in my instructions.  
6 My instructions were to see whether the model that was  
7 produced by Dr Skedgel was in line with the NICE  
8 methods.

9 Q. So you are content to throw rocks and not give an  
10 alternative?

11 THE PRESIDENT: Mr O'Donoghue, we have had that question  
12 before.

13 A. And I think I answered it before.

14 MR O'DONOGHUE: Now the next point you make is at 51  
15 {XE6/6/16}, if you read that paragraph. (Pause)

16 I am sure it is just me, but --

17 A. What am I looking at now?

18 Q. Paragraph 51, Professor.

19 A. Oh right.

20 Q. It may be my fault, but I read this as saying Dr Skedgel  
21 did not conduct a PSA in his first report and then the  
22 fact that he did it in his second report does not change  
23 his original conclusion or your original conclusion that  
24 his results are not robust.

25 Are you saying anything more than that?

- 1 A. Am I saying more than that these conclusions --
- 2 Q. That he did not do a PSA, now he has done one, and you  
3 are still not content; is that all you are saying there?
- 4 A. Yes, because, as we have heard earlier today, the range  
5 of sample uncertainty aids the decision-maker and when  
6 Dr Skedgel does undertake a PSA, he finds that the mean  
7 value around that sensitivity analysis is that phenytoin  
8 is not cost effective relative to pregabalin and there  
9 is a huge range of uncertainty in that mean expected  
10 value of the ICER.
- 11 Q. Now, the next point you make is at 52 {XE6/6/17}. You  
12 say, and I quote:
- 13 " ... Dr Skedgel appears to suggest that information  
14 from a PSA on uncertainty is somewhat inconsequential."
- 15 Where does Dr Skedgel say that?
- 16 A. So at some point -- and I would have to re-read the  
17 documents in the submission around the PSA -- he says  
18 something about how although his net monetary benefit  
19 value is negative, it is close enough to his  
20 deterministic value as not to change his opinion.  
21 I would argue that it should have changed his opinion  
22 because it does show that it is now flipped from being  
23 cost effective relative to pregabalin to being  
24 a position where at least centred around the sensitivity  
25 analysis it no longer is.

1 Q. So as you say Dr Skedgel says the upshot of his analysis  
2 is that the expected values derived from the  
3 probabilistic results are consistent with his original  
4 deterministic results?

5 A. Yes, that is what -- where is that? That is not on the  
6 page that I am looking at, but that is at the end of his  
7 conclusions.

8 Q. I think that is what you just said.

9 A. Yes. That is as I recall it.

10 Q. Now, if we go to Briggs, the White Book, {XF3/71/2},  
11 please.

12 If you can look at page 194, if you look at the  
13 summary at the bottom of the page and then over the  
14 page, please. (Pause)

15 I think, Professor, what they are saying here is  
16 there are two separate but related decisions, one  
17 whether to adopt a recommended technology and two,  
18 whether to invest in research that could reduce  
19 uncertainty in the future, and then they state, and  
20 I quote:

21 "The first type of decision (in the absence of  
22 serious concerns of reversibility) should be made on the  
23 basis of expected values in order to minimise  
24 opportunity costs."

25 Now, I would suggest to you that Dr Skedgel's

1 analysis, and especially his PSA, shows that all  
2 comparators are similar with respect to costs and  
3 outcomes, and, therefore, it would be hard to argue that  
4 there is much possibility of phenytoin being  
5 substantially worse than pregabalin.

6 So Dr Skedgel's approach, I would suggest, is at  
7 least a reasonable one and indeed on the basis of the  
8 White Book is in fact the recommended one.

9 A. Do I agree with that or --

10 Q. Yes.

11 A. No, I disagree, and I disagree on two levels: one is on  
12 the modelling that Dr Skedgel has undertaken and what  
13 you have just said, that there is no difference between  
14 the costs and the outcomes, he shows that there is.  
15 There is a dominance in cost effectiveness across  
16 a range of the other -- a range of the other medicines  
17 according to his modelling, so I think that is a wrong  
18 statement.

19 The second reason I disagree is that this is -- so  
20 this summary, this conclusion, is based on something  
21 called the expected value of perfect information which  
22 is taken from financial economics and imposed on health  
23 economics about decision-making, and it is a completely  
24 different way of looking at uncertainty, it says  
25 basically you should look at the uncertainty associated

1 not only with the inputs to any decision but also the  
2 outcomes that flow from that decision, so the outcomes  
3 are part and parcel of that uncertainty calculation,  
4 whereas with PSA and scenario analysis, you are just  
5 looking at the impact that differential inputs have on  
6 the outcomes.

7 NICE actually looked at expected value of perfect  
8 information in a taskforce in 2020, and their conclusion  
9 was they did not see how it could be used at this point  
10 in time within NICE assessments.

11 Q. Well, let us go back to your position paper at 53  
12 {XE6/6/18}. You see in paragraph 53 in the fourth line  
13 you say the deterministic and probabilistic assessments  
14 are, and I quote "close". Now, we have already seen in  
15 Claxton's paper The Irrelevance of Inference where he  
16 suggests that conventional statistical inference levels  
17 of 95% confidence are not the correct approach and that  
18 a 50 plus 1% is a perfectly acceptable basis for  
19 decision-making?

20 A. Do I agree or disagree?

21 Q. Yes.

22 A. That is what he says, but to elaborate on that, my  
23 instruction was: does the modelling that is undertaken  
24 by Dr Skedgel uphold the standards of the methodology  
25 which would be adopted by NICE. The Claxton 1997 paper

1 which you showed me and the Andy Briggs chapter which  
2 you showed me is about -- is talking about expected  
3 perfect value of -- the value of -- expected value of  
4 perfect information, and in 2020, the NICE taskforce  
5 said they did not see how that could affect their  
6 methodologies, so it is outside of my remit of  
7 criticisms because I am only really looking at whether  
8 Dr Skedgel's methodological approach was consistent with  
9 the NICE methodology as it stands and as it will do for  
10 the foreseeable future given that they have ruled out  
11 expected value of perfect information as an approach to  
12 dealing with uncertainty.

13 Q. But we have seen extensively in the 2012 guidelines in  
14 2022 that even in the complete absence of any clinical  
15 evidence, never mind clinical evidence passing  
16 statistical inference levels of power, NICE was  
17 perfectly content to make a decision to recommend an AED  
18 in that context, and the standards you are putting  
19 forward for Dr Skedgel are utterly disconnected from the  
20 real world, I would suggest to you.

21 A. No, I do not think so, because if you take the 2020  
22 guidelines that you have just referred to, they also  
23 found that it was a negative net monetary benefit, but  
24 they said that within that guidance, having seen that  
25 the cost effectiveness was not upheld, they still

1           said: let us go ahead with phenytoin, and --

2           Q. That is my very point.

3           A. But they did that not on cost effectiveness grounds, is  
4           my point, they did it on a wider set of criteria  
5           primarily led by the clinicians.

6           Q. Indeed, because they want to make a decision.

7           A. But not on cost effectiveness grounds.

8           Q. Well, they essentially binned cost effectiveness?

9           A. They essentially did, yes. You are not saying of course  
10          that we should bin Dr Skedgel's --

11          Q. No, I am not saying that.

12                 Now, one final point, I see the time, at  
13          paragraph 54 {XE6/6/18}, you say, I quote:

14                 "... NICE 2022 guideline is based on a wider set of  
15          comparators and places ... phenytoin within a wider  
16          sequential treatment pathway, while the Skedgel  
17          submissions only consider part of the pathway,  
18          third-line comparators alone."

19                 Now, we know and we have known for many years that  
20          phenytoin is only used in a third-line setting, so it  
21          was therefore entirely appropriate for Dr Skedgel to  
22          consider his model in the context of the third line  
23          alone.

24                 To put it another way, you are not seriously  
25          suggesting that he should have compared phenytoin



1           against other first or second-line AEDs, are you?

2           A. No, I am not, but where you use it and how you use it in  
3           therapy affects the population at risk, and I think the  
4           NICE guidance gives a better overview of that transition  
5           from first to second to third-line than just taking the  
6           third-line out as an abstraction.

7           Now, that may be a moot point, it might still be the  
8           same at-risk population that you end up with, I do not  
9           know.

10          Q. But we are only looking at third-line patients?

11          A. And NICE was saying: okay, let us look at treatment  
12          failure in the whole to see how we get to that  
13          population at risk by the time there are third-line  
14          therapies coming into play.

15          Q. When it came to phenytoin, they only compared it to  
16          other third-line drugs. That is the point?

17          A. So I am saying that the NICE guidance and cost  
18          effectiveness is more comprehensive in a sense than just  
19          taking the abstraction.

20          Q. My point is: well, so what, we are only interested in  
21          third-line?

22          A. Different comparators first of all.

23          Q. The final point -- this is my last question, sir -- now,  
24          you have been keen to emphasise more than once that  
25          there is a guideline threshold of, you say, £20,000 to

1           £30,000. We disagree on that. Now, let us look at the  
2           statistics on approvals and rejections. If we go to  
3           {XF3/36/1}, we see at the top, it is the paragraph  
4           starting "Cost-effectiveness", it says:

5                     "Cost-effectiveness alone ... predicted 82% of  
6           decisions ... There was no evidence that the threshold  
7           has changed significantly over time. The model with the  
8           highest prediction accuracy suggested that technologies  
9           costing £40,000 per [QALY] have a 50% chance of ...  
10          rejection (75% at £52,000...25% at £27,00/QALY)."

11                    So to put it another way, on these data, there is  
12          a 75% chance of approval below £27,000. Do you see  
13          that?

14          A. 25%, is it not?

15          Q. Yes.

16          A. Yes.

17          Q. That is the rejection, so the approval rate therefore is  
18          75.

19          A. Oh, the approval, sorry, yes.

20          Q. Now, we know Dr Skedgel's base case, all of them are  
21          below 20,000. When he halves his efficacy estimate he  
22          is between 20 and 30, and on the basis of these data,  
23          the cost-effectiveness assessment had a 75% chance of  
24          approval at those thresholds.

25          A. So this gets back to the point that my learned counsel

1 put earlier and put up that figure where it said that  
2 even under certain circumstances where they are well  
3 within the threshold of £20,000, they may get -- as an  
4 individual study, they may get rejected in terms of  
5 meeting NICE guidance.

6 So I think in broad aggregate, you could tell me  
7 that this is true. As to whether it is true for  
8 a specific study, I would have to look at it and I would  
9 come to my conclusions and, having looked at a specific  
10 study put forward by Dr Skedgel in terms of whether it  
11 is consistent with NICE guidance I would say: well,  
12 actually, I would want slightly more information and  
13 data to be provided before I could conclude whether or  
14 not it is.

15 MR O'DONOGHUE: Well, we can see the aggregate data, they  
16 say what they say.

17 Sir, I have no further questions.

18 THE PRESIDENT: Thank you very much, Mr O'Donoghue.

19 A. Thank you.

20 THE PRESIDENT: We will have some re-examination. We are  
21 going to rise for five minutes because we need to make  
22 a call regarding arrangements running past 5.00. We  
23 will not run very far past 5.00, but a call does need to  
24 be made, so we will rise for five minutes.

25 (4.57 pm)

1 (A short break)

2 (5.00 pm)

3 THE PRESIDENT: Thank you very much, Ms Morrison. Over to  
4 you. Oh I am sorry, we have lost the witness. Well,  
5 that is entirely understandable. It has been a long  
6 afternoon.

7 MR HOLMES: While we have this brief intermission, may  
8 I raise one point of housekeeping?

9 THE PRESIDENT: Yes, indeed.

10 MR HOLMES: It just concerns the timing of written closing  
11 submissions.

12 THE PRESIDENT: Yes.

13 MR HOLMES: There is provision in your order  
14 from November 2022 for them to be provided on Friday  
15 afternoon of next week, but it occurs to the CMA at  
16 least that that may not give you sufficient time to read  
17 and digest three sets of written closings and we, for  
18 our part, would also quite like some time to see the  
19 other parties' written closings further in advance of  
20 oral closing submissions.

21 With that in mind, we wondered whether the Tribunal  
22 would prefer to have written submissions earlier in the  
23 week, perhaps on Thursday, but we are very much in your  
24 hands.

25 THE PRESIDENT: Is there an agreed position in terms of the

1 timing?

2 MR HOLMES: We have briefly canvassed with counsel, but  
3 I think there is a sort of diversity of views. I am not  
4 quite sure where everyone has got to.

5 MR BREALEY: The CMA have not contacted me. I would be very  
6 against a Thursday cut-off. The CMA has a team doing  
7 their submissions, Ms Stratford has a team doing the  
8 submissions. We will be doing the submissions.

9 THE PRESIDENT: This is obviously controversial. We will  
10 finish with Professor McGuire.

11 MR HOLMES: Sorry, I did not mean to --

12 THE PRESIDENT: Not at all.

13 Professor, we are in the final stretch. I will pass  
14 you over to Ms Morrison.

15 A. Thank you.

16 Re-examination by MS MORRISON

17 MS MORRISON: I think everyone will be relieved to know that  
18 I only have two re-examination questions.

19 The first one: there was a discussion about  
20 *Hydrocortisone* and what your instructions were on that,  
21 and you referred in your answer I believe to a passage  
22 earlier in your position paper but we did not go to it.  
23 I wanted to check that we have the right paragraph that  
24 you were trying to refer to. If we could go to  
25 {XE6/6/4}, paragraph 13, if we could go over the page to

1 the next page. You are basically setting out there the  
2 issues in disagreement. Is it the final passage?  
3 Essentially there is a quote in the middle of this  
4 incomplete paragraph, and then you go on to explain what  
5 you have been asked to consider in respect of the  
6 *Hydrocortisone* judgment. Is that what you were  
7 referring to in terms of your instructions?

8 A. Yes, yes.

9 Q. Professor McGuire, the last one is you were taken  
10 through quite a long list of the abnormalities of  
11 competition in the pharmaceutical market, so I just  
12 wanted to ask you is there any competition in the  
13 pharmaceutical market?

14 A. Well, there is -- yes. For generics, yes, for sure;  
15 branded generics, against generics, yes, but with some  
16 product differentiation, and some would argue even in  
17 the patented drug market because the patent holds for  
18 the chemical entity, and you might get similar chemical  
19 entities, and, therefore, there is some competition  
20 there.

21 MS MORRISON: Sir, that was everything I had to ask.

22 THE PRESIDENT: I am very grateful. Professor, thank you  
23 very much for your time and your evidence. You are  
24 released from the witness box with our thanks.

25 THE WITNESS: Thank you very much.

## 1 Housekeeping

2 THE PRESIDENT: Before we go to timing of closings, we have  
3 a document to circulate regarding the structure of  
4 closings which we will hand round and I will give you  
5 a brief explanation as to what it is intended to do and  
6 how it hopefully will assist us.

7 We are not expecting comments on this. What it is  
8 essentially is a running order or structure of closings  
9 to assist us. It obviously has a structure which is  
10 based on how we presently view not so much the answers  
11 to the case, we are very far from that, but how we would  
12 like the parties to present their submissions so that we  
13 have a degree of equivalence between what everyone is  
14 saying.

15 Now, we do not want to impose a straitjacket, so you  
16 should feel free to put forward a different approach if  
17 that is how you feel you can best serve your clients'  
18 interests, but we would want an articulation as to why  
19 it is you feel that the questions we have set out are  
20 better addressed -- that is to say better for us to  
21 reach an outcome -- so that we can understand the  
22 difference of views.

23 We have tried to set something out which will enable  
24 everyone to present their case in the best and strongest  
25 way. If this structure does not work for you, then we

1 see real value in understanding from you why it does not  
2 work before you go through and do it in a different way.

3 So if there is tension with this structure then feel  
4 free to go down your own route, you are very welcome to,  
5 but we would like to know why because it will I think  
6 assist us in understanding where the parties are coming  
7 from.

8 Pushback on this is welcome. The reason it has been  
9 done in this way is because there is a tendency for each  
10 party to plough their own furrow and for us to be faced  
11 with, as it were, submissions both written and oral  
12 which do not engage with themselves but which pass like  
13 ships in the night, and that in our view makes our job  
14 harder and not easier.

15 So it is in that spirit that this document is handed  
16 down, but it is something that has been created at  
17 a very brisk pace and you should take it with that  
18 health warning in mind as well as what I have said  
19 otherwise.

20 Now, turning to the question of timings, if the  
21 order indicated a date of Friday we do not think that it  
22 is right to impose a different order now if it is not  
23 agreed, and clearly it is not agreed, so we will stick  
24 with what has been ordered.

25 If it is possible to produce the documents sooner,



1           then we will read them with pleasure sooner, but that is  
2           no more than an indication that we would be assisted by  
3           that, because of course we are confining our reading to  
4           over the weekend and weekends should not be solely  
5           devoted to the reading of closing submissions no matter  
6           how interesting they might be. So we are not going to  
7           say anything more than that.

8           I am reminded that we might impose a length limit.

9           I do not know if we have or not.

10          MR HOLMES: I do not believe there is one at present, sir.

11          THE PRESIDENT: I frankly am not keen on page limits. You  
12           all know that we will read shorter submissions twice or  
13           perhaps three times. If they are long, then they will  
14           only get one reading because that is all we are going to  
15           have time to do. So I leave it to your good judgment as  
16           to how you present matters and how you expand things  
17           orally. That is a matter for your discretion.

18           Before we rise and I adjourn into Monday week, is  
19           there anything else that we need to deal with by way of  
20           housekeeping?

21          MR HOLMES: Not from our perspective, sir.

22          THE PRESIDENT: Mr Brealey, of course.

23          MR BREALEY: Would we be dealing with -- looking at the (a)  
24           to (i), would you want us to do all the law within any  
25           particular -- whether it is case 2 or case 3?

1 THE PRESIDENT: I think the law is built in there --

2 MR BREALEY: Right.

3 THE PRESIDENT: -- but, Mr Brealey, please do not treat this

4 as a more sophisticated document than it actually is.

5 It is something that has been put together with some

6 rapidity in light of our thinking having just concluded

7 the evidence. We felt that it needed to be produced now

8 rather than in a couple of days time because of course

9 you will already have been doing some writing and the

10 writing is really about to begin now. So I am not going

11 to expand on this any further.

12 MR BREALEY: No.

13 THE PRESIDENT: Infelicities in this document, they are

14 bound to exist. It is simply intended as a way for you

15 to help us, so it should be taken in that spirit.

16 MR BREALEY: No, I can see the law could be in a particular

17 section.

18 THE PRESIDENT: It could be in a number of places.

19 MR BREALEY: Yes.

20 THE PRESIDENT: We really do not want to cramp your style.

21 What it is is a means of ensuring that we have a kind of

22 parity in terms of the way things are addressed so we

23 can compare and contrast submissions and understand

24 where your points are located. It is no more than that.

25 MR HOLMES: Sir, just one very brief request: would it be

1           possible to have this circulated in soft copy so that we  
2           can get it quickly round our respective teams?

3           THE PRESIDENT:   Indeed, we will do that when we rise.

4           MR HOLMES:   I am grateful.

5           THE PRESIDENT:   Unless there is anything more, thank you  
6           very much for all your efforts on the evidential front.  
7           We will resume at 10.30, I think -- is that right? -- on  
8           Monday?   10.30 on Monday.

9           MR HOLMES:   I believe so.

10          THE PRESIDENT:   Thank you very much.

11          (5.11 pm)

12                           (The hearing adjourned until 10.30 am on  
13                           Monday, 11 December 2023)

14

15

16

17

18

19

20

21

22

23

24

25