

This Transcript has not been proof read or corrected. It is a working tool for the Tribunal for use in preparing its judgment. It will be placed on the Tribunal Website for readers to see how matters were conducted at the public hearing of these proceedings and is not to be relied on or cited in the context of any other proceedings. The Tribunal's judgment in this matter will be the final and definitive record.

IN THE COMPETITION

Case No: 1435/5/7/22 (T)

APPEAL
TRIBUNAL

Salisbury Square House
8 Salisbury Square
London EC4Y 8AP

Tuesday 1st October – Tuesday 29th October 2024

Before:

Justin Turner KC
Sir Iain McMillan CBE FRSE DL
Professor Anthony Neuberger

(Sitting as a Tribunal in England and Wales)

BETWEEN:

Claimants

Stellantis Auto SAS & Others

V

Defendants

Autoliv AB & Others

A P P E A R A N C E S

Colin West KC & Sean Butler (Instructed by Hausfeld) On Behalf of the Claimants.

Sarah Ford KC & Prof. David Bailey (Instructed by Macfarlanes) On Behalf of the Sixth to Tenth Defendants.

David Scannell KC & Derek Spitz (Instructed by White & Case) On Behalf of the First to Fifth Defendants.

Monday, 14 October 2024

(10.30 am)

THE CHAIRMAN: Some of you are joining us live stream so I must start with a warning. An official recording is being made and an authorised transcript will be produced. It is strictly prohibited for anyone else to make an unauthorised recording, whether audio or visual, of the proceedings and breach of that provision is punishable as a contempt of court.

Right, I think we are going to start with the hot-tubbing today, unless there are any other matters you need to address?

Housekeeping

MR WEST: There is only one point of housekeeping and that is that the Tribunal should have seen that the new supplemental joint expert report was filed on Thursday --

THE CHAIRMAN: Yes.

MR WEST: -- dealing with the additional matters raised in the exchange of recent notes. That is at tab 17 of the E1 bundle {E1/17/1}.

THE CHAIRMAN: Yes, we have seen that, thank you very much. That is very helpful.

MR WEST: I am also happy to be able to confirm that Mr Hughes has confirmed to me that the contents of that

1 joint supplemental expert report taken together with
2 the proposed agreement between the parties about part
3 numbers covers all of the contents of his most recent
4 note. So if the Tribunal has read the recent
5 supplemental joint expert statement, it does not have to
6 separately read that note.

7 THE CHAIRMAN: So we will start with the hot tub. Obviously
8 this is not cross-examination, this is the Tribunal
9 exploring the issues, and then, as we have indicated,
10 there will be an opportunity for a short period of
11 cross-examination afterwards. Shall we invite
12 the witnesses?

13 MR WEST: Yes, I call Mr Hughes and Dr Majumdar.

14 MR MAT HUGHES (affirmed)

15 THE CHAIRMAN: I was not proposing to make a ruling on which
16 chairs you sit in, I will leave it up to you to ...

17 DR ADRIAN MAJUMDAR (affirmed)

18 THE CHAIRMAN: Thank you. Please both take a seat.

19 MR WEST: Can we get the witnesses to confirm their reports?

20 THE CHAIRMAN: Yes, do you want to do your witnesses and ...

21 Examination-in-chief of MR HUGHES by MR WEST

22 MR WEST: Mr Hughes, could you be shown tab 2 of bundle E1
23 {E1/2/1}. Is that your first report in this matter?

24 A. Yes.

25 Q. If you look at page {E1/2/117} of that document, is that

1 your signature?

2 A. Yes, it is.

3 Q. Could you now turn to {E1/4/1} of the bundle. Is that
4 your reply report in this matter?

5 A. Yes, it is.

6 Q. If you look at page {E1/4/117} of that document, is that
7 your signature?

8 A. Yes, it is.

9 Q. You have also prepared a number of additional notes and
10 calculations in this case, so could we just run through
11 those.

12 The first is at tab 1 {E1/1/1}.

13 (Pause).

14 Tab 1 should be the first page of the bundle after
15 the index.

16 A. Yeah.

17 Q. Have you got that?

18 A. Yes, I have, thank you.

19 Q. Is this a note that you have prepared on the economic
20 estimation of price overcharge in December 2023?

21 A. Yes.

22 Q. Now, if you look now at {E1/13/1}.

23 (Pause).

24 Page -- sorry. Tab 13, so they are not separate
25 page numbers in the bundle.

1 A. Sorry, it doesn't seem to be in here.

2 THE CHAIRMAN: Have you got a tab 13?

3 Can someone just help Mr Hughes with his bundle,
4 just check it is all there.

5 A. I'll borrow Dr Majumdar's. That's fine. Thank you.

6 THE CHAIRMAN: We need to get this sorted out before we ...

7 Have you got -- if someone could just come and help
8 Mr Hughes with his bundle.

9 A. My apologies.

10 THE CHAIRMAN: Can you see what he has in tab 13, or if he
11 has a tab 13.

12 A. Ah, yes. Yes, I do. I do, thank you. Sorry, my
13 apologies.

14 THE CHAIRMAN: Okay. All right, thank you very much.

15 MR WEST: Is this the joint expert statement dated
16 13 September 2024?

17 A. Yes, it is.

18 Q. Over the page, on {E1/13/2}, is that your signature?

19 A. Yes, it is.

20 Q. Mr Hughes, when I ask you to confirm your evidence later
21 on, I am only asking you to confirm the part of this
22 statement that you wrote, so the column which
23 says "Mr Mat Hughes's opinion ..."

24 Could you then look at {E1/14/1}. Do you have that?

25 A. Yes, I do.

1 Q. Is this a further note you prepared on 23 September in
2 this matter?

3 A. Yes, it is.

4 Q. Again, with your signature on {E1/14/12} of that tab?

5 A. Yes, it is.

6 Q. If you then look at {E1/16/1}, is that an additional
7 note you prepared, this time on 10 October?

8 A. Yes, that is.

9 Q. At {E1/16/7} again, is that your signature?

10 A. Yes, it is.

11 Q. If you look now at {E1/17/1} ...

12 THE CHAIRMAN: Mr Hughes, have you not got a tab 17?

13 A. I have something different in my tab 17.

14 THE CHAIRMAN: Okay.

15 Can we -- before we start, can we just get this
16 sorted out?

17 A. Or Dr Majumdar and I can share it.

18 THE CHAIRMAN: Well, no, I would rather you had your own --

19 A. Sure.

20 THE CHAIRMAN: -- version.

21 (Pause).

22 (Handed).

23 So I think we are looking for tab 17. Have you got
24 that?

25 A. Yes, I've got tab 17.

1 MR WEST: Again, does that bear your signature on the second
2 page {E1/17/2}?

3 A. Yes.

4 Q. At {E1/18/1}, do you have a document setting out
5 the calculation of overcharges, but including a row for
6 standard errors?

7 A. Yes, I do.

8 Q. Then, I am not sure if you will have this yet, at tab 20
9 {E1/20/1}, because I am not sure it has yet been agreed,
10 but if not, can I hand up the document. This is your
11 recent calculation of the damages under the spillover
12 claim.

13 Does the Tribunal have this document?

14 THE CHAIRMAN: I have got it "intentionally left blank".

15 MR WEST: Mr Hughes was asked to recalculate the spillover
16 claim and has done so, but I believe the position at
17 the moment is that the Defendants have not yet consented
18 to it going in the bundle, but I would like him to
19 confirm it as part of his evidence, if we have another
20 copy of it somewhere.

21 THE CHAIRMAN: Perhaps we can get that -- we are not going
22 to refer to it in the first hour or so, can we get that
23 sorted out during the short adjournment?

24 MR WEST: Yes.

25 THE CHAIRMAN: Sorry, the, you know, five-minute adjournment

1 at some point.

2 MR WEST: Certainly.

3 THE CHAIRMAN: But we must remember to go back and get that.

4 MR WEST: Mr Hughes, bearing in mind the point I mentioned,
5 that when I talk about the joint experts' statement I am
6 only asking about your column of it, do those documents
7 set out your true and complete professional opinion in
8 relation to the matters in this case on which you have
9 been instructed to provide an opinion?

10 A. Yes, they do.

11 MR WEST: Thank you.

12 Examination-in-chief of DR MAJUMDAR by MS FORD

13 MS FORD: Dr Majumdar, can we look at {E1/6/1}, please.

14 A. Tab 6, yes.

15 Q. Is that your expert report in these proceedings?

16 A. It is.

17 Q. If we go within that to {E1/6/94}, please.

18 A. Yes.

19 Q. Is that your signature?

20 A. It is.

21 Q. If we look, please, at {E1/12/1}, please.

22 A. Yes.

23 Q. Is that the note that you prepared on RFQ dates?

24 A. Yes, it is.

25 Q. Then {E1/13/1}, please.

1 A. Yes.

2 Q. You should have there the joint expert statement which
3 you prepared jointly with Mr Hughes; is that right?

4 A. Yes.

5 Q. Within that, {E1/13/2}, please.

6 A. Yes.

7 Q. Is that your signature?

8 A. It is.

9 Q. Then {E1/15/1}, please.

10 A. I have it.

11 Q. Is that your second note on by-platform sensitivity
12 testing?

13 A. It is.

14 Q. Then {E1/17/1}, please.

15 A. Yes.

16 Q. You should have there the supplemental joint expert
17 statement that you prepared with Mr Hughes?

18 A. I do.

19 Q. Then {E1/17/2} within that, please?

20 A. Yeah.

21 Q. Is that your signature?

22 A. It is.

23 Q. Then, finally, {E1/19/1}, please.

24 A. I have it.

25 Q. Is that the table of standard errors that you have

1 prepared?

2 A. It is.

3 Q. Same caveat, that when I ask you about the joint expert
4 statement and the supplemental joint expert statement,
5 I am only asking you in relation to your column that you
6 completed.

7 Insofar as your expert reports consist of facts, are
8 they true to the best of your knowledge and belief?

9 A. Yes.

10 Q. Insofar as your expert reports consist of opinions, do
11 they represent your true and complete professional
12 opinions on the matters to which they refer?

13 A. Yes, they do.

14 MS FORD: Thank you.

15 PROFESSOR NEUBERGER: Thank you.

16 The purpose of this session is to help the Tribunal
17 understand the conclusions that can be properly drawn
18 from the econometric evidence concerning the existence
19 and the level of any overcharge. I am keen to use
20 the hot tub as a way of exposing and contextualising
21 the issues at stake in a way that is comprehensible to
22 everyone. So far as is possible, I hope to avoid going
23 down rabbit warrens where only economists can follow.

24 I should also state my appreciation of the work that
25 the experts have shown and the degree to which they have

1 avoided nit-picking and concentrated on the main issues
2 between them, and the two joint memorandums I found
3 extremely useful in highlighting the issues at stake, so
4 I record my gratitude for that.

5 The joint expert memorandum has an overview that
6 highlights the main areas we will be concerned with in
7 this, the first of two sessions in the hot tub. What it
8 says is {E1/13/3}:

9 "The experts agree that an overcharge relating to
10 a given theory of harm can be estimated using a before,
11 during and after multivariate regression model and that
12 reasonable sensitivity tests are important ...
13 A fundamental disagreement between them relates to
14 whether the various sensitivities emphasised in AM1 ..."

15 That is Dr Majumdar's first report:

16 "... are reasonable tests of the robustness of
17 the results reported in [and reference to Mr Hughes'
18 first two reports]. This is a highly material
19 issue ..."

20 The rest of that section on overcharge also mentions
21 the disputed issue of the extent to which any
22 overcharges found in the PSA data -- I remind people
23 that the econometric evidence is entirely related to PSA
24 data -- the extent to which the evidence of any
25 overcharges found in the PSA data should also be assumed

1 to apply to VO and FCA.

2 My impression on this latter issue is that
3 the economic experts have said all that they have got to
4 say on the subject and there is little benefit in
5 returning to the issue in the hot tub. But let us leave
6 that to the end of the session and we can return to it
7 if the economic experts disagree with my assessment and
8 feel there is more that could usefully be done on
9 the subject in the hot tub.

10 I want to start the hot tub by getting the experts
11 to explain the common methodology which they are using
12 before going on to look at the differences.

13 Questions from THE TRIBUNAL

14 So BDA analysis, before/during/after analysis,
15 Mr Hughes, could you kind of explain how that would
16 work, and can you do it in a simple context, simpler
17 than what we have got here, the context where we know
18 when any cartel, which may or may not be operating, was
19 operating. There is no dispute about dates.

20 Secondly, it is simpler in another dimension. In
21 the current case, all the transactions, individual
22 transactions, are parts of long-term contracts which
23 originally signed and then prices are amended over time,
24 so the individual transactions are part of a stream of
25 transactions. That complicates matters, as we will see,

1 but I want to concentrate on the simpler case where each
2 transaction is separate; the classic case, if you like.

3 So if you could explain, Mr Hughes, how that works
4 and what you can learn from BDA analysis, if I call it
5 that.

6 MR HUGHES: So what you're trying to do, in essence, is
7 compare prices during the cartel period with prices
8 outside the cartel period, so that's the central piece
9 of analysis that you do, and the purpose of
10 the econometric analysis is to control for other things
11 that affect prices. So they're the core building
12 blocks, and in essence, what the before/during analysis
13 does is it puts what's called a dummy variable which is
14 only switched on during the cartel period and you try
15 and assess the size of the coefficient on that dummy
16 variable and whether it's statistically significant. If
17 that dummy variable is statistically significant then
18 you may conclude -- subject to the model being properly
19 specified, you may conclude that prices were higher
20 during the cartel period controlling for other matters
21 that affect prices.

22 PROFESSOR NEUBERGER: Do you have any comment on that,
23 Dr Majumdar?

24 DR MAJUMDAR: I agree with Mr Hughes' explanation. I would
25 emphasise the point that you rightly said, sir, which

1 was this assumes that we know already what
2 the infringement period is, and as Mr Hughes says, that
3 the model is properly specified, in which case then we
4 can place some weight on the results that come out.

5 PROFESSOR NEUBERGER: So the -- Mr Hughes, you mentioned
6 the significance of the coefficient on the dummy
7 variable, that is the overcharge. Can you distinguish
8 how you would use this to tell whether there is an
9 overcharge and also what the size is? In other words,
10 the two distinct questions of existence and quantum.

11 MR HUGHES: So what you would do is you would look at
12 the coefficient and you would test whether that
13 coefficient is statistically different from zero, and
14 there's a whole series of conventions you can apply to
15 attest whether it's statistically different from zero
16 and apply a threshold level and that's the essence of
17 the test.

18 PROFESSOR NEUBERGER: That goes to existence?

19 MR HUGHES: That goes to existence.

20 PROFESSOR NEUBERGER: And then the size of it goes to
21 quantum?

22 MR HUGHES: Yes.

23 PROFESSOR NEUBERGER: So these are distinct issues you might
24 address, one is existence and the other is quantum; is
25 that -- that's fair?

1 DR MAJUMDAR: Sir, can I just be clear, are we talking about
2 the existence of an overcharge as opposed to
3 the existence of an infringement? We're taking it as
4 given that there is an infringement and then we're
5 trying to understand, was there an overcharge, and if
6 so, how much? Can I just be clear that that's
7 the question, sir?

8 PROFESSOR NEUBERGER: All right. I was thinking if there is
9 no clear evidence of -- there is no compelling evidence
10 of an infringement, so you are using the data in this
11 analysis to evidence both the infringement and the size
12 of an infringement. So I think you have a comment on
13 that?

14 DR MAJUMDAR: So, in that case, I think it's extremely
15 difficult to do both. So, in my opinion, one would take
16 a factual starting point, which is, "Is there an
17 infringement or not", and what is the suspected time
18 period. So the Tribunal would take a view, "We think
19 there's an infringement and we think the infringement
20 period is X", and then, given that information, we can
21 set up the model to test whether, during period X, when
22 the Tribunal considers there is an infringement, there
23 is evidence of an overcharge, and if so, what
24 the magnitude is.

25 So I think one has to have this ex-ante factual

1 basis for setting up the regression. I don't think that
2 we can test both the existence of an infringement and an
3 overcharge at the same time.

4 PROFESSOR NEUBERGER: It means that you are saying that you
5 cannot use this sort of data to establish the -- to
6 confirm the existence of an infringement? Let me get
7 you right on that.

8 DR MAJUMDAR: I think it's very difficult to do that.
9 I think there has to be a basis first. So, to --
10 perhaps I can explain it with an example.

11 PROFESSOR NEUBERGER: Sure.

12 DR MAJUMDAR: So I've presented, if you like, starting
13 points for thinking about overcharge. You can think
14 about overcharge if the Tribunal considers that there is
15 an infringement in what Mr Hughes has called "the early
16 period" and "the main period", I've given you some
17 overcharges that you can take as a starting point to
18 look at. Or if the Tribunal thinks, "Actually we don't
19 think there's an early period effect, we think there
20 might be something going on in the main period", then
21 I've given you some starting points for looking at
22 the overcharge where you can assess whether you think
23 there's a broad main period or a narrow main period
24 that's just OSS 2, for example, and then you can look at
25 the overcharges that come out.

1 So my point would be that one has to start off with
2 a basis for expecting an infringement in any given
3 period and only then the econometrics can tell you
4 something about whether there might be an overcharge
5 and, if so, how much.

6 PROFESSOR NEUBERGER: I would be interested in your views,
7 Mr Hughes.

8 MR HUGHES: Sir, I think I would express the issue similarly
9 but slightly different. You're basically looking for
10 a theory of harm, so is there a plausible theory of harm
11 in a particular period of time, and then you would
12 consider the econometric results of the testing for
13 that. But I think it is -- the technique is useful for
14 confirming whether there are, for example, early period
15 effects or not. So where there is uncertainty about
16 whether there is collusion or anti-competitive going on
17 -- behaviour going on, I think testing for that and
18 having the model confirm its presence or absence is
19 useful.

20 PROFESSOR NEUBERGER: I am still not completely clear that
21 if we go into looking at the econometric evidence on
22 the basis that there is a claim that there was a cartel
23 operating between two dates, but those dates are not
24 very precisely defined, are both of you saying that
25 I cannot hope the econometric evidence will provide

1 support or lack of support for that hypothesis?

2 MR HUGHES: My view, sir, is that it will provide support
3 for there being anti-competitive behaviour over that
4 period of time.

5 PROFESSOR NEUBERGER: And Dr Majumdar?

6 DR MAJUMDAR: So my view is, it really depends on how
7 certain the -- one is. So -- so, for example, I would
8 say that one -- if -- if it's completely uncertain as to
9 -- as to when it was, then the econometrics really can't
10 tell you very much because the model will be
11 misspecified. It will -- on the other hand, if there is
12 -- to go back to my example, if the Tribunal thinks
13 there's an early period/a main period effect, not
14 100 per cent sure what it is but it's probably covering
15 that period plus or minus a few months, then
16 the econometrics can shed light on that. Equally, if
17 the Tribunal thinks actually there's probably only
18 something going on to the extent that there is during,
19 for example, OSS main period 2, then the econometrics
20 can shed light on that.

21 But I think if the uncertainty is broader than
22 having a, sort of -- then it's very difficult to
23 allow -- for this econometrics to, if you like, rule in
24 the existence of coordination.

25 PROFESSOR NEUBERGER: Fine.

1 Let us move on from that.

2 THE CHAIRMAN: Do you have any further comment on that?

3 MR HUGHES: No, that's fine.

4 PROFESSOR NEUBERGER: Let us move on from that and look at
5 some of the issues which arise with
6 the before/during/after analysis. There are various
7 references to the omitted variables problem.

8 Dr Majumdar, could you describe what the omitted
9 variables problem is?

10 DR MAJUMDAR: Yes, sir. So, when one runs these
11 before/during/after regressions they have to be properly
12 specified, which means that the variables included in
13 the regression must all be important determinates of
14 the price in this case. So we would need to have
15 granular cost controls which determine the price for
16 each contract and ideally would vary within the contract
17 just to see -- to allow the model to explain how prices
18 move, we would need to understand demand condition to
19 control for the influence of demand, which could go
20 various ways which we can come to perhaps later; we
21 would then need to control for various other factors,
22 time effects, various characteristics of the products
23 and so on. So, essentially, what we're trying to do is
24 we're trying to put in all of the relevant variables to
25 explain the price, and then, having used all of

1 the relevant variables to explain the price, we ask
2 the question: well, is there evidence of an infringement
3 on top of that?

4 If there is a variable missing, then when we put
5 this dummy in for the cartel, what the dummy variable
6 might pick up is it may pick up the existence of
7 a cartel or it may pick up the existence of something
8 that's not in the model and that is an omitted variable.

9 So let me explain that -- I appreciate that's a bit
10 sort of abstract. Let's suppose costs are not properly
11 accounted for in the model and costs are very high
12 during the early period, then when we put in a dummy for
13 a cartel during the early period we might see that
14 the dummy is positive and we might see the effect is
15 large. Do we then assume that that's a cartel effect?
16 Not necessarily, because it might simply be picking up
17 that there's a cost influence that is driving prices,
18 causing them to be higher, that's not actually in
19 the model. That's the problem: when you have omitted
20 variables, you can't distinguish between a cartel effect
21 and effects from other variables not in the model that
22 should be in the model.

23 I appreciate that was a bit abstract. Is that -- is
24 that clear, sir?

25 PROFESSOR NEUBERGER: Mr Hughes?

1 MR HUGHES: So I agree what -- agree with what Dr Majumdar's
2 said in the sense that if you have variables which are
3 correlated with the cartel dummy and those variables are
4 omitted, then it is possible that you have what's called
5 omitted variable bias so that the cartel dummy will
6 capture both the effects of the cartel and those omitted
7 variables.

8 I just want to pick up on three details. The first
9 of those is, Dr Majumdar's indication of all the range
10 of things that need to be included in the model should
11 not be interpreted as a counsel for perfection. There
12 is no perfect econometric model that captures everything
13 in the universe going on. That's the first thing.

14 The second thing is you don't generally know
15 the direction of bias when you have an omitted variable
16 because you need to know what's going on.

17 The third -- third detail is that sometimes these
18 effects are important and sometimes they're not
19 important. So you need to have a reason for believing
20 that what you've omitted from the variable was
21 correlated with the cartel dummy, so Dr Majumdar gave
22 the examples of costs being higher during the cartel
23 period in a way that wasn't otherwise being captured
24 during the model. So -- so it -- so what you've omitted
25 needs to -- you need to believe it would make

1 a difference to the results.

2 PROFESSOR NEUBERGER: Dr Majumdar?

3 DR MAJUMDAR: Thank you, sir.

4 I mean, just to comment on what Mr Hughes has just
5 said. So I agree, of course, that no econometric model
6 can be perfect, but that's not what I said. I said that
7 one has to include the most important determinants of
8 price, of which cost is, I would suggest, clearly one.

9 In terms of not knowing the direction of the bias,
10 that's true, sometimes we don't. Sometimes we can
11 infer, either from theory or from some external
12 evidence, which way the bias would be. But even if we
13 do not know the direction of the bias, there still is
14 a question of how much weight one can put on the results
15 if one is reasonably confident there's some important
16 information missing.

17 So, I don't want to go down the rabbit hole, but
18 just to give an example, if -- we know there is not
19 granular cost data that allows us to work out cost for
20 any particular contract, so I would think that that is
21 an important piece of information a good econometrics
22 model would have. Now, it's no fault of anyone that we
23 don't have it, it's just the data aren't there. But, to
24 my mind, that at least informs us as to how much weight
25 one can put on results when there is ultimately an

1 important variable missing.

2 PROFESSOR NEUBERGER: Can I just understand, because it
3 seems to me self-evident that if you prove, say, that
4 prices on average were 10% higher during 2000 to 2010
5 than they were between 2010 to 2020, that there may be
6 -- there may well be other things to which such a price
7 increase -- price difference could be attributed, like
8 changes in the competitive condition of the parties that
9 -- I do not know, it would be things like COVID and
10 financial crises, that surely it is inevitable with this
11 sort of analysis that the number that comes out of it
12 cannot be firmly and confidently attributed to an
13 overcharge, and the problem seems to be endemic; is that
14 not right?

15 DR MAJUMDAR: That would be my view, sir, yes. I think,
16 unfortunately, the data are not granular enough to
17 control for all those important effects that you've just
18 mentioned.

19 PROFESSOR NEUBERGER: Mr Hughes.

20 MR HUGHES: I'm going to try very hard to answer your
21 question before I sort of comment on Dr Majumdar's
22 response. I think any econometric model -- and I agree
23 with Dr Majumdar on this -- needs to be properly
24 specified as best you can, it's constrained by the data
25 and it gives you the best available answer that you can

1 get. That's all that you can hope for.

2 I also don't think econometric modelling are
3 a substitute for thinking. So you get a result and
4 there will be uncertainties, as Dr Majumdar said.

5 I think the question and area of disagreement between us
6 is, where you do observe patterns of overcharge, do you
7 think they arise by chance, or do you really believe
8 that there's other extraneous factors that the model
9 hasn't captured that are driving those results? I think
10 that's an area of difference between Dr Majumdar and
11 myself, and I'm going to park any comments on the costs
12 variables and other things until -- I assume you're
13 going to come to them.

14 PROFESSOR NEUBERGER: We will come to that, indeed, in more
15 detail. Fine. We will come back to the omitted
16 variables, but I just wanted to clarify whether we are
17 using the term "omitted variables" to mean actual
18 quantities we could put into a regression which are not
19 there, they are identifiable variables which have been
20 omitted from a regression, or are we talking much more
21 generally about all sorts of extraneous factors that are
22 impossible to control for?

23 DR MAJUMDAR: So, in the way I'm talking about it, sir, and
24 the way I focused on it in my reports, I've essentially
25 identified two areas of potential omitted variable bias,

1 namely because the cost controls that we have are,
2 unfortunately, just imperfect, they are very aggregate
3 and do not allow us to look at contract-specific costs,
4 or even within contract costs, and likewise demand
5 variables -- I appreciate we're probably going to come
6 to this, so --

7 PROFESSOR NEUBERGER: Yes.

8 DR MAJUMDAR: In which case, I'll just stop at demand and we
9 can pick it up later.

10 PROFESSOR NEUBERGER: Fine.

11 MR HUGHES: I just want to clarify that when you specify an
12 econometric model you have something called the error
13 term, the bit that's not explained by the model, and all
14 models will have an error term because no model can
15 capture everything in the universe that might affect
16 the dependent variables. So I think the whole basis of
17 econometric modelling is it allows for that uncertainty.
18 The uncertainty that causes us trouble is only the
19 uncertainty where, again giving Dr Majumdar's example,
20 the thing that you've omitted is correlated with
21 the variable of interest. If it's not correlated with
22 the variable of interest, then I don't mind. If costs
23 -- if costs are just generally higher, no problem, but
24 it's if they're higher during the cartel period, that's
25 what causes the difficulty.

1 PROFESSOR NEUBERGER: But to put that point into context,
2 and then I will move on from this because I think we
3 have exhausted it more or less, but to put that it in
4 context, your dummy variable which you are worried about
5 things being correlated with is on one during the cartel
6 period and zero outside the cartel period and there are
7 lots of changes in the world which may well be
8 correlated with that, things which are different in
9 the 2000s from the 2010s.

10 MR HUGHES: Yes. Yes, sir, that's exactly --

11 PROFESSOR NEUBERGER: I was just trying to establish, in my
12 mind, these results have always to be taken with
13 a degree of caution, because there are other
14 explanations.

15 MR HUGHES: Yes. Yes, sir.

16 PROFESSOR NEUBERGER: Fine.

17 Let me go on to talk about ... the coefficient on
18 the dummy is what is normally called the overcharge and
19 that -- how should I interpret that? Is it in terms of,
20 supposing the effect of a cartel varies over time or
21 varies across products, what -- how should I think about
22 that number? Mr Hughes?

23 MR HUGHES: So -- so what the coefficient captures is it
24 captures the average effect on prices during the cartel
25 period. So that's what it's capturing. So, on average,

1 the effect of that is -- is whatever the dummy is. It
2 may well be the case, going back to your -- the premise
3 of your question, it may be, sir, that there are periods
4 of time where that effect might be different and
5 therefore you might want to allow that dummy variable to
6 have different values over time.

7 PROFESSOR NEUBERGER: I am coming to that.

8 MR HUGHES: And because it is an average the thing that
9 causes trouble in life is if -- and forgive
10 the colourful analogy -- if you put my head in the oven
11 and my feet in the freezer and you ask me what
12 the average temperature is, you're going to get quite
13 a misleading result, because one part of me is very hot,
14 one part of me is very cold.

15 So I think it's important, when you think about that
16 dummy variable, that if you think there are distinct
17 periods of time, you allow for that in the modelling and
18 test whether there are distinct periods of time.

19 PROFESSOR NEUBERGER: Dr Majumdar?

20 DR MAJUMDAR: Thank you, sir.

21 So I would agree there's an average effect, and in
22 terms of what the coefficient is picking up, it's
23 a percentage, because we've -- the way the model is set
24 up is in logs, so it's a percentage relative to the "but
25 for" price, which is, assuming that there is a cartel

1 and assuming there is an infringement period,
2 the coefficient picks up the percentage that prices are
3 higher during the period covered by that dummy variable
4 compared to the so-called non-infringement period.

5 PROFESSOR NEUBERGER: Can I come to another. We talk
6 about "before, during and after". Is it right just to
7 think in terms of clean prices and dirty prices, in
8 general? Is that the sensible -- (overspeaking) --
9 clean prices are prices which are unaffected by
10 the infringement and dirty prices are prices which are
11 affected by the infringement; is that right?

12 DR MAJUMDAR: Yes, sir.

13 MR HUGHES: Yes, sir.

14 PROFESSOR NEUBERGER: Right, that is useful. Thank you.

15 I would like to move on to the more pertinent thing
16 for this case, which is when the cartel period is not
17 known precisely. I am interested in, going back to
18 something we were talking about before, can it -- if
19 I am unsure about whether the cartel period is, can
20 I use -- how can I use the data, if at all, to confirm
21 that there is an overcharge and separate that from
22 the question of trying to quantify the size of any
23 overcharge.

24 I just want to know, there is a claim that there was
25 a cartel operating at this -- in broadly this time, I am

1 not very precise about the period; how can I use
2 the data, if at all, to confirm the claim or to disprove
3 -- confirm or weaken the support for the claim?

4 DR MAJUMDAR: In my view, I don't -- I don't think you --
5 you can, sir. To my mind, econometrics can shed light
6 on the length of an infringement period where you have
7 a good idea that it's, for example, roughly between --
8 I don't know, I'm just making up something --
9 January 2008 and December 2011, if you thought it was
10 roughly there, then you might be able to use
11 econometrics to test whether it's a quarter before or
12 a quarter after either side, you just sort of -- I mean,
13 there are various techniques you could use. But I think
14 when there's so much uncertainty that you don't have
15 a good ex-ante starting point to say, look, I think this
16 is my infringement period I'm going to test for an
17 overcharge. I just -- unfortunately, I just don't think
18 that we can use econometrics to assess and rule in
19 the existence of an infringement. I think we have to
20 take as a -- as a starting point a fact pattern that
21 says there was or there wasn't one and only then can we
22 test for an overcharge.

23 So, sorry, let me be clear, when I say there was or
24 was not one, I think we need to take a factual starting
25 point that says I expect there to be a dirty period here

1 and clean periods here and then, only then can we run
2 the analysis to shed light.

3 PROFESSOR NEUBERGER: Mr Hughes?

4 MR HUGHES: So the European Commission's practical guide on
5 damages specifically says that you can use econometric
6 testing to work out whether the -- to assess for the end
7 period or the late period of cartels, whether they start
8 winding down towards the end. So I think it is fairly
9 standard practice to consider what happens if -- if
10 there are -- if you try a different date and then test
11 whether there is a statistically significant effect
12 earlier or later.

13 So I think econometric modelling is useful for
14 testing this. So I think you can test for both an early
15 period, a main period and a run-off period, particularly
16 in the circumstances of this case, where we do have some
17 documents which anchor, I think, potential early period
18 dates so that it can be tested for statistically using
19 econometrics.

20 PROFESSOR NEUBERGER: Yes, please.

21 DR MAJUMDAR: Thank you, sir. I mean, just, if I remember
22 correctly, the quote that Mr Hughes refers to is one
23 that implicitly says that we know there's been an
24 infringement. So this goes to my point about having
25 some ex ante information as to where to start, if

1 I remember the quote correctly, sir.

2 PROFESSOR NEUBERGER: Without going back to outside
3 authority, because I think actually it is not
4 particularly binding, I am just confused. If we take
5 the primary claim in this case, it talks about a period
6 extending from as early as 6 November 2002 -- sorry,
7 I am referring to the 4APOC, which is {A/3/16},
8 paragraph 39, which summarises the principal claim, and
9 it says:

10 "Over a period which extended from as early as
11 6 November 2002 and in any event from 6 July 2004 until
12 at least as late as 30 March 2011 ..."

13 I just wonder why, if one finds evidence of -- if
14 one says that is the dirty period and the clean period
15 is some period afterwards, why one cannot simply test
16 for that?

17 MR HUGHES: Sir, I would agree with that proposition.

18 PROFESSOR NEUBERGER: And Dr Majumdar?

19 DR MAJUMDAR: So one can test for it on the basis of making
20 an assumption about the length of the infringement
21 period. So one can say, if I proceed on the basis that
22 that is the infringement period and I can test for it
23 but the results are conditional on the assumption that
24 has been made, so I will assume that this is
25 the infringement period, I will then test for an

1 overcharge, given that assumption, this is the estimate
2 that comes out. I don't think that this -- but finding
3 something I don't think allows us to say, ah, but
4 therefore -- sorry, let me start again. Finding
5 evidence of an overcharge on that basis, I don't think
6 allows to us rule in that the assumption was correct in
7 the first place quite simply because there are so many
8 other things, indeed you mentioned them, sir, there are
9 so many other things that could be going on in that
10 period, which makes it very difficult to control for all
11 of the other influences.

12 PROFESSOR NEUBERGER: No, I understand that the econometric
13 evidence is not going to be totally compelling either
14 way. All I am asking is whether, if there were
15 compelling econometric evidence that there was an
16 overcharge in that period relative to a later period,
17 whether that would be material for confirming or
18 rejecting the primary claim.

19 DR MAJUMDAR: Sir, when you put it to me that way, when you
20 say "there is compelling econometric evidence", I think
21 what -- what is compelling econometric evidence? So, to
22 my mind, compelling econometric evidence is when one
23 starts off with a factual -- factual basis and then uses
24 that to inform the regression. I fear that this is
25 going the other way.

1 PROFESSOR NEUBERGER: Sorry, I put it wrongly. I did not
2 mean there was compelling econometric evidence. I mean,
3 if a well-specified model --

4 DR MAJUMDAR: Right.

5 PROFESSOR NEUBERGER: -- shows that the dummy which is
6 associated with the dirty period is positive and
7 significant, we understand there could be many
8 explanations of why this could be true and so on, but
9 I am just saying, is that useful evidence to take into
10 account when deciding whether the claim is correct?

11 DR MAJUMDAR: Understood, sir. Thank you for -- thank you
12 for that helpful clarification.

13 So, if we are confident that the model is well
14 specified, and if we see, having controlling -- having
15 controlled for all of the things that we think we should
16 control for, there is a higher price during that time
17 you mentioned, sir, then I think that is -- it doesn't
18 rule in an infringement, but I would agree that that
19 would be useful information.

20 PROFESSOR NEUBERGER: Mr Hughes?

21 MR HUGHES: I think I mostly agree with Dr Majumdar, but
22 I think it's the balance of what we're discussing here.
23 I think I would need to believe that it's a coincidence
24 that the econometric modelling is finding an overcharge
25 when there isn't one, and I would need to believe that

1 it's happened by chance in some manner and I'd need to
2 believe in particular that there's some specific
3 specification issues or data issues that have led to
4 that result. So I would attach a lot of weight to
5 the econometric results, subject to Dr Majumdar's
6 clarifications of the model specified etc.

7 PROFESSOR NEUBERGER: Yes, because I just want to establish
8 whether all this time we are spending on the econometric
9 evidence can go to -- is relevant to considering
10 the validity of the claim and then obviously it can also
11 be used for working out, if the claim is justified, how
12 much the damage is, but I am trying to focus primarily
13 on the role of the econometric evidence in establishing
14 whether the claim and the alternative claims are
15 correct, and as I understand it, we agree that it has
16 a role, but quite the weight that one will want to
17 attach to it depends on the belief that one has in
18 the model and the possibility of any overcharge being
19 accounted for by other things and so on.

20 DR MAJUMDAR: Yes, sir, the belief that one has in the model
21 based on the underlying facts and so on, indeed, sir,
22 yes.

23 PROFESSOR NEUBERGER: Fine, fine.

24 I am interested, if one has got uncertain dates and
25 one is trying in this way to get a measure of overcharge

1 in order to confirm or not the existence of an
2 infringement, what is the strategy in using -- sorry,
3 what are the alternative strategies for interrogating
4 the data? I am thinking in terms of, at one extreme,
5 just keeping the clean data and the dirty data and
6 having a simple dummy and throwing away the other data,
7 I am thinking in terms of having multiple dummies.
8 I just wonder, what is the best way and what are
9 the pitfalls and advantages of different techniques.

10 Mr Hughes, you have obviously been thinking about
11 this a lot.

12 MR HUGHES: So, I think, where you have uncertainties the --
13 what I think the purpose of econometrics is is to get
14 the data to tell you what the answer to that question
15 is. The advantage of using dummy variables is you don't
16 throw away the early period or the late period or
17 anything else and you -- you ask the question of
18 the data as to whether there are statistically different
19 effects during different periods of time. If you find
20 them, you keep those dummies in the model and you think,
21 "Well, there's evidence that prices are higher in
22 the early period, there's evidence of a wind-down period
23 towards the end of the cartel when the various
24 cartelists started leaving the cartel", and you would
25 include those variables in the model if you find them to

1 be statistically significant and that they make sense,
2 and if you don't find them to be statistically
3 significant, you drop those variables.

4 So I think my preference, as a generalisation, my
5 preference would generally be to include dummy variables
6 where I think there are different effects over time.
7 I think that's preferable to the alternative of just
8 throwing away data, because, particularly in these sorts
9 of real life cases that we're discussing, we have
10 a relatively small number of data points and throwing
11 away data points means that it's going to be -- what we
12 call the statistical power of the model will go down; it
13 will be harder to find an effect, if there is one. So
14 -- so my clear preference is the dummy variable approach
15 and get the data to tell you whether there are early
16 period effects, and if there aren't, you don't have that
17 dummy in the model, and I prefer that to abandoning data
18 and getting rid of data.

19 PROFESSOR NEUBERGER: Dr Majumdar?

20 DR MAJUMDAR: So, I think one has to be very careful with
21 circularity here. If one says, "I'm going to look at
22 a very uncertain period and I observe there are low
23 prices here and high prices here", and I say, "Well,
24 I'll put the low prices in the clean period and the high
25 prices in the dirty period", one is bound to find an

1 infringement, because the way that one has allocated
2 time periods to clean or dirty is -- is in essence bound
3 to give rise to high prices in the dirty period. So
4 that is the key pitfall with adopting this approach, and
5 which is why I say that one has to start with a clear
6 view beforehand of what the infringement period is. As
7 soon as you go into the data and say, "Low price, well,
8 that must be clean; high price, well, that must be
9 dirty", one is bound to find an overcharge. So I think
10 that's a critical point.

11 The second point would be that, again, and I -- when
12 there are so many uncertainties, if the uncertainty is
13 only to do with the infringement period, that's one
14 thing, but if the uncertainties reflect a range of other
15 factors, we've already talked about cost and demand,
16 the RFQ dates we're bound to come on to as well, if
17 there are all these other uncertainties, then
18 the ability of the econometric model to identify likely
19 infringement or possible infringement here, clean period
20 here, is further reduced. So if there is uncertainty,
21 econometrics doesn't necessarily solve it, because
22 the econometrics is affected by those same
23 uncertainties, and I think -- so those are the two key
24 points I would make: great care with circularity and
25 similarly the uncertainties don't affect only

1 the infringement period, they actually affect the entire
2 model.

3 PROFESSOR NEUBERGER: Mr Hughes.

4 MR HUGHES: I think, to be quite clear about the method I've
5 applied, what I've tried to do is I've tried to have an
6 evidence base from documents as to when the starting
7 point of the early period was. So I haven't arbitrarily
8 chosen that date on some sort of data mining basis, I've
9 looked at where the prices were systematically higher in
10 the period and where I find for two of the three
11 categories they are systematically higher for the third
12 period, I've kept that dummy variable in the model, so
13 -- and that's -- so, I'm letting the data answer
14 the question.

15 What I'm not doing, to be quite clear, is I'm not
16 randomly choosing periods of time and saying, "Well,
17 they're a bit higher there, a bit lower there", I'm
18 definitely not doing that. So I've got an evidence base
19 from documents that suggests that collusion may have
20 occurred earlier and then I ask the question of: is that
21 the case or not? Is that variable statistically
22 significant or not?

23 PROFESSOR NEUBERGER: Can I, before pursuing ... I just

24 wanted to ask a somewhat more technical question.

25 Imagine that the early period and the main period are

1 the same length, they have the same number of contracts
2 and whatever, and you just -- it is a technical question
3 for my own information really. If you have separate
4 dummies for the main period and the early period and you
5 say -- you find that there is a zero overcharge in
6 the early period and a 40% overcharge in the main period
7 and then you rerun the regression with a single dummy,
8 do you get a figure of 20%, more or less, or do you get
9 something different?

10 MR HUGHES: So -- so I think the answer to the question will
11 depend upon the distribution of the data points, how
12 many -- how many data points you have in the early
13 period and how many data points, because that will drive
14 the weight that's given to the average. But, in
15 principle, you'll get an averaging effect. But the
16 fundamental point is a point, sir, you made earlier,
17 which is, the whole basis of these comparisons is to
18 compare dirty prices with clean prices, and if you start
19 mixing them together, your -- the dirty prices that are
20 too high will come down and the clean prices that are
21 lower than the dirty prices will come up, and the more
22 mixing you do of those two, the greater the risk that
23 you don't find anything at all. So you might entirely
24 compromise the ability of the model to find any
25 overcharge whatsoever.

1 PROFESSOR NEUBERGER: But it should not -- but splitting
2 the whole period into two sub-periods should lead to
3 the -- an estimate of the overcharge which is the same
4 average overcharge as the average of the --
5 the appropriately weighted average --

6 MR HUGHES: Yes, generally speaking -- your proposition is
7 generally right. The other thing that -- sir, I think
8 the proposition is generally right.

9 The other thing that happens is, what you have is
10 you've got data over time, so you observe -- so the way
11 -- the way the model works is, for individual car parts
12 of a particular type, which we have what are called
13 "fixed effects", so the model works out, on average, an
14 airbag of type 2 is somewhat more expensive on average
15 than an airbag of type 1, okay? And then you -- and
16 then the model says, during the cartel period
17 controlling for that factor, do I observe that the price
18 of the airbag is higher during the cartel period? Now,
19 for that to work, you need to have a distribution of
20 data points during and outside the cartel period. So if
21 you -- if you have too long a cartel period where you
22 have a single period, then you'll lose granularity on
23 your observations, it will be harder to make the in and
24 out comparison that you'd like to make.

25 PROFESSOR NEUBERGER: Dr Majumdar.

1 DR MAJUMDAR: So I think your original question was: if we
2 have the same length of early period and main period,
3 essentially, do we get an average effect? I think
4 the answer to that is yes, that's what we would expect
5 to get. If we -- if we don't get an average effect,
6 that suggests that there's something -- or rather, if we
7 have, for example, a high coefficient in the early
8 period, a lower coefficient in the main period, and then
9 when we run the two together we don't get an average
10 effect, that perhaps suggests there's something unstable
11 in the model, because we would typically expect to get
12 an average effect by having that single dummy variable.

13 I think we would also want to look at the nature of
14 the coefficients as well. So, for example, if one has
15 a very high coefficient suggesting a high early charge
16 in the early period and a much lower one suggesting
17 a much lower overcharge in the main period, I think we
18 would ask ourselves, well, why is that? But maybe we're
19 going to come to that --

20 PROFESSOR NEUBERGER: Yes, surely.

21 DR MAJUMDAR: Okay.

22 MR HUGHES: Can I express my point, repeating my colourful
23 metaphor from earlier about the head in the oven and
24 feet in the freezer? If a head in the oven is set on
25 the convenient, you know, 30 degrees, it might not be

1 too bad, but the freezer will still be very cold so the
2 averaging together will not give you the proper --
3 the proper effect. So I think -- I think a lot of this
4 depends upon the differences between the two periods in
5 time and also the distribution in data points.

6 DR MAJUMDAR: If I may, sir, my response to that is we would
7 ideally have information that your head was in the oven
8 and your feet were in the freezer and then we could come
9 to that.

10 MR HUGHES: I think, by the end of this, you might wish your
11 head was in the oven!

12 PROFESSOR NEUBERGER: I am still kind of lost about why we
13 should get into such problems about the definition of
14 the period. Supposing that one of you does a regression
15 with the two periods, in the example I had 0 and 40%,
16 and says there's no overcharge in the first period,
17 there's 40% overcharge on volume in that second period,
18 and the other of you blithely ignores the first and
19 second period, the freezer and oven, or whatever it is,
20 and says, actually, there's a 20% overcharge on twice
21 the volume, it does not make any difference as to
22 quantum, so it does not seem to be a very important
23 issue as quantum goes. It may be an important issue as
24 to statistical significance, but is that right?
25 The only worry then about having -- combining a dirty

1 period with some of the clean period, the main problem
2 is you may lose power to detect a significant
3 overcharge. Have I got that right?

4 MR HUGHES: I think you will be systematic -- so if you --
5 what you're describing is making a measurement error in
6 these things and you are mixing together the clean and
7 dirty --

8 PROFESSOR NEUBERGER: Yes.

9 MR HUGHES: -- so the coefficient will go down --

10 PROFESSOR NEUBERGER: Yes.

11 MR HUGHES: -- because you are mixing together clean and
12 dirty and you may cease to find it to be statistically
13 significant as a result of that, but your description is
14 correct, sir.

15 PROFESSOR NEUBERGER: So one could, in principle, run both
16 and see whether it is in fact true that the effect one
17 sees -- whether the story is consistent with that.
18 I mean whether what has happened is the two numbers --
19 the numbers are all consistent for the estimates and in
20 one case you find significance and the other case you do
21 not.

22 MR HUGHES: I think you can definitely run -- I think we
23 should be very clear about what you're -- what you're
24 doing -- what one would be doing in that scenario. So
25 econometricians don't -- econometricians would want to

1 test whether the coefficient is the same on average over
2 those two periods of time or whether it's different, and
3 if it's different over those two periods of time,
4 imposing an untested and invalid restriction that it's
5 the same will give you the wrong answer. So I would
6 always prefer -- regardless of what case we're
7 discussing, I would always prefer to have separate dummy
8 variables if I think there's a prospect of separate
9 effects.

10 PROFESSOR NEUBERGER: I am still -- the average -- if I have
11 too extensive a period, I will bias down the figures by
12 the fact that there is no overcharge in the clean period
13 I am including; is that right?

14 MR HUGHES: Yes.

15 PROFESSOR NEUBERGER: Right, fine.

16 DR MAJUMDAR: Sir, I think one needs to be careful with that
17 view, and, again, it goes back to whether or not
18 the model is properly specified. If the model is
19 perfect, other than the infringement period is
20 uncertain, then it is correct that if you put clean into
21 dirty and dirty into clean, it's going to make it harder
22 to identify an overcharge. However, if the model is not
23 well specified, then the way that the bias goes is
24 entirely uncertain; there's already bias in the model
25 and then this could push it -- it's unknown which way

1 it's going to go. So I do want to emphasise that point.

2 I think I've already made the other point, which --
3 so I agree with you that -- I agree with Mr Hughes that
4 you can test for whether two coefficients are different,
5 and if they are statistically the same, you can just run
6 a single dummy. I agree with that. If they're
7 statistically different -- and I know we're going to
8 come on to it, sir -- then I think one needs to ask
9 the question: if they're statistically different, do
10 their magnitudes make sense? Because if they don't,
11 the model may be misspecified.

12 PROFESSOR NEUBERGER: I understand.

13 I have got one more question -- one more area, which
14 I think is fairly straightforward, and I think probably
15 then it may be a natural place to take a break. This
16 concerns the nature of the -- a fact I adverted to
17 earlier about the fact these are not individual sales,
18 these are a string of contracts. Perhaps Mr Hughes can
19 explain how that affects the very broad -- in broad
20 conceptual terms, how that affects
21 the before/during/after analysis that we have been
22 talking about.

23 MR HUGHES: So, thank you, sir. So what you observe in this
24 case is the -- the Claimants purchased car parts over --
25 typically over the life of the part, although there

1 might be a competitive tender later on in the process.
2 So they're typically purchasing the life of the part,
3 and therefore what you observe is a stream of prices
4 over time, and if there was to be a cartel overcharge,
5 which is a hypothesis to be tested, not to be assumed,
6 you would -- you would -- you would be able to use all
7 of that pricing information over time, and that's what
8 I do in my econometric model, to assess whether prices
9 are higher on average during the cartel period than
10 outside the cartel period, and that's the central
11 feature of what I'm doing in my modelling.

12 PROFESSOR NEUBERGER: Dr Majumdar?

13 DR MAJUMDAR: So I think -- yes, so with the -- I think
14 there are two issues, which is that when you have
15 a scenario where the allocation of a supplier occurs at
16 the RFQ stage and you know that the price that's
17 determined there or thereabouts is going to influence
18 future prices, because they will trickle down, either
19 because that's agreed in the contract or they will be
20 negotiated down or just moved down at some price
21 amendment stage, then the subsequent prices become
22 non-independent information, and so there's a sort of
23 technical issue that one needs to take account for that,
24 which I believe Mr Hughes does by clustering. But the
25 sort of -- in some senses, the nature of competition

1 there is determined primarily at the RFQ stage, because
2 -- and the prices that rise following that point are in
3 some senses linked to the first price that -- that is
4 determined because they are sort of percentages of that
5 price. So I -- I would make that point.

6 Other than that, I would say that Mr Hughes does in
7 his model seek to ask the question not only is there
8 a higher price at that stage, which is called the "new
9 contract stage", or the "new contract overcharge", he
10 also seeks to address whether there's a price amendment
11 effect. So we start off with the price of the contract
12 and then we see prices move down, typically, over time,
13 and what Mr Hughes says is -- or asks is: do I see an
14 effect that prices fall more quick -- sorry, more slowly
15 during the cartel, do prices fall more slowly during
16 the cartel? And Mr Hughes does not find that effect.
17 So, actually, everything that we're talking about today
18 is the so-called new contract phase.

19 PROFESSOR NEUBERGER: I just want to be clear, though, about
20 the basic data that we are looking at. We are looking
21 at the prices of -- sorry, we got into some trouble
22 earlier on about understanding. We are talking about
23 the price of a seatbelt, of an airbag, of a steering
24 wheel, we are not talking about prices of components of
25 those?

1 MR HUGHES: Exactly right.

2 DR MAJUMDAR: Correct.

3 PROFESSOR NEUBERGER: Right.

4 The data we are looking at are the price of every
5 delivery of these objects, or are we talking about
6 the prices just at the start of production and the price
7 amendment stage?

8 MR HUGHES: So, sir, in my model, I'm looking at the prices
9 over -- so prices over time, so I'm leveraging all
10 the available information of the prices that we have in
11 our model, and, to use Dr Majumdar's word and he'll
12 correct me if he wants to use a different word, is that
13 the subsequent prices are linked to the original RFQ
14 price, but I'm using all that, I'm leveraging all of
15 that data in order to assess whether prices for new
16 contracts are systematically higher during the cartel
17 period. A tiny detail that Dr Majumdar says I don't
18 find any price amendment effects; I do find them for
19 airbags, but they are not something I've included in
20 the damages. A tiny detail.

21 PROFESSOR NEUBERGER: Let us not get onto the findings.

22 I was not clear that in any month in which this seatbelt
23 is delivered to PSA, there is a price and that enters
24 your dataset.

25 MR HUGHES: Yes, sir.

1 PROFESSOR NEUBERGER: That is whether there is a price
2 amendment or whether it is simply a fixed contractual
3 price which is exactly the same as a price which occurs
4 earlier.

5 MR HUGHES: Yes, sir, I used prices over time.

6 PROFESSOR NEUBERGER: So -- and in terms of, when I think
7 about -- assuming I am very clear about what my cartel
8 period is for a moment, I have defined that, and you
9 have defined it various different ways, but I have got
10 my cartel period and I've got a price of a delivery of
11 seatbelts to PSA in this month, which was subject to
12 a price amendment some time earlier, was subject to an
13 RFQ long earlier, what determines whether that
14 transaction is regarded as a cartel -- as subject to an
15 overcharge?

16 MR HUGHES: Right, so I have a dummy variable on that price
17 and then that dummy variable gets switched off, so that
18 -- so that part continues -- so the dummy variable gets
19 switched off or switched on, basically.

20 PROFESSOR NEUBERGER: But I do not understand. What -- if
21 I look at a particular transaction, a particular entry
22 in your dataset, you know, whatever, this is a delivery
23 of seatbelts at a price of so and so at this date, and
24 it is part of some long-running contract, what
25 determines whether the cartel dummy is switched on or

1 switched off?

2 MR HUGHES: So -- so when I observe -- so, sir, when
3 I observe a price that's purchased in the initial period
4 and during the cartel period, then it will be switched
5 on and it will continue to be switched on, there will be
6 price amendments, and then -- and then, when -- so and
7 that's switched on for the life of the part.

8 PROFESSOR NEUBERGER: Right. So that whether the part is
9 affected -- whether the part is dirty or not depends on
10 whether the RFQ date --

11 MR HUGHES: Precisely, sir.

12 PROFESSOR NEUBERGER: -- is in the dirty period?

13 MR HUGHES: Precisely, sir.

14 PROFESSOR NEUBERGER: Right. Right, I am clear about that.

15 THE CHAIRMAN: Shall we take a break now? So five minutes.

16 (11.44 am)

17 (A short break)

18 (11.53 am)

19 MR WEST: Before I forget, I have got the spillover damages
20 table.

21 THE CHAIRMAN: Yes, thank you very much. Do you want to
22 just ...

23 MR WEST: Can I hand that up.

24 (Handed).

25 THE CHAIRMAN: Could you just remind me when that was

1 served?

2 MR WEST: I believe it was on Friday.

3 THE CHAIRMAN: Yes, okay, so this is a recent one. Yes.

4 MR WEST: There is not anything new in it, it is drawn from
5 existing material in Mr Hughes' report.

6 THE CHAIRMAN: Was it annexed to ...

7 MR WEST: It is now going to be in tab 20.

8 THE CHAIRMAN: It was not annexed to a report?

9 MR WEST: It was not. It was set out as part of a larger
10 table in Mr Hughes' original report. It has now been
11 split out.

12 THE CHAIRMAN: So has it been sworn to already and this is
13 just --

14 MR WEST: No, could I just perhaps ask Mr Hughes to confirm
15 its accuracy?

16 Do you have a copy?

17 (Handed).

18 MR HUGHES: Yes, it is accurate.

19 MR WEST: Thank you.

20 PROFESSOR NEUBERGER: Thank you very much.

21 I was conscious in the original draft protocol put
22 to us that there was a convention that one should
23 alternate between the expert witnesses. I have not been
24 doing that and I think, in many cases, like the current,
25 where I want to talk about the design of the model, it

1 is appropriate to ask Mr Hughes to speak first, and
2 obviously I will try to ensure to the best of my ability
3 that I am totally fair between the experts.

4 I want to now turn in more detail to the data. I do
5 not think this is at all contentious, because you both
6 agree on the dataset, as I take it.

7 Could you explain, Mr Hughes, briefly about why you
8 are using PSA data and then go on to explain what is in
9 the dataset? I was thinking that one way of doing that
10 might be, Dr Majumdar's got a table, table 4, which sets
11 out the dataset, which is at {E1/6/27}, if you are
12 comfortable using a table that you did not originate,
13 but it may provide a useful visual aid to describing
14 the dataset, or you may prefer to do it some other way.

15 THE CHAIRMAN: This is table 4.

16 PROFESSOR NEUBERGER: Sorry, table 4.

17 Just so that we know what is in the dataset.

18 MR HUGHES: So, sir, your original question, just to make
19 sure that I answer the right question, was why have
20 I used PSA's data?

21 PROFESSOR NEUBERGER: Yes.

22 MR HUGHES: Okay, very good.

23 In order to estimate the overcharge accurately, what
24 you need to do is you need to be able to control for
25 the technical characteristics of the parts so that you

1 don't treat apples and pears differently, or you do
2 treat apples and pears differently as appropriate, and
3 you also need to have the best available information
4 that you can have on request for quotation dates and
5 the only dataset that properly covers both of those
6 things is the PSA dataset and that's why I've used that
7 dataset.

8 The Vauxhall/Opel dataset and the FCA dataset
9 doesn't contain sufficiently detailed information and
10 that's the fundamental problem.

11 The Claimants' -- sorry, the Defendants' datasets
12 aren't usable because I don't have that level of
13 granularity of information.

14 PROFESSOR NEUBERGER: Thank you very much.

15 Then can you describe what is in the dataset?

16 MR HUGHES: So what I have for -- for those -- I have it at
17 the level of individual parts, so I know
18 the characteristics of those parts and I know the prices
19 of those parts over time, and for a selection of parts,
20 a selection of those parts, I know also the actual RFQ
21 dates, which are from contractual documents, which is
22 also helpful. So that's, in essence, what I had in that
23 dataset.

24 PROFESSOR NEUBERGER: Fine. Thank you very much.

25 I mean, I take it that there is no dispute as to

1 the validity or usefulness of the dataset?

2 DR MAJUMDAR: That's correct, sir.

3 PROFESSOR NEUBERGER: Right.

4 Then the next thing I would like to do is if I could
5 take you through the regression in your first report.

6 I am thinking of table 5.1, which is at {E1/2/92}. Let
7 me explain what I think would be useful, which is to go
8 through these numbers and explain what they mean,
9 assuming the model is well specified and so on, that is
10 not the issue. I just want to understand what
11 the numbers are.

12 I guess the first question that I would like to ask
13 about that is, you have got two columns, one is "Full
14 Sample" and the other is "Excluding Outliers". I got
15 the impression from the exchanges between the experts
16 that they are both happier working with the "Excluding
17 Outliers"; is that right?

18 MR HUGHES: Yes, from my perspective.

19 DR MAJUMDAR: I am happy working with the "Excluding
20 Outliers" column, but I would -- I think it is important
21 just to understand something about them. So although it
22 says "Excluding Outliers", which makes it sound as if
23 there's some potential rogue data points that one might
24 want to exclude, this is not an exercise of lining up
25 the data, taking the top 1 -- the top 1% and the bottom

1 100% and excluding them because they might be genuine
2 coding mistakes, this technique is one where
3 observations that have a disproportionate influence on
4 the results are excluded. So I think one needs to be
5 a little bit careful with the term "Excluding Outliers",
6 because a data point that's seen -- seen to be invalid
7 in one regression may be seen as an outlier in another,
8 and so I have primarily worked with the "Excluding
9 Outliers" point, however, I think, in terms of
10 understanding the overall picture, it is useful, at
11 least potentially useful, for the Tribunal to be aware
12 of what the full sample results would be as well,
13 because it's not strictly outlier exclusion, if that
14 makes sense.

15 PROFESSOR NEUBERGER: I understand, but as far as we are
16 concerned here now, there is no reason not to work
17 entirely with the "Excluding Outliers". I am just
18 mindful of the fact that if we are having to dart
19 between two lots of figures all the time it makes an
20 already complicated discussion completely impossible.

21 DR MAJUMDAR: I agree with that, sir, yes.

22 PROFESSOR NEUBERGER: So we can work on the "Excluding
23 Outliers".

24 MR HUGHES: Yes, sir.

25 PROFESSOR NEUBERGER: Fine. That is very helpful.

1 Could you take us down the numbers in that table,
2 Mr Hughes, and explain to us, and I may stop you at one
3 or two points.

4 MR HUGHES: Sir, this morning, we've spent quite a long time
5 talking about the RFQ dummies, so those are the dummies
6 that are switched on purely during the early periods,
7 and those early periods will vary across the category,
8 and where I don't find them to be statistically
9 significant in the case of seatbelts, you won't find
10 that dummy variable in my model. So they're the first
11 two variables.

12 The second --

13 PROFESSOR NEUBERGER: Sorry, stopping you there.

14 MR HUGHES: Sure.

15 PROFESSOR NEUBERGER: What does 0.300 mean and what do
16 the three stars mean?

17 MR HUGHES: So it's -- you have to do a conversion factor to
18 convert the coefficient, so to convert the 0.325 into an
19 overcharge, so there's a conversion factor to be
20 applied, which Dr Majumdar and I are using the same
21 conversion factor, but approximately it means that
22 during the cartel period prices are 30% -- the exact
23 number is -- is -- is the actual coefficient I've
24 calculated, but for the sake of these purposes, during
25 -- they're about approximately 30% higher during

1 the cartel period --

2 PROFESSOR NEUBERGER: Sorry, I just want to say, assuming

3 that the kind of conversion between logs and --

4 MR HUGHES: Yes, it's that -- it's that sort of stuff.

5 PROFESSOR NEUBERGER: -- is straightforward so ... and let

6 us, to keep it simple, say, if we are looking at

7 the column "Excluding Outliers" that what that says is

8 that prices in the early period, all other things being

9 equal, are 30% higher.

10 MR HUGHES: Precisely.

11 PROFESSOR NEUBERGER: That is right.

12 MR HUGHES: The conversion takes you to about 25 or some

13 other number, but the spirit of what you said is exactly

14 correct, sir.

15 PROFESSOR NEUBERGER: Sure.

16 MR HUGHES: Then you have a main period --

17 PROFESSOR NEUBERGER: The three stars?

18 MR HUGHES: The three stars is a measure -- is a standard

19 measure of statistical significance and that means it's

20 statistically significant at the 1% level. So you would

21 -- you would reject a hypothesis that that coefficient

22 was in fact zero at the 99% level.

23 PROFESSOR NEUBERGER: So the -- to put more flesh on it,

24 the model says the number -- the best number I can guess

25 is 30%, that there is obviously a degree of uncertainty

1 about that number, but I am pretty well certain it is
2 not zero?

3 MR HUGHES: Yes, and the caveat I would have is one that
4 Dr Majumdar's made, which just -- just to anticipate
5 something he might be able to say but he can obviously
6 speak for himself -- is that that assumes that the model
7 is correctly specified.

8 PROFESSOR NEUBERGER: Understood.

9 Then go down.

10 MR HUGHES: Then the next variable is the main period RFQ
11 dummy and then approximately 25% more expensive during
12 the cartel period.

13 Then I have what I call a "Wind-down Dummy", and
14 that tries to capture for the period of time from
15 March 2010 to March 2011, which is towards the end of
16 the cartel, is there evidence that prices were lower
17 during that wind period -- wind-down period than would
18 otherwise be the case, and I find that to be
19 statistically significant at about the 10% level.

20 PROFESSOR NEUBERGER: Can I just put those together, the two
21 lines, the RFQ main period and the wind-down dummy;
22 is it correct to add those numbers and say that
23 the overcharge in the wind down period is approximately
24 zero?

25 MR HUGHES: Exactly. That would be correct, sir.

1 PROFESSOR NEUBERGER: Fine. Fine.

2 MR HUGHES: And then -- and then I have two price amendment
3 effects which I'm looking for, which I find for steering
4 wheels, which are the extent to which, as Dr Majumdar
5 said earlier, the extent to which prices -- the trend in
6 prices was different during the cartel period and I find
7 no evidence of any statistically significant effect
8 there.

9 PROFESSOR NEUBERGER: Just on that, the number of 0.02, is
10 that a decline of 2% per month or is there some other
11 interpretation? I realise it is not statistically
12 significant.

13 A. Sure, sure. It's a decline when there is a price
14 amendment. So it's not a per monthly change, it's when
15 prices change is -- is the trend in those prices
16 different? So it's a change per amendment.

17 PROFESSOR NEUBERGER: A 2% change per amendment.

18 MR HUGHES: Yes, exactly.

19 PROFESSOR NEUBERGER: But as you have stressed, it is not
20 significant. Thank you, that is very helpful.

21 MR HUGHES: Then the next coefficient, so the two "Age
22 Cartel", so these are how I'm trying to capture price
23 amendment effects. And then I have an "Age Post
24 Cartel". The purpose of the age post cartel is to allow
25 for the possibility that after the cartel ended, prices

1 were somehow renegotiated back to normal again. Do
2 I find a statistically significant fall in prices? So
3 I test for that.

4 Then I have the next three -- and I don't find
5 anything for this prior category -- for the next three
6 I've got the age of the part, and the reason for the age
7 of the part variable is I give -- is -- is that what
8 the witness statements say is it's fairly common for
9 there to be what are called "productivity discounts" in
10 the first three years and then prices might change after
11 that as time goes forward, and I'm looking at the trend
12 -- this is based on the age of the parts, I'm looking at
13 three variables there.

14 PROFESSOR NEUBERGER: Sorry, again, on the physical
15 interpretation, are you saying that the products, in
16 the first three years of their life, are on average
17 about half a percent cheaper than --

18 MR HUGHES: Yes, they decline -- yes, exactly, so they --
19 they, yes, decline in price by that level, exactly, sir.

20 PROFESSOR NEUBERGER: Is that -- I mean I was just thinking
21 in terms of the evidence we have been hearing about
22 requiring cuts of 3% per annum in the first three years.
23 This does not seem to be --

24 MR HUGHES: Sir, this would be per amendment, sir. So it
25 would be a progressive thing over time, and also what

1 you observe is that the -- is that there is
2 a productivity element, but on top of that, if there's
3 a raw material cost change or some other change in
4 the specification, the part of the price might go up as
5 well, which -- so -- so the first three -- so, so it may
6 -- it may well be that prices don't always fall
7 precisely as you -- as the contractual productivity
8 level indicates.

9 PROFESSOR NEUBERGER: But you would not -- you do not see
10 any conflict between this and the evidence about --

11 MR HUGHES: No, sir.

12 PROFESSOR NEUBERGER: -- negotiated price declines.

13 MR HUGHES: No, sir.

14 PROFESSOR NEUBERGER: Thank you.

15 MR HUGHES: Then my next variable is a calendar time trend,
16 and then I'm looking for and I've an indicator for
17 amendments in the last couple of years.

18 PROFESSOR NEUBERGER: What is that for?

19 MR HUGHES: That's just to allow for any reductions that
20 occurred towards the end of the period because 21 and 22
21 was an unusual period of time.

22 PROFESSOR NEUBERGER: Can I -- was that -- did you have that
23 in your mind originally, or is this something --

24 MR HUGHES: Let me just --

25 PROFESSOR NEUBERGER: -- that you put in?

1 MR HUGHES: Sir, just let me check my notes, please, sir.

2 (Pause).

3 I think it's just to allow for the impact of
4 COVID-19 and what was going on at that time, sir.

5 PROFESSOR NEUBERGER: Did you contemplate stopping your
6 period -- your clean period much earlier? You have got
7 quite a long clean period, after all, from 2011; why go
8 on so long?

9 MR HUGHES: I think it's just to make the most of the data
10 points that I have, sir.

11 PROFESSOR NEUBERGER: But you have the disadvantage, of
12 doing that, that you bring in other factors which have
13 changed, like COVID?

14 MR HUGHES: Potentially, sir.

15 PROFESSOR NEUBERGER: Did you do a sensitivity to see what
16 happens if you shorten the clean period?

17 MR HUGHES: No, sir.

18 PROFESSOR NEUBERGER: Thank you.

19 Sorry, go on then.

20 MR HUGHES: Then the other variables are for various cost
21 controls that I have in the model, so leather, plastic,
22 steel. Sorry, I'm -- I made a mistake earlier, sir, my
23 apologies. I should explain, it was nothing to do with
24 COVID, the indicator earlier. What I find is that -- in
25 relation to carbon steel, what I find is -- is that

1 there may be a different relationship between prices and
2 -- the price of steel and the price of Occupant Safety
3 Systems during the period of 2021/22 when there was
4 a shock in steel prices, and I had -- and this is a --
5 and that particular variable is a -- is an adjustment,
6 it's an adjustment to the constant, if you like, sir,
7 and then I have a -- and then there -- so there's a --
8 there's an effect -- a general effect of steel prices
9 and there's an incremental effect on steel prices of
10 the period there.

11 So my earlier comment about COVID was nonsense. My
12 apologies, just my memory being faulty.

13 PROFESSOR NEUBERGER: Fine.

14 MR HUGHES: Then I have aluminium, magnesium and EU27 GDP.

15 PROFESSOR NEUBERGER: Great.

16 Then the number of observations is simply the number
17 of prices that you --

18 MR HUGHES: Precisely. Then the "R-Squared" and
19 the "R-Squared Adjusted", the R-Squared Adjusted is
20 the percentage of the variation of -- in prices that is
21 explained by the control variables I have in the model.
22 So this particular variance suggests that 85% of
23 variance in prices is explained by the variables
24 I include in the model.

25 PROFESSOR NEUBERGER: I was wondering -- this is an unfair

1 question to spring on you and you may want to look back
2 and come back later on it. I was curious about
3 the standard error of the regression and I was just
4 finding it useful to have some idea in my mind about how
5 accurately this model actually prices steering wheels,
6 and I think it might be -- I mean, I do not think much
7 hangs on it, it just gives me some greater sense,
8 physically, of how it --

9 MR HUGHES: I believe we've sent, at the Tribunal's request,
10 both of us have sent standard error information.

11 PROFESSOR NEUBERGER: That includes the standard error on
12 the regression?

13 MR HUGHES: Yes, yes.

14 PROFESSOR NEUBERGER: Fine. Excellent. Thank you. Thank
15 you very much.

16 Sorry, Dr Majumdar, do you have any comment? I just
17 wanted to go through this so we understood what was at
18 stake.

19 DR MAJUMDAR: No, sir.

20 PROFESSOR NEUBERGER: Fine. Fine.

21 I will now get on to the kind of more controversial
22 area, the important differences between the two experts
23 concerning Dr Majumdar's sensitivity tests, which are
24 referred to in paragraphs 32 to 41 of the joint
25 memorandum {E1/13/22-28}. I will try to get to

1 the bottom of them, in the sense of exploring what
2 the issues are, though I suspect that some of the
3 disagreements are common across several of the tests, in
4 which case I will not discuss them all.

5 I think, from the -- if we get back to the question
6 we broached earlier about the extent to which these
7 regressions help on the question of existence of an
8 overcharge as well as the size of the overcharge, and
9 I guess, before going into the detail of
10 the disagreement on the sensitivities, I just wonder
11 whether one -- I think it would be useful to us to just
12 explore, in general terms, which are the appropriate
13 tests or analyses of the data which would help us
14 understand the relevance of the econometric data to
15 the three distinct claims brought by the Claimants.
16 This may be something you will need to think about quite
17 hard, because it is not a problem that you have directly
18 addressed, but it is an important one, because
19 the Claimants have, I think, four grounds in their "but
20 for", arguing for their claim, and that -- one of those
21 is the econometric evidence supports the claim, and then
22 later they go on to use the econometric evidence to talk
23 about quantum.

24 So I think it is essential that we form some view
25 about how much the econometric evidence does bear on

1 the claims, and since the claims are different, I think
2 it might be useful to look at each of the claims in
3 turn.

4 So if you look at the principal claim, the primary
5 claim, it is over a period which extends -- this is from
6 paragraph 39 of the 4APOC {A/3/16}, which I referred to
7 earlier, so it says:

8 "Over a period which extended from as early as
9 6 November 2002 and in any event from 6 July 2004 until
10 at least as late as 30 March 2011
11 (hereinafter 'the Cartel Period'), a group of
12 undertakings ..."

13 Etc. I do not need to read it all out. I guess my
14 question is, if this claim is true, what would you --
15 what sign of it would you expect to see in the data?
16 Then obviously we would look to see whether we found it.

17 But, Dr Majumdar, maybe you --

18 THE CHAIRMAN: Do you need to re-read paragraph 39 or have
19 you got it in mind? It should come up on the screen.

20 DR MAJUMDAR: I don't have it in front of me. I think
21 it's ...

22 THE CHAIRMAN: It should come up on the screen.

23 DR MAJUMDAR: Oh, yes, I do now, thank you.

24 THE CHAIRMAN: Could you just, both of you, just read it,
25 sorry, to make sure there are no cross-purposes.

1 (Pause).

2 DR MAJUMDAR: Yes, sir. So -- so my understanding then is
3 essentially there is an allegation that there is an
4 overall cartel, that the Defendants then were charging
5 higher prices to not just each one of the Claimants, but
6 others as well, and that it's not 100% clear from this,
7 but I presume that it applies to all of the products in
8 the sense of it is airbags, seatbelts and steering
9 wheels as well. In that scenario, then the way that one
10 might look for that in the data would be, firstly -- and
11 all of this is conditional, of course, on having
12 a well-specified model, I don't -- I think I don't need
13 to repeat myself on that, but this is a very important
14 point -- in principle, with a well-specified model, one
15 would look at a cartel effect over the period claimed by
16 the claim, but because the claim is one that, as
17 I understand, at least covers airbags, seatbelts and
18 steering wheels, I would expect then to see a similar
19 picture, so that the results from the airbags model,
20 from the seatbelts model and the steering wheels model
21 would tell us a similar -- a similar picture for exactly
22 that same period in -- in line with the sort of claim of
23 this sort of overall cartel, as I understand it,
24 impacting all of the products. So I think that's how
25 one would do it. One would look at a dummy variable

1 covering the period suggested here and a consistency of
2 effect across all of the three products.

3 PROFESSOR NEUBERGER: So you would have a -- you would --
4 sorry -- take as given the model, as you say.

5 DR MAJUMDAR: Yes, sir.

6 PROFESSOR NEUBERGER: Fine. Then what you would look for,
7 you would have a single dummy which would cover
8 the entire period, even though the length of the period
9 is somewhat ambiguous, and you would look for an
10 overcharge in that period and treat all the rest of
11 the data as clean?

12 DR MAJUMDAR: Yes, sir. I mean, absent information to tell
13 me that I should be splitting the period up, absent
14 factual information to tell me I should be splitting up
15 the period in a certain way and understanding why
16 I should be doing it, I think that is the implication of
17 this -- of this claim, sir, yes.

18 PROFESSOR NEUBERGER: When you were talking about
19 consistency between the estimates, do you mean
20 consistency in the sense they all show a significant
21 effect, or are you going further and saying they should
22 be a similar size effect?

23 DR MAJUMDAR: I would not necessarily expect them all to be
24 a similar size effect. I think it's -- it's possible,
25 certainly theoretically possible, to have different

1 cartel effects on different products, so I wouldn't
2 necessarily expect the same size. However, if the claim
3 is that there's a consistent period of collusion
4 throughout the early period and the main period, then
5 I would expect there would be effects consistently
6 found, yes. That seems to be the implication of
7 the claim here.

8 PROFESSOR NEUBERGER: You mean --

9 DR MAJUMDAR: In terms of finding consistently an early
10 period effect, a main period effect, when we look at
11 airbags, ditto for seatbelts, ditto for steering wheels.
12 Apologies if I wasn't clear, but that's what I meant,
13 yeah.

14 PROFESSOR NEUBERGER: Mr Hughes, I realise you have produced
15 a model for a variety of purposes, including working out
16 the overcharge and so on, quantum and so on, but if you
17 were specifically addressing the question of the -- of
18 whether this claim is supported by the data, what would
19 you do?

20 MR HUGHES: I think the first thing to say is the claim is
21 not my report --

22 PROFESSOR NEUBERGER: Understood.

23 MR HUGHES: -- in the sense that I don't think it's my task
24 to support the claim, it's my task to -- given my duties
25 to the Tribunal --

1 PROFESSOR NEUBERGER: Sure.

2 MR HUGHES: -- to test what I've got there.

3 So the basis of the claim, as I understand it, is
4 that there's evidence of collusion that goes back to
5 November 2002. So that's -- that's the basis of
6 the claim, and how I've approached that issue for
7 the purpose of testing whether that's the case or not is
8 I've looked for -- it was an exercise of my judgment,
9 I've looked at the documents which vary by product
10 category and said, well, what's the earliest date that
11 it seems reasonable to test for from those documents,
12 and then I've taken those dates to define an early
13 period and then I've got the main dates from the --
14 the Occupant Safety System 1 cartel decision. So I'm
15 looking at these -- I'm looking at these, and I look at
16 this at the level of individual product categories,
17 although this is expressed as a single claim. So -- so
18 how -- so, again, taking -- I am going to repeat
19 Dr Majumdar's point, assuming the model is correctly
20 specified, then what I have found is I have found early
21 period effects for two of the three product categories,
22 but not seatbelts. That -- so I don't find an
23 overcharge going back as far in time as -- for seatbelts
24 as I find for the others, but I do find during the main
25 period of the Occupancy Safety Systems 1 cartel dates,

1 I do find statistically significant overcharge.

2 I don't find a cartel -- find that the harm
3 continues right to the end of the cartel period,
4 I actually find a wind down effect.

5 PROFESSOR NEUBERGER: I understood all you found, but what
6 I am wondering about is, if I look at the claim,
7 the principal -- the primary claim, there does not seem
8 to be anything in it -- I may be wrong -- that would
9 distinguish an early period from a late period. As far
10 as I understand the Claimants' argument, there was
11 a cartel, there was evidence from the Commission, which
12 is the Commission findings, there is some other evidence
13 that there was stuff earlier. There does not seem to be
14 anything in it for wanting to separate an early period
15 from a late period for the primary claim.

16 I am not sure why, in trying to see whether
17 the evidence supports the primary claim, it is obvious
18 that one should separate the period into two.

19 MR HUGHES: I think the -- the evidence that we have is of
20 different natures, in the sense that we have a clear
21 adverse finding from the European Commission as regards
22 Occupancy Safety Systems 1, which gives you a clear set
23 of dates, but the evidence that we have for the other
24 periods is more tangential. There is documentary
25 evidence, but there's uncertainty around those dates.

1 And I think -- I think the -- to presume that
2 the effects are the same and constant over two periods
3 of time would not be -- would not be a prudent
4 assumption and not one that I think would be consistent
5 with the data, given that I find early period effects
6 for two of the three categories, but not with seatbelts.

7 PROFESSOR NEUBERGER: Do you have anything to add,
8 Dr Majumdar?

9 DR MAJUMDAR: Thank you, sir.

10 I mean, only that, if the evidence is more
11 tangential in the early period, that may be something
12 that we'll come on to when we talk about the magnitude
13 of the effects, but I understand that this is a later
14 part of the conversation, sir, or I'm happy to make my
15 point now. I mean, in essence, I'm saying that I might
16 not expect a high -- a higher effect during the early
17 period than in the main period when we have the OSS
18 cartels in operation, sir.

19 PROFESSOR NEUBERGER: Let me get on then to the secondary
20 claim, which is at paragraph 43 {A/3/25}, I think.

21 Again, just read it to yourselves.

22 DR MAJUMDAR: Sorry, paragraph?

23 PROFESSOR NEUBERGER: 43.

24 DR MAJUMDAR: Thank you, sir.

25 (Pause)

1 PROFESSOR NEUBERGER: So my question -- I will ask
2 Dr Majumdar to lead then this time -- is: would you
3 expect the empirical -- the dataset you have got to be
4 differently affected by the secondary claim than
5 the primary claim? Is there some distinct test we
6 should do, or is it the same test?

7 DR MAJUMDAR: I think the -- if I understand this correctly,
8 it's essentially saying that the same Defendants would
9 still be involved in cartel activity with respect to
10 the Claimants, other -- except, rather than one single
11 cartel, as I read this, it says there's a collection of
12 them essentially with the same effect -- well, it
13 doesn't mention effect, so let me withdraw that comment,
14 but essentially it's -- if I understand correctly, it's
15 saying there's the same coverage but just achieved not
16 with a single cartel but with a collection of cartels.

17 Given the data we have, which is PSA purchase data,
18 and given the assumption that both Defendants were in --
19 in the cartel, it's not obvious to me that we would do
20 something different, because there's no information here
21 on the cartels or the cartel periods, it just seems to
22 be a sort of general claim that there were a whole bunch
23 of cartels, in essence -- and the words don't say it
24 here, but in essence having a similar coverage of -- as
25 in the first claim. So I sort of see this as a --

1 PROFESSOR NEUBERGER: Empirically indistinguishable?

2 DR MAJUMDAR: With the data we have, I think -- I think so.

3 PROFESSOR NEUBERGER: Fine.

4 Mr Hughes, do you --

5 MR HUGHES: Yes, sir.

6 PROFESSOR NEUBERGER: You agree that it's empirically

7 indistinguishable.

8 Mr Hughes, what about 3, which is the second
9 alternative claim, which is at paragraph 44 {A/3/25}?

10 It may be worth reading out:

11 "In the further alternative, even if there was no
12 cartel concerning supplies of OSS to PSA, FCA or
13 Vauxhall/Opel, the effect of the cartels established in
14 the Commission Decisions (and the findings of the other
15 regulators pleaded above, so far as relevant) would have
16 been to increase the prices charged by the cartelists of
17 supplies to OEMs other than those which were the targets
18 of those particular cartels, by tending to lessen
19 the degree of competition in the market in general and
20 thereby to increase prices in the market."

21 How would one test for that? What would one expect
22 to see in the data, if that was true, Mr Hughes?

23 MR HUGHES: I think this third alternative is envisaging
24 that, because of the collusion relating to -- as found
25 in OSS 1 and OSS 2, and potentially for earlier

1 arrangements involving other -- other named OEMs,
2 earlier, if there's involvement there, then there --
3 there was basically two things going on, which was there
4 was an incumbency principle, where you were -- a form of
5 customer allocation, and I think the contention that's
6 being advanced here is that if you agree an incumbency
7 principle in relation to some customers, that may well
8 reinforce tacitly the incumbency principle in relation
9 to other customers. So that's the first point.

10 And secondly, if you achieve high prices for certain
11 customers, that may manifest -- i.e. targeted OEMs, that
12 may manifest itself also in reducing
13 competitive uncertainty in relation to non-targeted
14 customers. So I think the effect would be similar, in
15 terms of what you're looking for, in the sense you would
16 expect to see higher -- if this effect -- if this theory
17 of harm holds up, you would expect to see higher prices
18 and of a similar methodology or approach that -- that
19 I've adopted in Hughes 1.

20 PROFESSOR NEUBERGER: So the empirical implications,
21 the reflection in our dataset of the third claim would
22 be pretty similar to what you would expect to observe
23 under the first and second?

24 MR HUGHES: The nature of the cause -- yes, sir, but
25 the nature of the cause of that effect would be

1 different, namely --

2 PROFESSOR NEUBERGER: Sure.

3 MR HUGHES: -- the causal mechanism is different.

4 PROFESSOR NEUBERGER: Yes.

5 MR HUGHES: The principle by which they -- the Defendants
6 might have charged higher prices, which would then need
7 to be tested for, would be a similar -- would be
8 a similar methodology.

9 PROFESSOR NEUBERGER: But, essentially, the process which
10 I go through in interrogating the data to say, "Does it
11 support claim 1 or claim 2 or claim 3", you are saying
12 that process is the same; is that right?

13 MR HUGHES: Yes.

14 PROFESSOR NEUBERGER: Yes.

15 Dr Majumdar?

16 DR MAJUMDAR: So I take a different view on this point. To
17 my mind, I think one can be a little bit more subtle
18 with the empirical tests. So, this is the spillover
19 mechanism, the idea that coordination with respect to
20 non-Claimants somehow affected prices to Claimants, and
21 in that world, I think one can ask the question: should
22 we look at a period that is just OSS 2, because at that
23 time the European Commission found both parties to be
24 involved in an infringement with respect to certain
25 non-Claimants? So if there was a spillover effect,

1 since both parties were involved, perhaps that is
2 the obvious time to identify one. So I think I would
3 want to have a look at -- to see if there's an OSS 2
4 effect.

5 I would also then want to extend that to see if
6 there is an effect for OSS 1 and 2, i.e. where either
7 Autoliv, or Autoliv and ZF/TRW were involved in an OSS
8 infringement found by the European Commission, because
9 even though there might be less of a spillover effect
10 when only Autoliv was involved, one might at least test
11 for that.

12 So I think there are different empirical tests that
13 one can -- can look at to assess this particular theory
14 of harm, not least if the evidence of collusion is more
15 tangential in the so-called early period, because
16 I think it's important to remember that
17 a spillover effect can only arise if there is some
18 pre-existing collusion, effective pre-existing collusion
19 with respect to a group of non-claimant OEMs. If that
20 doesn't exist, there's nothing that can spill over, and
21 because there hasn't been a finding by
22 the European Commission in respect of collusion to
23 non-OEMs outside OSS 1 and OSS 2, then I think one can
24 rightly be open to the possibility that there are no
25 spillover effects arising in the early period, which

1 points to my proposed test, which would be focusing on
2 the OSS 1 period and the OSS 1 period plus the part of
3 -- sorry, the OSS 2 period plus OSS 2 -- plus the part
4 of OSS 1 when Autoliv was involved. So I do think that
5 this particular theory of harm points us to different --
6 different empirical tests.

7 The other point that I would make, which we may come
8 back to, and if so, then I won't elaborate on it in
9 great detail, is that I would -- I would expect that
10 a spillover effect would be substantially smaller than
11 an effect that comes out of explicit collusion. So
12 another, if you like, empirical test is, we can look at
13 the magnitude of the coefficients and think: is this
14 plausible from a spillover effect? Should I explain
15 that --

16 PROFESSOR NEUBERGER: Yes, please.

17 DR MAJUMDAR: So -- okay, thank you, sir.

18 So my thinking is -- is as follows. The spillover
19 mechanism is, first, we have an existing cartel with
20 respect to non-Claimants. So let's take OSS 1 and
21 OSS 2, for example. Now, the European Commission said
22 that this was sometimes effective, sometimes not
23 effective, so we know there was an infringement, but the
24 Commission itself states that, for OSS 2, there were
25 many times that that was not successful. So point

1 number one is, first of all, you need an overcharge in
2 respect of the named customers -- the named OEMs in
3 OSS 1. So that's the first point: there needs to be an
4 overcharge and sometimes there wasn't. That's what
5 the Commission says.

6 Then that overcharge needs to spill over such that
7 the Defendants alter their pricing behaviour. So
8 there's a matched part. So if there's benchmarking, for
9 example, then, let's say -- let's talk about Autoliv,
10 just for -- so I don't refer to the Defendants, just
11 hypothetically. So Autoliv would have set a higher
12 price to a non-claimant, and then for some reason
13 there's some benchmarking and that influences
14 the Autoliv price to what I'm going to call "a matched
15 part", i.e. a similar part that is supplied to
16 a claimant. That's the benchmarking theory of harm.
17 Now, there's -- so that's the sort of second hurdle that
18 needs to be jumped over.

19 The third hurdle then is that -- how -- how well do
20 these parts match. Maybe the parts --

21 PROFESSOR NEUBERGER: Sorry, can I cut short a bit --

22 DR MAJUMDAR: Yes.

23 PROFESSOR NEUBERGER: -- because I do not want to get too
24 much into the non-econometric. I can see your --

25 DR MAJUMDAR: Can I finish my point then, sir?

1 PROFESSOR NEUBERGER: Yes, please.

2 DR MAJUMDAR: Thank you. In that case, I'll keep it very
3 short, and I would, at some point, be grateful if
4 I could just go through the various hurdles at some
5 point, because I do think it's important.

6 So essentially what I'm saying, there's many hurdles
7 to jump over. Because of that, I would expect this to
8 occur infrequently, and moreover, because it's not
9 explicit collusion targeted on the Claimants, I would
10 expect the effects to be smaller. So we have
11 the combination of something I expect not to occur very
12 often and when it does occur not to have a big effect,
13 therefore I wouldn't expect to be seeing a material
14 overcharge from this -- this particular claim.

15 PROFESSOR NEUBERGER: Fine.

16 I accept -- I understand your view, which you expand
17 on in your report anyway. If there is some overcharge,
18 what would be the pattern of it and how would one test
19 for it? I can see that you do not really believe in it,
20 or you believe it would be quite weak and you might
21 never find it, so it might be there and you would not
22 find it, but how would you test whether it is there at
23 all?

24 DR MAJUMDAR: Yes, sir. So I think I would test for it
25 using the -- the same approach in the sense that we

1 would still use the cartel dummy approach, but I would
2 test for, firstly, an OSS -- what I call "OSS main
3 period 2", i.e. a dummy variable that only captures
4 the period in time when both Defendants were part of
5 OSS 2, and the thinking behind that is, if both parties
6 are coordinating with respect to named OEMs, that -- and
7 the Commission has said that that's what they're doing,
8 that seems to be the most obvious time to consider
9 the spillover effect to be likely. So I would consider
10 that period, and then I would also run a separate
11 regression, so run a separate test where I expand that
12 period to be not just OSS 2 but also the Autoliv part of
13 OSS 1, the point there being: well, if Autoliv was
14 involved in a cartel, then maybe something spills over.
15 So those are the periods that I would test. So that's
16 different from the discussion that we had about
17 the first two claims.

18 PROFESSOR NEUBERGER: Mr Hughes, what are your views?

19 MR HUGHES: So one of the differences between this third
20 element is -- is that it assumes that the cartel's
21 established in the Commission decision, so -- and
22 I think the -- I would see this as a question. You've
23 got three alternative hypotheses or claims, and whether
24 there are early period -- you wouldn't expect to find
25 early period effects in the -- in the third permutation

1 of those, in the third permutation, so there is -- so
2 I agree with Dr Majumdar, there would be a difference
3 there quite simply because it refers to the effects of
4 the cartel in -- in -- the effects of the Commission's
5 decisions. So -- and if you do observe early period
6 effects, there's sort of two possibilities.

7 The first possibility is that one of the other
8 theories of harm might apply, i.e. there was a cartel of
9 a broader scope or broader nature that was leading to
10 higher prices. Or, alternatively, there's some -- some
11 feature of my model that doesn't capture the -- doesn't
12 properly capture what's going on with prices and
13 controls of prices, but I do -- you do observe,
14 nonetheless, that prices are higher in the earlier
15 period, and so I think -- I think the early period is --
16 is a feature -- would still be a feature of these
17 models, but how you would think about them and interpret
18 them would be a bit different.

19 Very briefly, if -- if -- if you don't mind, just to
20 comment on Dr Majumdar's other points. In essence what
21 he was saying was: well, you would need to have a cartel
22 over here (indicates) involving the named OEMs and that
23 would need to have had some effects, okay? But
24 Dr Majumdar hasn't looked at all at whether there was
25 any effect in relation to the named cartelists, so

1 I can't make any inferences or assumptions about that.

2 PROFESSOR NEUBERGER: I mean, I wanted to steer clear of
3 views about all the other evidence that has been
4 presented in this case about the -- which go to support
5 or undermine the claims and wanted to focus -- because
6 I understand you end up assessing all that data and
7 coming to different points, but I am just wanting --
8 I think it is really important that we try to understand
9 whether the empirical evidence you have -- sorry,
10 the econometric evidence you have worked so hard to
11 compile actually shifts views to the left or to
12 the right, and it may be that, as experts used to
13 judging econometric evidence, even if you disagree about
14 where you start from, you both agree about how much you
15 shift in the light of the evidence. That was my hope.

16 I think, at that stage, I would like to turn to --
17 I guess it is, finally, to question 35 of the joint
18 memorandum, which is to do with adding the wind-down
19 period to the main period, and so we are looking at --
20 I think it is -- is the most helpful thing to look at
21 Dr Majumdar's report, pages 49 to 50 is the reference
22 I have got for myself? Sorry, that is {E1/6/49-50}.

23 I hope that is right. Yes, "Sensitivity ... to
24 the Wind-down effect" {E1/6/49}. So, yes, if you could
25 re-read that and then look at the table 10, which is on

1 the following page {E1/6/50}.

2 (Pause).

3 Are you happy about that?

4 Maybe I should ask Dr Majumdar to explain exactly
5 what he has done in this.

6 DR MAJUMDAR: Yes, sir. So, as you rightly mentioned
7 earlier on, Mr Hughes' wind-down dummy covers the period
8 of time from March 2010 to March 2011, there or
9 thereabouts, and Mr Hughes includes that because he
10 presents evidence that there were dawn raids around
11 about that time, and so Mr Hughes' hypothesis is,
12 because of the existence of dawn raids at that time,
13 even though this is within the infringement period
14 identified by the European Commission, the nature of
15 dawn raids becoming public could mean that any
16 coordination, any collusion that there was would have
17 stopped or wound down. So what Mr Hughes does is
18 presents a factual basis for distinguishing between
19 the wind-down period and the rest of the infringement
20 period as defined by the European Commission, which
21 I think is a reasonable approach, to be clear, because
22 a factual basis has been given and an ex-ante
23 expectation has been given for which -- for the likely
24 effect.

25 I -- I make the point that that relates to -- that

1 relates to the OEMs affected by the OSS 1 and 2
2 decision. I say that, in principle, that's a valid
3 point, but at the same time, if the concern is
4 spillover effects, how do we know that they stop
5 immediately, for example? We should be open to the idea
6 that they might not. If the Tribunal, for example, were
7 to find evidence of infringing behaviour within this
8 period between March '10 and March 2011, then
9 the Tribunal might think, "Oh, perhaps the wind-down
10 period is not -- is not quite so relevant". So it was
11 being open to the possibility that the wind-down effect
12 might not arise and therefore presenting a sensitivity
13 on that basis. And I should add, for example, when
14 Mr Hughes presents his wind-down dummy for steering
15 wheels, that -- in fact, I think we talked through it
16 earlier when we were helpfully going through Mr Hughes'
17 report, that was statistically significant only at
18 the 10% level, which is -- we can debate this, but
19 the convention, one normally looks at 5%, and so
20 arguably that was not statistically significant, or at
21 least a reason to have a look to see how things change
22 if one drops the wind-down dummy.

23 So this is simply presenting this information for
24 the Tribunal's awareness that the results are not robust
25 to -- removing the effect of the wind -- of

1 the wind-down period. It's no more, no less.

2 PROFESSOR NEUBERGER: Mr Hughes, I obviously want you to
3 reply, but can you just first confirm whether the --
4 sorry, let me put it this way. As I understand
5 Dr Majumdar's test, all he has done is remove
6 the wind-down dummy from your equation and done nothing
7 else to the model. Is that right, and do you accept
8 that this is what happens, as a programming fact, as it
9 were?

10 MR HUGHES: Yes. Yes, sir, all that Dr Majumdar has done is
11 drop this dummy and I -- and I consider his result to be
12 mathematically correct.

13 PROFESSOR NEUBERGER: Fine. So where did you respond?
14 I mean, clearly the numbers are rather different on
15 the second row from the first row, and I was just -- you
16 do not feel this is a good sensitivity test?

17 MR HUGHES: No, I think the entire purpose of the -- one of
18 your earlier questions is: what are we trying to do
19 here? What we're trying to do is we're trying to
20 compare dirty prices and clean prices, and if I believe
21 that prices may have fallen -- and I think there -- and
22 there's a mixture of things, both the dawn raids that
23 Dr Majumdar referred to, but also the number --
24 the participation of the cartelists fell away over time,
25 and if you combine those facts together, I think it was

1 sensible to test where the prices were lower during
2 the cartel -- or during this period of time, and I do
3 find that they were lower and I find that -- and to
4 assume that they weren't lower is effectively mixing
5 together clean and dirty prices again, which will
6 compromise your ability to find an overcharge.

7 PROFESSOR NEUBERGER: Can I just try and pursue a little bit
8 more what happens if you put your two models together.
9 Let us stick with steering wheels. I think, broadly
10 speaking, as we went through the regression, the -- in
11 Mr Hughes' regression, the net effect of the overcharge
12 on steering wheels in the wind-down period is
13 approximately zero. So if I interpret Mr Hughes'
14 results correctly, he is saying there is a 25%
15 overcharge in the main period excluding the wind-down
16 period, so something like four years, I think, or so,
17 four years of 25% and then one year of zero, and then
18 Dr Majumdar's evidence is that over the five years, or
19 whatever is the precise number, there is an average
20 overcharge of 6% -- no, 5.76. How should I reconcile
21 those two results?

22 MR HUGHES: Sir, one of the -- sir, one of the answers --
23 one of the points we discussed earlier this morning was,
24 when you do the averaging, it's also affected by
25 the number of data points, so -- so some periods will

1 have more data points in them and they'll be given more
2 weight, and some periods will have less data points and
3 will be given less weight, and also the model as a whole
4 includes all the variables in the model, so changing
5 the specification may well have a wider effect than you
6 would expect.

7 But my central point is mixing together clean and
8 dirty prices is just a bad idea, and if you have reasons
9 for believing that prices fell towards the end of
10 the cartel period, then it's sensible, if
11 the econometrics suggests there was such an effect, to
12 have a specification that adopts that. And all that
13 Dr Majumdar has found is that prices indeed did fall,
14 otherwise you wouldn't get his results, but you wouldn't
15 find the average of nothing.

16 THE CHAIRMAN: Can I just ask a more basic question?

17 So there was the date on which the dawn raids took
18 place, which may have alerted various parties to
19 the issue, but you have -- how does the -- how does this
20 tell? So you have got a -- and I appreciate we are
21 going to go on to discuss it, but let's take
22 the 30-month figure. You have got an RFQ which is
23 negotiated during the cartel period, you have then got
24 30 months before the start of production, let's say, and
25 then you have got an item in production perhaps for

1 a number of years after that. Can you just explain how
2 that timescale is dealt with when it comes to your
3 analysis of the wind-down period?

4 MR HUGHES: So across the entire model, where we have known
5 RFQ dates, we use those, but those inherently link back.
6 So what -- what I have are start of production prices
7 and then I have a known RFQ date, so I shift those data
8 points to the period of time where I think contractual
9 prices will conclude, and if the contractual prices
10 concluded in the wind-down period, they -- they will
11 have a dummy -- an incremental dummy variable applied to
12 them.

13 THE CHAIRMAN: Is that your understanding?

14 DR MAJUMDAR: Yes, sir.

15 PROFESSOR NEUBERGER: So just following up on that,
16 I understand your point about dilution, but it still
17 does not seem to account -- sorry, you said
18 the comparison between the whole main period dummy, and
19 separately doing the last year and the remainder of
20 the dummy, that that could partly be accounted for by
21 differences in volume. Is that in fact the case, do you
22 know? Is it easy to confirm? Because there would have
23 to be quite a lot more volume per year in the wind-down
24 period for that to be an adequate explanation.

25 MR HUGHES: So it's not volume, it's -- it's number of

1 observations. So it's the number of observations rather
2 than the weight given to those things. But, inherently,
3 what ordinary squares, the technique we're using does is
4 it just produces an answer. So -- so it -- and -- so
5 there's a mathematical algorithm that produces
6 the answer that you've got there. So I don't think you
7 can look at this just from a plausibility perspective,
8 or weight of observations. What -- what
9 the econometrics model is telling you is that it's,
10 quite simply, if you change the specification, you get
11 different results. It doesn't tell you why you get
12 different results, you just get different results.

13 PROFESSOR NEUBERGER: But I see that you are getting
14 a change in answer from a change in the specification.
15 I think what is at issue is whether it is a reasonable
16 change in the specification which should be a valid
17 sensitivity test, or whether it is an obviously poor --
18 whether it is a poor change in specification -- a poor
19 specification, in which case, getting a lousy answer
20 from a poor specification does not tell you very much.

21 MR HUGHES: Sir, I agree with you entirely. If you know
22 that prices were lower in a particular period of time,
23 because that's -- because that's what the econometrics
24 are suggesting, then assuming that they weren't lower in
25 that period of time would be a specification error. So

1 I would agree with you strongly.

2 PROFESSOR NEUBERGER: If the lower prices are detected at
3 a level which is not statistically significant, would
4 that still hold?

5 MR HUGHES: I think I'm going to make a couple of caveats.

6 So I think my view would be, yes, I don't think
7 the statistical significance test of 5% or 10% is
8 a bright line test. I said earlier I don't -- I don't
9 think any econometric results would substitute for
10 thinking, by which I mean thinking critically, I don't
11 mean in a favourable manner to me or Dr Majumdar, as the
12 case may be. If you're observing that there's evidence
13 that price is lower, even if it's at the 10% level and
14 not at the 5% level, I think that's something you should
15 take into account.

16 I think, also, when you're interpreting statistical
17 significance tests, this is quite a short period of time
18 of a year, and therefore the power of that test to find
19 that prices are lower is relative -- this is an
20 incremental dummy on top of the other; that power of
21 that test would be relatively low. But if I have
22 a reason for believing, from the data and from
23 the econometrics, that there's -- the prices were lower
24 in that period, that would be my preferred
25 specification.

1 PROFESSOR NEUBERGER: I understand it is your preferred
2 specification, but does it mean the alternative
3 specification is not one we should take seriously?

4 MR HUGHES: Yes.

5 PROFESSOR NEUBERGER: Thank you.

6 DR MAJUMDAR: Well, naturally, I would dispute that.

7 I mean, I don't want to repeat what I've already said,
8 so maybe I'll just make two quick points.

9 Firstly, it seems to me entirely reasonable to
10 consider this at the least for steering wheels, because
11 convention would say that one would drop the wind-down
12 dummy because it's statistically significant only at
13 10%, and -- and also not significant at all in the -- in
14 the full sample, i.e. the one that does not exclude
15 outliers. So that would be a reason for certainly
16 looking at the steering wheel sensitivity.

17 I would also reiterate the circularity point here.
18 So Mr -- so I do accept Mr Hughes' point that he has
19 provided a factual reason for why one might expect lower
20 prices, namely the dawn raid. So I accept that point.
21 And Mr Hughes says, as a reason, because of that,
22 I might expect lower prices, so he provides a factual
23 reason but also tells you which way the prices would
24 fall, so I accept that putting the wind-down dummy in is
25 -- I have no -- no problem with why he's put it into his

1 regression.

2 However, it does proceed on the basis -- or
3 certainly not taking any account of this sensitivity
4 proceeds on the basis that the wind-down period is a --
5 is a clean period, and it goes back to my point, well,
6 if we always say that lower prices are clean prices and
7 higher prices are not clean prices, then we will find an
8 overcharge, so that's why I think it -- because there is
9 some uncertainty about this, I mean, would we expect any
10 infringing behaviour to stop straight away? We might
11 not. It might take some time to run off. If
12 the spillover effect is one that's just sort of burnt
13 into the memories of people, why would they suddenly
14 stop? So I just think we should be open to thinking
15 about this as an alternative way of viewing the data,
16 sir.

17 PROFESSOR NEUBERGER: Thank you very much. I am going to
18 come on, but I should think after lunch, to -- I was
19 going to go backwards through the joint memorandum and
20 I was going to consider questions 34 and 33 {E1/13/23}
21 in that order, but I think we will do that after lunch.

22 THE CHAIRMAN: Yes. Please do not talk about the case over
23 lunchtime, even with each other.

24 (12.58 pm)

25 (The short adjournment)

1 (2.00 pm)

2 PROFESSOR NEUBERGER: Welcome back.

3 I think what we were going to look at now was
4 question 34 of the joint expert report and I think
5 the right reference, which is about whether one should
6 have a sensitivity of Dr Majumdar with a single dummy
7 for the main period and the early period, and so that is
8 {E1/6/46-48}. I hope. Right. I think it is probably
9 easiest if we turn to table 9, wherever that is
10 {E1/6/47}, which has the data. That is it.

11 Perhaps, Dr Majumdar, you could tell us what you
12 have done here and then I will again ask Mr Hughes to
13 confirm that he is happy that you have done what you
14 have said you have done and that there is no dispute
15 about what the numbers are, only about what the numbers
16 mean.

17 DR MAJUMDAR: Yes, sir.

18 So table 9 considers a number of different ways of,
19 firstly, defining the main period, and then, secondly,
20 if we consider the early and main period together, how
21 one defines the cartel dummy. So "OSS Main Period 1" is
22 where we focus only on main period effects and we define
23 the cartel dummy to be the period when OS -- both
24 parties were in OSS 2 and Autoliv was in OSS 1, so
25 that's when one or both parties were party to

1 a European Commission infringement. "OSS Main Period 2"
2 is where only OSS 2 was going on, so both parties were
3 party to OSS 2 but does not include the Autoliv period
4 of OSS 1.

5 The third row is "MH1 Main Period", so that's
6 the main period that Mr Hughes has presumed, so again,
7 here, the cartel dummy is only in the main period, it's
8 not in the early period, but this -- but here, the main
9 period is defined as per Mr Hughes' approach.

10 The fourth row, "MH1 Early + MH1 Main ...", is where
11 we adopt a cartel dummy for the early period and
12 a separate cartel dummy for the main period, as
13 Mr Hughes has done, but we apply it for airbags,
14 seatbelts and steering wheels.

15 And then, finally, "MH1 combined periods" is where
16 we assume a cartel effect across both the early period
17 and the main period, but we restrict that to be a single
18 cartel dummy, i.e. we don't have a separate one for
19 the early period and a separate one for the main period.

20 What I reported here are the coefficients for
21 the main period cartel dummy. There is another table in
22 the bundle, if we need to look at the early period as --
23 as well, sir, if that would be helpful.

24 PROFESSOR NEUBERGER: Fine, we may turn to that.

25 I will want to concentrate, in the first place, on

1 what you have called your "MH1 combined periods".

2 DR MAJUMDAR: Yes, sir.

3 PROFESSOR NEUBERGER: But I just wanted to confirm first
4 with Mr Hughes that it does what it says.

5 MR HUGHES: Yes, sir, it does.

6 PROFESSOR NEUBERGER: It does, fine. Excellent.

7 So can you go into more detail? I think we are not
8 going to retain all those different combinations of
9 dummies, so we will take it more slowly and focus on
10 the "MH1 combined periods", the last line of that.

11 DR MAJUMDAR: Yes, sir, thank you.

12 So the thinking here is that -- well, actually, just
13 to remind everybody what it is, so I'll take a step
14 back. So this is where we proceed on the basis that
15 there is an infringement both in the early period and
16 the main period and we apply a single cartel dummy for
17 the entirety of that period. So there is no separate
18 dummy for early period, no separate dummy for main,
19 there's a single dummy across the entire period.

20 Then what we see in the table are, firstly, for
21 airbags, we see that the coefficient is 0.01, so that's
22 approximately a 1.6% overcharge, however it is not
23 statistically significant. Seatbelts, -0.0114, so
24 approximately an -- well, there's an undercharge,
25 essentially a zero effect, but it's not statistically

1 significant.

2 Then, finally, for steering wheels, we see 0.3, so
3 that's approximately a 30% overcharge, which is
4 statistically significant at the 1% level.

5 So that's what this row means.

6 Why did I consider it reasonable to consider
7 a single period dummy? My thinking was as follows.
8 I am open to the possibility of breaking cartel periods
9 into different portions, however, before I would want to
10 do that, I would need a good factual reason for doing
11 so. I would need a basis for splitting it into early
12 and main and I would need a prior expectation as to what
13 I might see if I did that, and there is -- there has
14 been no factual basis provided by -- by Mr Hughes in --
15 in his reports for why one would expect a high early
16 period effect and a low main period effect, for example.
17 If anything, I might expect the other, the reverse. So,
18 essentially, all I'm doing is I'm saying, because at
19 the moment -- or, sorry, not at the moment. Because
20 I am unaware of a good reason to break the cartel period
21 into two, I will simply see what happens if I apply
22 a single cartel dummy, which is a standard effect --
23 a standard approach. We talked about that earlier on,
24 sir. This is essentially -- what it should do is just
25 give you an average cartel effect.

1 So what do we then see? Well, we see for airbags,
2 if one does that, there's no overcharge; for seatbelts,
3 if one does that, there's no overcharge; for steering
4 wheels, if one does that, one finds a 30% overcharge.
5 So there's a -- we've materially changed the findings
6 from Mr Hughes' preferred model.

7 PROFESSOR NEUBERGER: Mr Hughes, please, and I am really
8 interested in -- I think you are arguing not only that
9 this is inferior, but it is a sensitivity which is not
10 worth -- which should not be admitted as a serious
11 sensitivity; is that right?

12 MR HUGHES: Yes, it is.

13 PROFESSOR NEUBERGER: Yes. Please.

14 MR HUGHES: So Dr Majumdar emphasised two points of his
15 objection to having two separate dummies. One was that
16 there was no factual basis for there being two separate
17 dummies, and the second one is his prior expectation is
18 that the early period effects will be smaller than
19 the later period effects, okay? I think the whole
20 context of this case is there's uncertainty about
21 whether there are early period effects, and therefore
22 it's appropriate to test whether there are such earlier
23 period effects, and if I find them to be statistically
24 significant, then I should include them in the model.

25 So if we take the seatbelts example. I don't find

1 any early period effects in my model, and if you add
2 together lower prices in the prior, earlier period and
3 the cartel period, I'm not surprised that adding
4 together positive and negatives produce a number that's
5 close to zero. So I think -- I think the first point is
6 a factual point that I think it's appropriate to, if
7 the model reveals that there are early period effects,
8 you should include an early period dummy. I don't find
9 them for seatbelts and I don't include it.

10 His second point is he has a prior expectation that
11 the early period effects will be smaller than the later
12 period effects. I don't have any such prior
13 expectation; I'm asking the data to reveal what is
14 the best estimate of the extent to which early period
15 prices are higher than -- than the main period. I don't
16 have any -- I don't have any reason for believing one
17 particular form or period of collusion would lead to
18 higher or lower prices.

19 PROFESSOR NEUBERGER: Dr Majumdar?

20 DR MAJUMDAR: Thank you, sir.

21 So a couple of points. Now, I will go back to
22 a point I made before lunch on -- on circularity here,
23 because, in essence, if we say I'm asking the data to
24 reveal, what that -- it goes back to this point, if
25 I don't see an early period effect, if I don't -- if

1 I see low prices in the early period, I will assume it's
2 the clean period, therefore I will find a positive main
3 period dummy, because, in essence, I'm allocating
4 periods -- I'm allocating periods to clean or dirty
5 based on whether I see low prices or high prices. So
6 I am concerned with that approach. So that's the first
7 point.

8 The second point, just to be clear, is I didn't say
9 I would expect, I said, if anything, I would expect
10 the early period effects to be smaller. Why? Well,
11 because the European Commission did not find an
12 infringement in the early period. So earlier on, before
13 lunch, we had a discussion when we were talking about,
14 for example, the third -- the third claim or the third
15 version of the claim, for example, and we were saying
16 that actually, under that scenario, we -- we wouldn't
17 expect early period effects. So -- so what I'm saying
18 is it's important, to my mind, for the Tribunal to be
19 aware, if we proceed on the basis that there are early
20 period and main period effects, and if we're not sure
21 which way they should go, it seems to me perfectly
22 reasonable to apply the standard approach, which is
23 a single dummy which should give you the average effect.
24 It's as straightforward as that. And it's interesting
25 that what we see is that the -- the lack of robustness

1 when we do that. To my mind, that is a reason to
2 enquire further: what is that? Is that simply because
3 there's no airbags effect, is it because there is a huge
4 misspecification in the model that's given, it's a very
5 high early period dummy, for example? So it seems to me
6 a sensible piece of analysis to do and, to my mind at
7 least, it calls into question the reliability of
8 Mr Hughes' model.

9 PROFESSOR NEUBERGER: Can I focus on one point which
10 troubles me particularly. I mean, it seems to me there
11 is not a -- which is, again, the question of
12 consistency. If we look at airbags, there is reported
13 elsewhere -- and I think Dr Majumdar's pointed us to
14 another table which has the early period as well, but
15 I think it is from Mr Hughes' report, there is a 29%
16 effect in the early period on airbags in Mr Hughes'
17 report, and in the main period there is an 11% effect.
18 Then Dr Majumdar does a regression where he uses
19 a single dummy for the entire period and comes out with
20 a 2% effect, and it seems to me there is a worry these
21 numbers are very different, 29% early period, 11% main
22 period, taken together 2%. I am not sure how to
23 interpret this. It seems to produce an estimate of
24 overcharge which depends very much on how you divide up
25 the period. Is that wrong? Should I think about it

1 some other way?

2 MR HUGHES: So -- so one of the comments I -- I made earlier
3 was how the econometrics works. Let's suppose we have
4 just one cartel period at the moment, how the model
5 works is you have a -- you have part fixed effects, and
6 so you assume that a part is systematically more
7 expensive or less expensive than average over time, and
8 then -- and then, so long as you have a distribution of
9 that part inside and outside the cartel period, you can
10 identify whether that part in particular is more
11 expensive in the cartel period. If you don't have
12 observations split over time, you won't be able to
13 identify it. So you need to have enough distribution of
14 parts.

15 So one ancillary consequence of having separate time
16 periods is where I have parts that are predominantly
17 purchased during the early period and the main period,
18 what the econometrics can do is work out the relative
19 price -- the relative price differences between parts in
20 the early and the main period, and then the model can
21 then work out the early period and the main period
22 effects in absolute terms.

23 PROFESSOR NEUBERGER: So I am just trying to follow through
24 the consequences of that. Does that mean that the more
25 dummies I put in, the more reliable the result, or

1 the less dummies I put in, the more reliable the result?

2 MR HUGHES: So what -- if you have in this particular case

3 having more dummies, having two dummies --

4 PROFESSOR NEUBERGER: Yes.

5 MR HUGHES: -- enables the model to give you a relative

6 difference where you've got observations between in

7 the early and the main, it enables that data to still

8 inform the overcharge assessment in the two periods. So

9 in this particular instance, having those additional

10 dummies in, gives you a statistical benefit in terms of

11 you can work out the relative prices, because you can

12 only identify -- you can only identify any effect on any

13 cartel period if you have a distribution of prices over

14 different periods of time.

15 PROFESSOR NEUBERGER: But if I thought that there was

16 a distinction between -- within the main period, for

17 example, between the period when both of the Defendants

18 were in a cartel and the earlier part of the main period

19 and introduced another dummy for that, would I get

20 a still better estimate of the overcharges?

21 MR HUGHES: Conceivably, you might, if the effects were

22 different. Conceivably, you might. I haven't done that

23 specific analysis and nor has Dr Majumdar.

24 PROFESSOR NEUBERGER: But I am just worried that

25 the conclusions I draw on the overcharge seem to be

1 quite sensitive to how I divide up periods when I have
2 got no very strong grounds for dividing up the periods
3 one way or another.

4 MR HUGHES: I think -- sir, I understand what you're saying,
5 sir, but I think the question here is, we do have
6 Commission decisions, Dr Majumdar's emphasised that we
7 have Commission decisions, and we have less information
8 in relation to the earlier period, there's less
9 documentary -- less documentary material, and therefore
10 it seems sensible to consider whether the results are
11 different in that period of time and to allow for that.

12 PROFESSOR NEUBERGER: But --

13 THE CHAIRMAN: But -- sorry.

14 PROFESSOR NEUBERGER: Go on.

15 THE CHAIRMAN: So you are taking out the period of
16 the Commission decision, but the Commission decision of
17 course does not relate to these Claimants. So if we
18 just say that our hypothesis is that there is cartel
19 activity as against the Claimants from 2003 through to
20 the end of whatever the end of the period is, in those
21 circumstances, if that was the hypothesis you were
22 testing, it would be appropriate to use a single period;
23 is that right?

24 MR HUGHES: No, because I think what you want to -- going --
25 what you want to have is you want to have an assessment

1 of clean and dirty periods. So -- so what we have from
2 the Commission's decision is we have collusion starting
3 on certain dates in OSS 1, Autoliv then colluding in
4 relation to OSS 1 at a later stage, and therefore you
5 have an -- so the whole period of OSS 1 is subject to
6 collusion and all that happens in -- at a later stage of
7 OSS 1 is Autoliv joins that collusion. So the early
8 period of time, before, as Dr Majumdar here, his OSS
9 Main 1, is still a period where there is collusion going
10 on, it just isn't affecting -- according to
11 the Commission, at least, it just isn't affecting
12 Autoliv.

13 THE CHAIRMAN: So what is the hypothesis for the early
14 period that we are testing?

15 MR HUGHES: Sir, the hypothesis we are testing for the early
16 period is, given that we have documentary evidence
17 that's suggesting collusion involving the Defendants
18 started earlier, it's sensible to have -- to test
19 whether there's evidence that prices are higher during
20 that early period.

21 THE CHAIRMAN: So the hypothesis is collusion during
22 the early period?

23 MR HUGHES: Yes, sir.

24 THE CHAIRMAN: For the later period, the hypothesis is
25 collusion for the later period?

1 MR HUGHES: Yes, sir.

2 THE CHAIRMAN: So why are they being -- it seems to be
3 the same hypothesis. Why are they being treated
4 separately?

5 MR HUGHES: It's the same -- sir, it's the same hypothesis,
6 but I want to test whether that hypothesis is actually
7 supported by the data or not, rather than concluding
8 that it is, because it's possible that there was no
9 early period effect, but I want the data to tell me
10 that, I don't want to presume that that's the case.

11 THE CHAIRMAN: So in what circumstances would it be
12 appropriate to look at a single period?

13 MR HUGHES: So I think it would -- so I think it would be
14 appropriate to have a single period if you think
15 the cartel effects were uniform over that whole period,
16 and I don't think the cartel effects -- on the basis of
17 the evidence that I've seen, I don't think the --
18 I don't think it's right to presume that the cartel
19 effects are uniform over that period.

20 THE CHAIRMAN: Thank you. Thank you very much.

21 PROFESSOR NEUBERGER: Can I just understand on that last
22 point. I would not have thought that it would be part
23 of the hypothesis that cartel effects would be uniform
24 anyway. Is that part of the hypothesis?

25 MR HUGHES: I think, in terms of being uniform, one -- one

1 of your comments earlier today, sir, was, "When
2 I measure a cartel dummy, I'm working out the average
3 effect", okay? So, yes, in that sense. But where you
4 have distinct periods of time, which seems to be
5 a feature of -- and I don't mean anything prejudicial by
6 this, but seems to be a feature of these cartels or
7 whatever words the lawyers want to use, it seems
8 appropriate to test whether those effects are different
9 over time and that's my point.

10 PROFESSOR NEUBERGER: One other technical problem. I mean,
11 you mentioned the fact that part of the difference might
12 come because there are some items -- I do not know what
13 the right term is -- some articles which are only sold
14 in one period and not in another period and they drop
15 out of the comparison, and then when you introduce
16 different periods, they then start to play a part. So
17 part of the shift in numbers seems to be a question of
18 putting a different amount of weight on different parts
19 of the evidence, and what I am wondering, if that is
20 what is happening, is whether that suggests that some of
21 the differences we are seeing between different ways of
22 doing it are simply to do with the way that choosing
23 the dummy chooses which data to include and which not to
24 include.

25 MR HUGHES: So I think the first part is what the method --

1 you're absolutely right, some of the difference of
2 results, particularly in relation to airbags, not in
3 relation to seatbelts and steering wheels, is much
4 the same, or it's similar either way. But that's
5 certainly the case in relation to airbags, is the model
6 -- if you have a single dummy, then the model disregards
7 some data points, or gives them very little weight all
8 together, and those data points therefore no longer
9 contribute to the calculation of the overcharge across
10 the two periods, and therefore you're not making
11 the most of the available data to inform your overcharge
12 assessment, which is what I'm trying to do. But that --
13 that is -- that is a statistical quirk of the model
14 rather than something I built in anyway; it's just how
15 the data's distributed.

16 PROFESSOR NEUBERGER: No, no, I take the point. It's --
17 I guess it comes down to the following question: given
18 the way the model puts more or less weight on different
19 observations depending on where the dummies -- how
20 the periods are defined, does it not make sense then to
21 do a sensitivity analysis where you change the periods,
22 as in the MH1 combined periods? Does that not make it
23 precisely a sensible sensitivity?

24 MR HUGHES: I think, sir, the question then comes as to what
25 the consequence of that sensitivity is. I have no

1 objection to any of, in principle, Dr Majumdar's
2 sensitivities as things to explore. I think
3 the question is, if the consequence of applying that
4 sensitivity is you effectively disregard certain data
5 points, then I think I would prefer a sensitivity that
6 doesn't disregard data points, because I think data
7 points should fundamentally be informing -- informing
8 the results and conclusions I draw.

9 PROFESSOR NEUBERGER: I think this has been a bilateral
10 conversation. I am sorry, Dr Majumdar.

11 DR MAJUMDAR: No, not at all, sir. I mean, I don't think --
12 I mean, a sensitivity test does not disregard
13 observations. I think the point of a sensitivity test
14 is understanding how robust a model is and whether one
15 makes changes, so here, applying the combined period,
16 which I had understood from our earlier discussion was
17 the -- the way that one would test claim 1 and claim 2,
18 and what we see is there is a material change in
19 the coefficient for airbags and the coefficient for
20 seatbelts. So -- so, to my mind, this is an entirely
21 reasonable thing to do, and it's not about disregarding
22 data, it's about sort of weighing in the round
23 the various pieces of evidence. So I'm not saying that
24 one completely throws Mr Hughes' model in -- in the bin,
25 I'm simply saying that one has to view the results from

1 that model in line with all of the sensitivity tests and
2 then come to a view as to where one thinks
3 the overcharge should be given a pre-assumed fact
4 pattern. So if we -- we can presume an early period and
5 a main period effect, we can look at certain regressions
6 and say, if I proceed on that basis, I'll look at these
7 regressions, or we can just look at a main period effect
8 and say, if I proceed on this basis, I'll look at these
9 ones and take a view.

10 PROFESSOR NEUBERGER: Mr Hughes?

11 MR HUGHES: I think I have said all I wanted to say unless
12 you have a follow-up question.

13 THE CHAIRMAN: So in looking at different periods, I mean,
14 within the middle of the main period, we have one of
15 the most profound economic effects or impacts that we
16 know in living memory at least with the 2008 financial
17 crisis, and one might think it a reasonable hypothesis
18 that that would impact the market, whether it ends up
19 neutral or not, it would no doubt impact negotiations,
20 potentially less demand for motor vehicles, the stresses
21 of having to keep people employed and so forth, and
22 neither of you seem to have said, "Well, I'm going to
23 try and isolate 2008".

24 Asking you first, Dr Majumdar, in all your
25 sensitivities, interestingly, that is not one, unless

1 I am misunderstanding, that you have used. Can I ask
2 you, why is that?

3 DR MAJUMDAR: Yes, sir. No, it's an excellent question.

4 So this ultimately comes to -- comes down to how one
5 models demand. So, as you rightly say, the financial
6 crisis was in essence a huge demand shock, so I do
7 discuss modelling demand and one can look at it.

8 I think demand is very complex, and I would think that
9 we need at least two demand controls. So one would be
10 an aggregate demand control, which potentially could
11 pick up the drop in activity. So if you look at GDP or
12 registrations, that -- that would pick up the overall
13 sort of higher level --

14 THE CHAIRMAN: Dr Majumdar, sorry, I do not mean to
15 interrupt. That is not actually what I meant.

16 DR MAJUMDAR: Right.

17 THE CHAIRMAN: You could deal with this by just saying, "I'm
18 going to look at the main period or, if you prefer,
19 the early and the main period prior to 2008", and look
20 at that, and then you can test 2008 through to 2012 or
21 whatever and compare them both to a clean period out
22 in the ...

23 DR MAJUMDAR: I see. Apologies, I misunderstood your
24 question.

25 Okay, so we would -- so the question is, if we drop

1 articles which have an RFQ date within the financial
2 crisis period, just in essence, just say, look, that was
3 just special --

4 THE CHAIRMAN: Or analyse them separately, yes.

5 DR MAJUMDAR: Yes, one could do that. I fear that one would
6 -- I fear there are two issues. One is that one would
7 lose data.

8 THE CHAIRMAN: You would lose data points.

9 DR MAJUMDAR: And we're already -- in terms of actual
10 numbers of new contracts, we're already down in a small
11 sample, so although we have many data points, because
12 a lot of the data points are linked to the original
13 contract, in terms of, if you like, independent
14 contracts we actually have relatively few, so that
15 would -- I think there would be an issue there of
16 actually losing an important number of data points.

17 There would also be a technical issue, which
18 I suspect I won't be able to solve thinking on my feet
19 right now, which is that when Mr Hughes, in his model,
20 models how prices change over time, if we drop that
21 period, we're actually -- so, if you remember, Mr Hughes
22 explained we have a price at the contract and we have
23 a price amendment and we'd actually sort of slice out
24 part of that, which could create some technical
25 problems, which I must confess, I would need to think

1 about, but I -- not a fantastic answer to your question,
2 sir, but I think it would be actually quite difficult to
3 do in a -- in a -- well, in a sensible way, sir.

4 THE CHAIRMAN: Mr Hughes, did you have any comment on that?

5 MR HUGHES: I think Dr Majumdar's correct in the sense that
6 we have to be careful in these sorts of models, where we
7 have a relatively small number of contracts, in dropping
8 data points, which is why I think it's important to look
9 at prices over time. So I think -- I think that's
10 a fair observation.

11 I think it's quite hard to capture the effect of
12 the global financial crisis in -- in sort of models with
13 a relatively small number of variables. This is not
14 a -- this is not a simple thing to capture, so I think
15 it's difficult to capture.

16 I think you could -- but I think what I would say is
17 that this might suggest that when I find -- if one were
18 to find prices were higher over the cartel period --
19 the main cartel period and that were to include
20 the financial crisis, there may be some risks that
21 the cartel variable, picking up Dr Majumdar's point
22 earlier, if you add the financial crisis depressed
23 prices, then there must be some risk that I -- the fact
24 that that hasn't been captured and that occurs during
25 the cartel period may have depressed the assessment of

1 cartel overcharge that I find in that period. But
2 that's not something I've specifically modelled.

3 THE CHAIRMAN: Dr Majumdar?

4 DR MAJUMDAR: Thank you, sir. I think one needs to be
5 careful with that argument. So this was my second point
6 about demand and why we need at least two demand
7 controls, which is that we know that when an article,
8 there's a new contract, there are production costs --
9 new production costs associated with creating that
10 article. I understand that where those -- well, those
11 production costs, if they are going to be recouped over
12 expected volumes, then during a period of the financial
13 crisis, expected volumes will shrink and therefore, all
14 else being equal, production costs would be spread over
15 fewer volumes which would increase price. So while
16 Mr Hughes presents an argument for why you might expect
17 prices to fall, equally there's one why you might expect
18 them to rise.

19 So I don't think that we can infer a direction of
20 bias on -- on that basis. So I do discuss that point in
21 my report, although I don't solve it because we do not
22 have the granular demand control, demand sort of
23 expectation data that we would need to put that into
24 the regression, sir.

25 THE CHAIRMAN: Thank you.

1 PROFESSOR NEUBERGER: Thank you.

2 Can we go then to "OSS Main Period 1" and "OSS Main
3 Period 2", which are at the top of the table. If you
4 could explain, Dr Majumdar, what exactly you are doing
5 here. For example, do you have a wind-down period dummy
6 and so on. How have you done it?

7 DR MAJUMDAR: So I do have a wind-down dummy, so I've
8 retained that aspect of Mr Hughes' model. What I sought
9 to do was to try to retain as much as I could of
10 Mr Hughes' model and then just make one change. So
11 the wind-down dummy is retained and for "OSS Main
12 Period 1" there is no early period effect, there is only
13 a main period effect, but the main period is defined to
14 be OSS 2 plus the Autoliv part of OSS 1. In fact, I can
15 probably find a diagram. Would it be helpful or is that
16 sufficient?

17 PROFESSOR NEUBERGER: I think I am clear about it.

18 DR MAJUMDAR: Okay, very good.

19 PROFESSOR NEUBERGER: It is a period where at least one of
20 the Defendants was in a cartel.

21 DR MAJUMDAR: Exactly right, sir, yes.

22 PROFESSOR NEUBERGER: Yes.

23 DR MAJUMDAR: Yes.

24 Then "OSS Main Period 2" is just the period when
25 both Defendants were in the cartel, sir.

1 PROFESSOR NEUBERGER: So the implication of that, using
2 the "clean" and "dirty" language, is that before they
3 were in a cartel is clean, and then during the cartel is
4 dirty, and after the cartel is clean and the wind-down
5 period is left to be determined by the model?

6 DR MAJUMDAR: That's exactly right, sir.

7 PROFESSOR NEUBERGER: Thank you.

8 So now tell us what conclusions we are being asked
9 to draw from this.

10 DR MAJUMDAR: Yes, sir. So, here, again, I go back to my
11 point, I'm trying to provide the Tribunal with useful
12 information depending on the fact pattern it comes up
13 with. So if the Tribunal were to take the view that
14 early period effects were unlikely but the Tribunal were
15 to take the view that there might be something going on
16 in the main period, then what this allows the Tribunal
17 to do is to ask the question: well, let's suppose
18 the Tribunal was concerned that effects, if they arose,
19 would only be during OSS 2, for example, because there
20 was a spillover effect that was most likely to occur
21 then, if it occurred at all. Then you could use this
22 information to assess -- or it's a starting point,
23 because there are uncertainties and robustness checks
24 and all the rest of it, as a starting point for where
25 the overcharge might be.

1 Alternatively, if the Tribunal's view were that's
2 a bit too narrow, I think it makes sense to look at OSS
3 Main Period 2 plus the Autoliv component, then this
4 gives you information, again, with the starting point
5 for where overcharge might be, which you would then want
6 to robustness check against RFQ dates and other various
7 tests. But that's the idea. It's showing what happens,
8 as you said, sir, by treating the clean period to be
9 clean beforehand, so no early period effect, and then
10 clean afterwards as well, sir.

11 PROFESSOR NEUBERGER: Before I let Mr Hughes come in, I just
12 want to clarify one point then. We are discussing this
13 under the general heading of sensitivity --

14 DR MAJUMDAR: Yes.

15 PROFESSOR NEUBERGER: -- but as I understand what you are
16 saying, it is not an alternative estimate, it is not an
17 answer to the same question as we were asking before, it
18 is an answer to a different question. It is not asking
19 the question of how much the overcharge was in the whole
20 suspected infringement period, it is asking a question
21 which is how much the overcharge was to the -- in
22 the PSA database in a different period.

23 DR MAJUMDAR: That's exactly right. It proceeds on
24 a different basis, sir, that's exactly right.

25 PROFESSOR NEUBERGER: So it is not really a sensitivity test

1 and there is no particular reason why I should expect
2 the numbers here to be the same as the numbers that
3 Mr Hughes comes out with?

4 DR MAJUMDAR: I think that's -- I think that's -- I think
5 that's fair to say. It's -- it's not -- you're right,
6 it's not a sensitivity test in -- in that sense, it's
7 more presenting the Tribunal with additional
8 information. However, given the amount of uncertainty
9 that there is, it may be that if you saw systematically
10 you always found the same outcome irrespective of
11 the presumed fact pattern, you might feel more
12 confident. So in that sense it is, but I do take your
13 point, sir.

14 THE CHAIRMAN: Can I just clarify this. So when you have
15 picked the earlier period as being "clean", why is that
16 forming part of the hypothesis? Is the better
17 hypothesis, even on this model, not that we have
18 a hypothesis as to having a clean period after 2013,
19 that is a reasonable hypothesis, we have a clean period
20 then, but for the early period we have no -- we just
21 have no information one way or the other as to whether
22 or not that is a clean period, and then why include it
23 as a clean period? Do you have any comment on that?

24 DR MAJUMDAR: Sir, my -- yes, sir. So my comment would be
25 that because the European Commission found no

1 infringement during that period, then I would not --
2 I think that's a reasonable starting point for saying,
3 well, in that case, one should be open to there being no
4 -- no cartel effects. So -- so one should be open to
5 there being no -- that being a reasonable clean period
6 simply because no collusion was found with respect to
7 non-Claimants or the Claimants by
8 the European Commission. So that seems to me
9 a reasonable starting point. All the more so if
10 the theory of harm is a spillover theory of harm,
11 because of course in that scenario you need there to be
12 some sort of collusion that spills over to
13 the Claimants. So I can see -- is that clear, sir?

14 THE CHAIRMAN: I understand your answer, yes.

15 DR MAJUMDAR: Very good.

16 THE CHAIRMAN: Mr Hughes? Sorry, we have not heard from you
17 for a little while on this.

18 MR HUGHES: I think, from my perspective, the exam question
19 I'm trying to ask, without any presumption there's
20 either a main period effect or an early period effect,
21 I am just trying to get the data to tell me does -- are
22 there -- are there statistically significant periods of
23 overinflation in those period, yes or no. If I don't
24 find an early period effect, I don't think it should be
25 part of any damages claim and that's what the situation

1 is, the seatbelts. So I think -- I think the whole
2 purpose of this is to let the data do the talking and
3 choose whether there is an early period or a main period
4 on the basis of what the data tells us. I'm
5 uncomfortable, whether it -- whatever language you want
6 to use, I'm uncomfortable with a modelling assumption
7 that both includes the assumption that there's no
8 earlier period, but also there's no -- there's no effect
9 on prices prior to Autoliv's participation, and
10 the cartel involving, bearing in mind we do have
11 a Commission decision saying there was collusion
12 involving other parties even if Autoliv wasn't involved.

13 PROFESSOR NEUBERGER: Can I put the question another way.

14 Supposing one specialises the Claimants' indirect
15 effects claim, and I fully realise that it is narrower
16 than the claim they actually make, to say that they were
17 affected by the fact that their suppliers,
18 the Defendants, were actually in a cartel shown by
19 the Commission, they make -- the only cartel that they
20 -- that is part of their case is the cartels as found by
21 the Commission. If that is the restriction, and then
22 I say is there evidence in the data that they were
23 adversely affected, their prices were higher, because of
24 that, is this the sort of test you would do, or would
25 you do something different?

1 MR HUGHES: I think the problem with this test is it assumes
2 what it's supposed to be finding out, and in particular
3 it assumes that the early period, or the prior period,
4 was completely unaffected, and then it says, if you make
5 that assumption, did-- did the Defendants -- were prices
6 higher during that narrower period? So I think --
7 I think I have a conceptual question about whether
8 that's the right thing to do. So that's my first point.
9 So I think it's the wrong thing to do, let me be
10 blunter.

11 The second thing to do, in terms of capturing this
12 effect, all I can observe is I can observe whether
13 Autoliv's prices are higher. I can't -- I can't
14 disentangle little sub-periods of this, I think that's
15 very difficult to do with very -- as Dr Majumdar's has
16 rightly emphasised, there's a relatively small number of
17 data points. But I certainly think -- I certainly think
18 it would be problematic to assume that the early period
19 and prior to Autoliv's involvement in the cartel was
20 competitively neutral and prices were normal then.

21 PROFESSOR NEUBERGER: So just to follow on, if I was -- I am
22 trying to test a claim against the data and you are
23 saying that the best -- that claim, the best way of
24 doing that is to run a regression model with a prior --
25 a before, during and after dummy, as it were, and

1 obviously one dummy is redundant, but ... and then what
2 I am not entirely clear of, once you do that, if you are
3 trying to see whether there is evidence of an overcharge
4 during that effect -- during -- from that analysis, what
5 would you be looking for which would support the claim
6 that the prices are higher in the cartel period and that
7 they -- higher than in the after period or in the before
8 period? What would one be looking for?

9 MR HUGHES: If I answer and then make sure that I'm properly
10 answering the question, sir.

11 PROFESSOR NEUBERGER: Yes, surely.

12 MR HUGHES: So my understanding of your question, sir, is --
13 is, for OSS 1, we know that Autoliv joined that cartel
14 later in the process. So my understanding of your
15 question -- and correct me if I'm not understanding
16 correctly -- is, do you want to work out the extent to
17 which prices were higher after they joined the OSS 1 --

18 PROFESSOR NEUBERGER: No, I guess -- I guess there are
19 complexities about which -- because of the imperfect
20 overlap. Let us try and keep the question simple. Let
21 us suppose that we are just talking about the effect of
22 the cartels as found by the Commission and leave aside
23 the fact, for the moment, that Defendants were
24 differentially involved in those cartels. What I am
25 asking is, if you have got a cartel period which is well

1 defined, you are saying, in general, that the best way
2 of telling whether there is any overcharge is by doing
3 a before, during and after with the before and after
4 being separate?

5 MR HUGHES: Yes, I would like to have, particularly -- so,
6 yes, sir, the answer to the question is I would like
7 a -- I would like the clean period to be as long as
8 possible to give me more data points, particularly as,
9 Dr Majumdar's rightly emphasised, we have a relatively
10 small number of new contracts, so I'd like to leverage
11 both the prior period and the -- and the later period as
12 well.

13 PROFESSOR NEUBERGER: But you would have -- but unlike
14 Dr Majumdar, as I understand it, you would like to have
15 a separate dummy for the pre-cartel period?

16 MR HUGHES: Yes, sir.

17 PROFESSOR NEUBERGER: Fine. Fine. That the -- then
18 the analysis you would do of overcharge would be based
19 on the difference --

20 MR HUGHES: Between the completely clean period at the very
21 early beginning and the late period and those -- those
22 periods there (indicates).

23 PROFESSOR NEUBERGER: The coefficient on the pre-cartel
24 period would have no importance in your analysis?

25 MR HUGHES: It was -- precisely, because it wouldn't be --

1 I wouldn't -- my main period cartel dummy would be based
2 on comparisons outside that period of time, and
3 the pre-period would just be the extent to which those
4 prices are higher or lower, as the case may be.

5 PROFESSOR NEUBERGER: Dr Majumdar.

6 DR MAJUMDAR: So I think the difficulty I have with that
7 scenario is that, if you do that and you see that
8 there's a statistically significant early period effect,
9 that's probably telling you that your model is
10 misspecified. So, remember, here, we're proceeding on
11 the basis of a -- of a main period effect, and if we're
12 saying we're just going to use a dummy variable to
13 control for anything that's going on in the early
14 period, well, if we've got a missing variable in
15 the early period, high costs, for example, then, yes,
16 it's correct that the dummy variable will control to
17 some degree for missing costs in that period, but it
18 won't fix the problem that you have a missing variable
19 during the main period and a missing variable in
20 the clean period.

21 So, unfortunately, I don't think it's quite -- quite
22 as simple as simply just having a dummy variable for
23 the early period.

24 PROFESSOR NEUBERGER: Okay. I think we have probably
25 exhausted on that one.

1 Can I move on, jumping ahead, to what is a -- what
2 I regard as another sensitivity test, which is
3 question 52, restriction to new contract prices only,
4 which I think is at {E1/13/35}. Is there some other
5 table that we might have to look at? Sorry, {E1/13/35}
6 is the expert -- is that the expert report? Which table
7 should we look at for new contract prices?

8 DR MAJUMDAR: Sir, perhaps -- let me just find the reference
9 and I will give it to you -- we could look at {E1/19/4}.

10 PROFESSOR NEUBERGER: Thank you very much.

11 DR MAJUMDAR: I should -- let me talk you through these
12 tables, because you may not have had much time to digest
13 them, because I think they probably are quite recent.

14 So -- yes, so if we look --

15 PROFESSOR NEUBERGER: Could you start by just explaining
16 the context --

17 DR MAJUMDAR: Oh, of course, sir, yes.

18 PROFESSOR NEUBERGER: -- for why we are looking at new
19 contract prices --

20 DR MAJUMDAR: Yes.

21 PROFESSOR NEUBERGER: -- and so on.

22 DR MAJUMDAR: Of course, sir, I do apologise. You're
23 absolutely right.

24 So the idea here is that Mr Hughes found only a new
25 contract effect, and we've discussed earlier on this

1 morning that prices are linked to the new contract
2 price. So, in some senses, the action is primarily in
3 the -- in the new contract price because after that,
4 prices decline and Mr Hughes doesn't find that they
5 decline at a different rate in the cartel period or
6 versus other periods. So the idea of this test is to
7 say, well, given that, given that really what we're
8 interested in is the new contract price, if we focus
9 only on that, only on the new contract price, do we see
10 that the coefficient, the estimated overcharge, is
11 materially different. And so by focusing only on
12 the first contract price, the new contract price, it
13 means that we drop data on price amendments and focus
14 only on the first price, and what I'm interested in is
15 not so much statistical significance, because we're
16 removing data which means that we have a little bit less
17 power in -- in the test, what we're interested in is
18 the extent to which the coefficients move around,
19 because if there is a new contract effect, one would
20 expect the -- the coefficient to be broadly similar, and
21 so -- so what I'm doing with this sensitivity is asking
22 the question: how stable are the coefficients to this
23 model that focuses squarely on the new contract effect,
24 which is the effect that's claimed.

25 PROFESSOR NEUBERGER: Fine.

1 I just wondered, Mr Hughes, if you wanted to say
2 anything about this, or whether you would prefer to keep
3 your remarks until after we have seen the data?

4 MR HUGHES: So, very, very briefly, I think the conceptual
5 question we're trying to explore, something you have to
6 be very careful about in econometrics is throwing away
7 data points. So, inherently, I think that we're looking
8 for prices being systematically higher over time for
9 these parts. So what Dr Majumdar does through his
10 methodology is he reduces the number of observations
11 he's got very substantially, but also I have a large
12 number of control variables in my model. So -- so
13 there's a statistical concept called "degrees of
14 freedom". So if you deduct the observations from
15 the number of data points, I start collapsing my -- you
16 get -- I lose a lot of data, not a little bit of data,
17 I'm losing a lot of data, and the degrees of freedom
18 I have left for steering wheels is 36 and the highest
19 degrees of freedom I have for -- for ... is 88 for
20 seatbelts. So it's very hard, in econometric
21 techniques, to find anything at all when you're trying
22 to run models with a very small number of data points.

23 So Dr Majumdar made the point that he's not so much
24 interested in statistical significance but the
25 coefficients, but if you throw away a large body of

1 data, which is effectively what this approach does --
2 this sensitivity does, it makes it very hard to find any
3 overcharge, and I'm actually quite surprised that we --
4 on some of the specification or some of the product
5 categories you do find an overcharge at all simply
6 because of the scale of the data that's been
7 disregarded.

8 DR MAJUMDAR: So my -- my response to that is, I fully
9 acknowledge that statistical significance is, as I said
10 earlier on, is not something that one can place too much
11 weight on, but my point is, the prices -- I'm not
12 throwing away contracts. Yes, it's true that we remove
13 some information, because we remove information on price
14 amendments, but as we discussed this morning, the price
15 amendments are linked to the original contract price, so
16 the action is in the contract price. I'm not throwing
17 away contracts, I'm just asking the question: if we
18 focus upon the main contract price, the first contract
19 price, do we see a robust effect or not? In my opinion,
20 we do not, hence the analyses here. So that's -- that's
21 the aim of the sensitivity is to understand robustness
22 of the new contract effect by focusing on --

23 THE CHAIRMAN: Sorry, just a very basic question. How do
24 you know if you picked up the amendments? Are all
25 the amendments visible?

1 DR MAJUMDAR: So -- so for the purpose of this sensitivity
2 test, there are no price amendments in the -- in
3 the data --

4 THE CHAIRMAN: You have taken out the amendments?

5 DR MAJUMDAR: Exactly right, sir. Exactly right.

6 THE CHAIRMAN: Have you been successful in that task of
7 pulling out the right contracts?

8 DR MAJUMDAR: Yes, sir, because they are clearly identified
9 in -- in the dataset.

10 THE CHAIRMAN: In the dataset, I see.

11 PROFESSOR NEUBERGER: Is there any -- I mean, I am just
12 interested. You throw away a lot of data in terms of
13 number of data points, but clearly the amount of
14 information that you are throwing away is rather less in
15 some intuitive sense in that they are the prices for
16 the same contracts at somewhat later in time. Is there
17 any sense here -- I do not know if there is a way of
18 formalising the amount of actual information you are
19 throwing away or is it only measured in the number of
20 data points you are throwing away?

21 DR MAJUMDAR: Yeah, I don't know the answer to that
22 question, sir, to be honest, in terms of how one would
23 -- I mean, the point you make is right, intuitively,
24 you're not throwing away -- the amount of data points
25 you -- you throw away may sound large, but in terms of

1 information lost, as it were, that's actually small
2 relative to that because the data being thrown away is
3 all linked to the first price that we're keeping, but
4 I couldn't put a figure on that, sir.

5 MR HUGHES: I think there's two points I disagree with in
6 the exchange that's happening at the moment.

7 PROFESSOR NEUBERGER: Sure.

8 MR HUGHES: First of all, you are entirely -- on this
9 sensitivity, you are entirely resting the results on
10 a single data point rather than looking at a whole
11 series of data points in time, and those individual data
12 points, so a single data point, can be affected by
13 a whole range of things, cost, demand, et cetera, so
14 there's a whole range of things that may have -- that
15 may affect that data point, and also -- so I think you
16 are resting your entire modelling on one data point.

17 The other thing that Dr Majumdar said was important
18 is that subsequent prices are linked, so I think you're
19 more likely to get a representative, an actual and
20 accurate assessment of what the effect was overall is
21 if, rather than relying on one data point, you rely on
22 the series of information you have on the price of that
23 new contract over time.

24 PROFESSOR NEUBERGER: Sorry, this is a kind of horribly
25 vague question, but in my mind, listening to

1 the evidence -- the factual witness evidence, I just
2 kind of picture that after hard negotiation, you agree
3 on a price of €18.73 for a seatbelt and you agree that
4 will go down by 2% a year for the next three years and
5 then maybe a little bit up or down. In other words, my
6 picture of the data is that the price changes after
7 negotiation are small, but that is only a picture and
8 you know the data. I mean, your point -- make the point
9 that you are taking out one data point and resting
10 everything on it, what I do not have any feel for is
11 whether that data is really very representative and
12 there is very little variation within the item, or there
13 is a lot of variation.

14 MR HUGHES: So, I can't answer -- sir, I can't answer your
15 very specific question across the entire dataset --

16 PROFESSOR NEUBERGER: Sure.

17 MR HUGHES: -- that's too complicated a question. But what
18 I have looked at is for steering wheels, we have
19 contractual prices, and this is in one of
20 the spreadsheets we've disclosed, we have contractual
21 prices that were agreed for the start of production for
22 17 articles, okay? And what I find for those 17
23 articles is there's -- for many of them, there's a big
24 gap between the contractual SOP price and the actual SOP
25 price. So just to put that in context, what I find is

1 that for about a third of the parts, so for eight out of
2 -- sorry, my apologies, for six out of 17, I find that
3 the price differences are plus or minus 6%, but for over
4 half, and in particular nine out of 17, I find that
5 the price differences from the contractual price to
6 the -- to the start of production price is plus or minus
7 around 30% or more. So there are some big -- so there
8 are some big price negotiations going on --

9 THE CHAIRMAN: After the contract?

10 MR HUGHES: -- after the contract is concluded.

11 PROFESSOR NEUBERGER: The prices that you are explaining are
12 the actual prices, not the contract prices, or the --

13 MR HUGHES: So the prices I'm explaining are the actual
14 prices that are being paid.

15 PROFESSOR NEUBERGER: Right, so this is a comparison between
16 the contract price and the actual price --

17 MR HUGHES: So -- (overspeaking - inaudible) --

18 PROFESSOR NEUBERGER: -- at the start of production?

19 MR HUGHES: -- is a comparison of two -- what the contract
20 said the start of production price would be and what
21 the actual start of production price was.

22 THE CHAIRMAN: But the contract price is not in your model,
23 is it?

24 MR HUGHES: Sir, the contract price --

25 THE CHAIRMAN: I thought you just took the monthly actual

1 prices.

2 MR HUGHES: Precisely. This goes to my -- so the context of
3 the question or my interpretation, and if I've got that
4 wrong I apologise, but the context of the question
5 I think was if we get -- are these prices immutable
6 things that are fixed in stone or are they things that
7 might be high or low at a certain point and move
8 according to various things, and my opinion is that
9 they're not entirely fixed in stone, they're linked in
10 the way that Dr Majumdar's described, and therefore
11 I think it would be better not to discard
12 the information on their overall level over time rather
13 than relying on a single data point.

14 THE CHAIRMAN: But are you seeing that variation once you
15 start production and you start supplying the item?
16 Absent amendments, are you then seeing these variations?

17 MR HUGHES: Yes, you -- you do see variations, and
18 the actual amendment -- so the -- as Dr Majumdar said
19 already, I don't find any difference, with the exception
20 of a small difference for airbags, I don't find any
21 difference in trends, price amendment trends, during
22 the cartel period and outside the trends.

23 DR MAJUMDAR: Sir, I just want to be clear on Mr Hughes'
24 point. So, I think, if I understand Mr Hughes
25 correctly, this is a piece of analysis, I'm not aware

1 that it's in Mr Hughes' first or second report, and it
2 relates to 17 steering wheel -- steering wheel articles.
3 I just want to check that -- I just want to sort of --
4 I'm trying to understand how reliable this -- this piece
5 of information is, that's all --

6 MR HUGHES: So --

7 DR MAJUMDAR: -- because I'm not --

8 MR HUGHES: -- I was responding to a question --

9 Sorry, we shouldn't have side chats.

10 PROFESSOR NEUBERGER: No, sorry, I just, at the bottom of
11 it, when I am looking at these data and comparing it
12 with the original, the question in my mind is -- one
13 hypothesis is that although you are throwing away a lot
14 of data points, you are not really throwing away a lot
15 of data, and therefore if you come out with a different
16 answer, then I ought to be disturbed.

17 The other hypothesis is actually you are not only
18 throwing away a lot of data points, you are actually
19 throwing away a lot of data, because the start of
20 production price is not that representative of
21 the prices which follow. As far as I can see, on
22 the basis of the evidence we have got before us, we are
23 in no position to take a view on that ourselves; is that
24 right?

25 DR MAJUMDAR: I have not done the check that you suggest we

1 should have done so I cannot confirm -- therefore
2 I cannot confirm that the first price is as
3 representative of the sort of -- of what follows.

4 I would need to check the data, so I --

5 PROFESSOR NEUBERGER: But, to put it crudely, there are two
6 possible inferences from the change between Hughes and
7 Majumdar. One is that it shows that Hughes' model is
8 very fragile, the other is that Majumdar is throwing
9 away a lot of data, and it's quite difficult for us to
10 be sure which it is.

11 DR MAJUMDAR: I think that's fair.

12 PROFESSOR NEUBERGER: Do you agree, Mr Hughes?

13 MR HUGHES: So I think -- so I think the reason why I'm
14 hesitating is because -- is the -- I think information
15 on prices over time will be inherently more valuable
16 than taking a single data point. So I think that's --
17 that's the area of difference between the two of us on
18 this point, and, again, it's not disregarding contracts
19 but it is involving disregarding information on average
20 prices over time, which is what my model's measuring.
21 So I think that's my -- and I'd rather have an average
22 that's based on lots of numbers than based on a single
23 number.

24 PROFESSOR NEUBERGER: No, and --

25 MR HUGHES: That's all I'm saying, sir.

1 PROFESSOR NEUBERGER: Yes. No, but I understand, but it
2 is -- this is -- this is really a question of
3 a robustness check in the sense of saying: if I look at
4 this subset of the data, does it come out with
5 the answers -- with the same answers? It's not
6 challenging -- it's not wanting to substitute
7 a different estimate, it is trying to -- and what you
8 are saying, I think, is that, well, it comes out with
9 a different number because it has not taken account of
10 important data.

11 MR HUGHES: I think that would be my answer.

12 PROFESSOR NEUBERGER: Yes.

13 MR HUGHES: I'm also -- I'd also be very worried about
14 running regressions where I've got a very small number
15 of data points, because, frankly, anything can happen
16 with those regressions because they don't have much in
17 the way of statistical powers, I think Dr Majumdar and
18 I agree.

19 PROFESSOR NEUBERGER: Yes, yes. Fine. I guess it is just
20 worth looking at the numbers just to make sure that we
21 have seen them. Maybe Dr Majumdar can just take us
22 through them so we know what is happening.

23 DR MAJUMDAR: Yes, of course, sir.

24 So the second row down says:

25 "New Contract model (considering the new contract

1 effect in isolation ..."

2 So that's the first row we should be looking at.
3 And the coefficient you see there for the early period
4 is 0.18 for airbags, which would compare to about 0.3,
5 I think, with -- I should actually get -- with -- with
6 the Hughes model. So in that sense there's a -- you go
7 from 0.3 to 0.18 which would suggest that
8 the coefficients are not stable.

9 If you go down to the one below, which is exactly
10 the same model but just shifting the trend in time by --
11 not to start at the new contract date but the first
12 price amendment date, so it really is literally just
13 a change in how you define the trend, it's not material.
14 What's remarkable is that the -- is the coefficient then
15 drops from 0.18 to 0.03 which just makes me think
16 there's something fragile in -- in that airbags model.

17 If we move across to the main period for airbags, we
18 see 0.164 and then that drops down to 0.05, and this is
19 -- is this pace all right?

20 THE CHAIRMAN: Yes.

21 DR MAJUMDAR: Thank you. So in the main period effect for
22 seatbelts we've got 0.05, which would compare to about
23 -- I can't remember exactly, about 0.2, so that's a lot
24 smaller.

25 For the early period for steering wheels, that's

1 probably similar to what you see in the Hughes model for
2 the new contract and it drops down to 0.23 on the one
3 below, so there's not that much variation there.

4 And if you go, finally, to the main period for
5 steering wheels, there's a substantial drop from
6 the Hughes model to 0.03 here, and in the one below
7 minus 0.02.

8 So what I'm seeing here is that most of
9 the coefficients are jumping around quite a lot when we
10 tried this sensitivity.

11 PROFESSOR NEUBERGER: So just to make sure I have got this
12 right also. The difference between the two new contract
13 model lines being so substantial says that your model is
14 quite -- I mean your version of Mr Hughes' model is very
15 fragile and that is the right conclusion to draw from
16 that?

17 DR MAJUMDAR: Yes, in the sense -- so, yes, comparing 1 and
18 2, literally the time trend -- trend has just been
19 shifted forward in time by a small amount, which is --
20 and that does have some material impact on the estimated
21 coefficients, yes.

22 PROFESSOR NEUBERGER: But I mean, it sounds like a fair
23 sensitivity test which works against the author?

24 DR MAJUMDAR: In the sense -- sorry, run that past me again?
25 Sorry.

1 PROFESSOR NEUBERGER: So if I am considering how reliable
2 the new contract model numbers are --

3 DR MAJUMDAR: Right.

4 PROFESSOR NEUBERGER: -- then the fact that a minor change
5 which is perfectly arguable for the new contract model
6 shifting the coefficients a lot means that the new
7 contract model is certainly itself quite fragile.

8 DR MAJUMDAR: Oh, I see, sir. Well, that's a good
9 challenge. I mean, my response to that would be,
10 that's -- really what's happening -- it's really in
11 airbags where you see this, and so my response to that
12 would be I just get a sense that there's something quite
13 fragile in that airbags model, because we've looked at
14 it and the coefficients jump around a lot whether we
15 look at the combined period or whether we look at this.
16 So I think that's a fair challenge that you make, sir,
17 but my response would be it's really in the -- in
18 the airbags where we see this happening, which -- which
19 could be a fragility in the airbags full model.

20 PROFESSOR NEUBERGER: Another point just to confirm,
21 the standard errors on -- I mean you are reflecting
22 the dropping of information, the standard errors on
23 the estimates are much larger once you drop the new
24 contract -- drop the old contracts, as it were?

25 DR MAJUMDAR: Well, they are -- they're shown here. So

1 we've got 0.096, 0.077, which compares to sort of 0.06
2 or 0.07, so they have gone up to some degree, as one
3 would expect.

4 PROFESSOR NEUBERGER: Before I leave the question of
5 sensitivities, I just wondered if -- I mean, we have
6 touched on this notion of fragility a number of times.
7 Is there anything specific about the model that makes it
8 fragile, or -- I mean, is there some particular reason
9 why we get quite significant changes for some reason, or
10 have we canvassed everything there is to canvass
11 about it? Dr Majumdar?

12 DR MAJUMDAR: In the airbags model in particular?

13 PROFESSOR NEUBERGER: Well, yes, is there something special
14 about airbags?

15 DR MAJUMDAR: Well, the -- well, in technical terms, I don't
16 know. I mean --

17 PROFESSOR NEUBERGER: In technical terms!

18 DR MAJUMDAR: We're running -- I mean, essentially, in
19 essence, the same model is being run for airbags as is
20 being run for seatbelts as is being run for steering
21 wheels in terms of the structure of the model,
22 the characteristics/controls are slightly different and
23 they're tailored to the relevant products. Ditto
24 the indices on raw materials. But -- so it's not
25 obvious that there's something special about airbags in

1 that sense.

2 PROFESSOR NEUBERGER: There is nothing about modelling
3 decisions that would make the model more stable or less
4 stable?

5 DR MAJUMDAR: Not that I'm aware of, because ultimately it's
6 -- as I say, it is really, in terms of the model set up,
7 it's pretty much the same one applied to each -- each
8 product.

9 PROFESSOR NEUBERGER: Mr Hughes, I mean, you have spoken
10 a bit about -- over the course of the hot tub about
11 the effect of items coming in or out of particular
12 periods. Is there anything otherwise, any other
13 observations you would make about the stability of
14 the model?

15 MR HUGHES: I think -- I think one of the questions with
16 this -- this sort of exercise, this table that's in
17 front of us, is to -- is to what extent one attaches
18 weight to -- I think this is perhaps a question for you
19 as a question for me -- how much -- to what extent does
20 one attach weight to alternative specifications which --
21 which can be put forward as opposed to
22 the specifications that I put forward. I think one of
23 the comments that Dr Majumdar was making -- or
24 Dr Majumdar was making in relation to his new contract
25 model, for example, was that he wasn't -- he was

1 particularly interested in whether the signs on
2 the variables were still -- were still -- were moving
3 around or whatever, but what you do observe is you do
4 observe that all those coefficients continue to be
5 positive on his main variable, main alternative, and
6 what you've -- what you will do if you reduce the sample
7 size is you will -- you will very much expand
8 the standard errors. So you do find -- so -- so when we
9 discussed standard errors increasing, if they double or
10 increase by 50%, it's going to make it much harder to
11 find anything at all and it's much more likely that
12 the results that you find will be noise rather than
13 information.

14 PROFESSOR NEUBERGER: Thank you.

15 I was proposing to turn to omitted variables, but is
16 that a good moment to break or ...? You want to do
17 omitted variables.

18 I should start, I guess, with Dr Majumdar, but
19 before doing so, I just -- if you could explain, we have
20 discussed omitted variables in general terms already and
21 how these models cannot be expected to account for
22 everything anyway. What is the -- if you could help me
23 with the specific -- explain what the specific concerns
24 are about omitted variables in the context of this
25 model.

1 DR MAJUMDAR: Certainly, sir. So my concerns are based on
2 both cost and demand controls. So when it comes to
3 cost, my concern is that the only cost variables we have
4 are very aggregate. So we do not have costs for
5 a particular contract, which means that we can't control
6 for contract costs and we can't see how each contract --
7 the cost of each contract could vary over time. We can
8 -- we only have a very aggregate measure of cost, which
9 means that, bearing in mind the cost, one would imagine,
10 is a pretty important determinant of price, we just,
11 sadly, do not have as granular data as we would like to
12 properly model prices. So that's my first point. So
13 that is an omitted variable.

14 The next question is: where is the bias? Which way
15 may it take us? And the data from Autoliv and the data
16 from ZF/TRW indicate that in general, i.e. on a very
17 aggregated basis, costs were higher earlier in
18 the period for airbags than later in the period. So
19 the sort of costs are doing that (indicates), which
20 suggests to me that there are -- if there is an omitted
21 variable basis because we do not have granular cost
22 information, the chances are it's going to be giving --
23 causing the high estimated overcharge, because we're
24 missing -- we're missing a high cost in the earlier
25 period, which means the cartel dummy is probably picking

1 up the missing cost variable.

2 So when it comes to airbags, I think we have an
3 omitted variable that is liable to lead to overstating
4 the early period and main period effects. When it comes
5 to seatbelts and steering wheels, the same problem
6 applies in the sense of we don't have the granular cost
7 data that we would like to give us confidence in our
8 model, but it's less clear to me which way the bias
9 would go in terms of not being able to control for them.
10 So that's my cost concern.

11 When it comes to demand, we talked a little bit
12 about this before. In -- the cost controls used by
13 Mr Hughes are essentially very aggregate and raw
14 material controls, they're like indices, so they're
15 aggregate indices that trend over time, in some
16 instances it's just like having a time trend, so that
17 doesn't allow us to pick up something like production
18 cost, so if a new product arises, there's a new
19 investment decision that's made, a new amount that's
20 required to be spent, that then, as we discussed earlier
21 on, is going to have to be spread over the volume --
22 the forthcoming volumes. We don't have any way of
23 modelling that ... that demand, so all else equal, two
24 parts are the same, well, one part with relatively few
25 expected volumes and another part with lots of expected

1 volumes, they're going to have different prices and we
2 just had no way of picking that up in the data. So
3 we're missing these granular demand and cost controls
4 which one would expect, on the basis of the facts,
5 you know, we would expect costs would influence price,
6 we would expect these demand factors would influence
7 price but we just can't put them in the model.

8 Now, it's hard to say which way the bias would arise
9 on those demand controls, but we know that -- so
10 therefore it's a question of weight, how much confidence
11 can -- can you have in a model that is -- is missing two
12 potential key -- key influences.

13 Then the third one would be the characteristics. So
14 Mr Hughes, in his model, has a few characteristics which
15 seek to control for the -- the features, for example, an
16 airbag, you know is it a side airbag or the size of
17 the airbag, and there are, I think, four characteristics
18 that controls. But what I do when I run a test -- and
19 it's mentioned in annex 7 of my report -- I ask
20 the question how well are these characteristics actually
21 explaining price, and what I find is that if you group
22 characteristics together, so if you take contracts which
23 have the same characteristics and look at the variation
24 in price when you -- after controlling for what all of
25 the other explanatory variables can explain, i.e. --

1 should I explain that a bit further, sir?

2 PROFESSOR NEUBERGER: Yes, of course.

3 DR MAJUMDAR: So if I take a group of articles, all of which
4 have the same technical characteristics, and if
5 I regress their prices on all of the other explanatory
6 variables and an article-specific dummy, so essentially
7 pick up a fixed effect and then I plot them, that should
8 just give me noise if the characteristics are doing
9 a good job of explaining the price, but I don't get
10 that, I actually get really quite a wide variation which
11 is suggesting to me that these technical
12 characteristics, yes, they're controlling, picking up
13 some of the influence on price, but they're not, they're
14 leaving a lot that's unexplained.

15 So the combination of those three pieces of evidence
16 says to me that there is a lot of -- a lot of
17 uncertainty. Now, which way would that characteristics
18 bias go? Again, it's hard to say. But if we have
19 a situation where characteristics, when they first join
20 the panel, are -- are seen as non-standard, are seen as
21 premium, then you would expect their prices to be
22 higher, but if over time something like leather steering
23 wheels become more accepted or, I don't know, heated
24 steering wheels become more accepted, buttons on
25 steering wheels become more accepted, then

1 the willingness to pay for those will go down and
2 the price will go down, which would then give you an
3 upward bias on the early period and main period effects.

4 So -- so these are my concerns as to why we have
5 omitted variable bias that could give us inflated
6 estimates of an overcharge, sir.

7 PROFESSOR NEUBERGER: Thank you very much.

8 Can I just ask, before allowing Mr Hughes to
9 respond, these are -- it's omitted variable bias, but
10 you are not suggesting any variables which should have
11 been included in the model, any specific variables which
12 would have solved the problem?

13 DR MAJUMDAR: None that are available.

14 PROFESSOR NEUBERGER: Okay.

15 DR MAJUMDAR: That's the point, sir. I could -- we could
16 have a long list of variables we'd love to include that
17 we think are important, but unfortunately, the nature of
18 the data is it's simply not granular. So Mr Hughes will
19 tell you that he's put in Autoliv cost data as a control
20 and I will say to you but that is -- is nothing other
21 than a time trend, because we just don't have the data
22 we would like to put in.

23 PROFESSOR NEUBERGER: But your accusation is not that
24 Mr Hughes has done an inadequate job and should have
25 done more, it is that the conclusions from his model

1 should be treated with some caution because they do not
2 account for everything?

3 DR MAJUMDAR: Exactly right. I suspect that the overcharge
4 on airbags is overstated as a result of omitted variable
5 bias. After that, it's hard to put a sign on the bias
6 and therefore it's a question of weight.

7 PROFESSOR NEUBERGER: Mr Hughes, you have been very patient.
8 There is quite a lot to answer.

9 MR HUGHES: Okay. I wonder whether a good place to start
10 might -- might be page {E1/6/38} of Dr Majumdar's
11 report, which is figure 5, which compares Autoliv's
12 costs and Autoliv's prices, or prices to the --
13 the prices unit.

14 PROFESSOR NEUBERGER: Yes.

15 MR HUGHES: So I will talk to this chart, but just to frame
16 my comments first. So the first -- the first point that
17 Dr Majumdar made was that -- was that my model doesn't
18 properly control for costs and therefore -- and in
19 particular he was concerned in relation to airbags that
20 I might be conflating, when I find higher prices during
21 the cartel period, I might be conflating those high
22 prices with higher costs, okay? So that was his
23 specific concern.

24 What -- what you actually -- so if we compare
25 the orange line and the blue line, okay? And what you

1 -- what you see is, with the exception of the last few
2 years, a gap between the orange line, which is prices,
3 and the blue line is generally earlier from sort of 2011
4 to the prior period of 2005, so the gap between prices
5 and costs looks like it's higher during the period of
6 the cartel.

7 Now, I am not suggesting for a second that we use
8 that to infer the gap between price and cost and use
9 that to infer cartel damages, but I think it just shows
10 to illustrate that I don't think you can simply point to
11 costs and prices and say, "A-ha, Mr Hughes has missed
12 something", because if you start doing that, then
13 the logical conclusion is that it looks like prices
14 relative to -- prices were higher relative to cost
15 during the cartel period for airbags. So I think that's
16 the first point.

17 I think, sticking with the theme of cost,
18 Dr Majumdar's worry is that costs were somehow higher
19 during the cartel period and my model isn't properly
20 controlling for that for all the costs variables I do
21 choose, which include not just the cost elements that
22 I -- that Dr Majumdar's well aware of, but also
23 part-specific effects, the technical characteristics
24 which he's been referring to, suppliers fix some
25 suppliers' car more or less than others and so on.

1 So I think the concern that's being expressed here
2 is -- is that I'm not properly controlling for --
3 there's a -- there's a potential bias because Autoliv's
4 costs may have been lower during the cartel period.
5 Now, that concern is a systematic concern. It's not
6 a granular concern, it's not a part-by-part concern,
7 it's a concern that I've somehow fundamentally misstated
8 costs. So I think it's very interesting that when you
9 put Autoliv's costs, imperfect though they are, in
10 the model, it does generally bring the overcharge down,
11 and it depends by category that you're looking at, but
12 it does still get a statistically significant
13 overcharge. So that's his first bucket of points on
14 costs.

15 So I also agree with Dr Majumdar in terms of costs.
16 He's not identified any better cost data for me to use,
17 but it does seem to be the case that Autoliv's costs
18 aren't driving anything. It's also very striking that
19 when Dr Majumdar says these costs just follow a time
20 trend, they might be a bit more stable for seatbelts,
21 but I don't see a time trend in terms of these costs in
22 relation to steering wheels, and the scale is a little
23 bit misleading, but there is certainly variation in
24 terms of airbags. So I don't think it's a correct
25 characterisation of his own cost data to say that these

1 simply follow cost trends. To the extent that they do
2 follow cost trends, of course, that's why I have time
3 trends in my model and other things will affect prices
4 over time. So that deals with the costs bucket. So I'm
5 -- and to be crystal clear, I am not saying that
6 the model I put forward is perfect, I'm simply saying
7 that I don't think Dr Majumdar's concerns over costs
8 should be overstated.

9 On the demand side of things, what Dr Majumdar has
10 done is he's said, well, it's not easy to capture
11 the various ways in which demand and cost affect prices.
12 I fully agree with that. Again, he's got no better
13 alternatives. One of the alternatives he did try was
14 using PSA car registrations and, a bit like Autoliv
15 costs, I think that is a sensible sensitivity to try and
16 see how that affects results, and, again, when you put
17 in PSA car registrations, which is the demand of
18 the individual OEM purchasing the parts, that doesn't
19 materially affect the results.

20 So I think these -- these are right, that's a
21 sensible -- these two sensitivities are a sensible thing
22 to do and the results don't seem particularly ...
23 I agree with Dr Majumdar, there's no perfect way of
24 capturing this, and I don't have a simple solution to
25 how to deal with things like the credit crisis, which

1 you're familiar with. I don't have a simple solution to
2 that.

3 On characteristics, again, I would like more
4 granular data. If I had more granular data, I'd have
5 used more granular data. I don't have any more granular
6 data. He did do, in terms of his little analysis --
7 the analysis that Dr Majumdar summarised, I've discussed
8 that at some length in my report, so I'm not going to --
9 I think, for these purposes, I think it would not be
10 a good use -- I'll do whatever you like -- obviously
11 I'll do whatever you like me to do, but --

12 PROFESSOR NEUBERGER: Good. I agree with you.

13 MR HUGHES: -- in essence he's trying to predict prices with
14 a very small number of data points and I think that
15 exercise is not particularly informative, in terms of
16 his criticism. But I'm also -- to be clear, I'm not
17 trying to -- I'm -- I'm including cost demand variables
18 and characteristics in my model not because I'm
19 inherently interested in them, I'm uninterested in them,
20 I'm including them because I'm trying to avoid omitted
21 variable bias. So I'm -- so I'm -- I -- again, I repeat
22 a comment I made earlier. I don't think there should be
23 a counsel of perfection whereby you would specify in
24 great detail every single part characteristic, you would
25 complicatedly model demand, which I think would be

1 a complicated question, as Dr Majumdar says, or somehow
2 you would have better or more granular cost information
3 which I -- I don't have access to.

4 PROFESSOR NEUBERGER: Can I just ask one question about
5 the demand, which I -- sorry, while we are on it, about
6 costs? You have got contracts which are extending over
7 several years, you have prices of raw materials which
8 are going up and down all the time. In your model, are
9 you assuming that the price of the object is largely set
10 on the basis of the costs at the beginning, or at
11 the contemporaneous costs?

12 MR HUGHES: So I'm assuming that costs and demand have
13 a contemporaneous impact on prices over time.

14 PROFESSOR NEUBERGER: So --

15 MR HUGHES: Actually, lagged. Actually, more accurately,
16 a lagged period of cost and a lagged period, so -- so
17 they're not determined instant-by-instant, but I am
18 assuming that, if the price of an airbag is €100 and
19 the costs have gone -- the average costs have gone down
20 for a particular -- for various costs measures, let's
21 say 9 or whatever, that has gone down, I assume that
22 that will bring down that price, reflecting periodic
23 negotiations around costs.

24 PROFESSOR NEUBERGER: But, sorry, given what we have heard
25 about the nature of negotiations on costs and price

1 revisions, it sounds as if costs would only feed into
2 prices with some delays --

3 MR HUGHES: Precisely. So that's --

4 PROFESSOR NEUBERGER: -- and therefore, if that is right,
5 is it not true that your regressions would have very
6 little power anyway to pick up the relationship between
7 cost and prices?

8 MR HUGHES: So I don't have costs at a moment in time,
9 I have lagged costs over a year, so I'm assuming
10 the lagged -- it's moved -- it's -- so in other words,
11 it's a year -- so it takes -- so it's an average costs
12 over a year that affects the price. So I am -- I am
13 effectively allowing not a lag but the effect of cost
14 changes over time to influence prices.

15 PROFESSOR NEUBERGER: Can I -- and then I think we should
16 break, but I mean, the one point I was trying to get at
17 was, there is some discussion that costs seem to play
18 a very limited role, explanatory role in your model.
19 I mean, you put in lots of costs variables, but their
20 power is very limited, and I was just wondering whether
21 that was --

22 MR HUGHES: So --

23 PROFESSOR NEUBERGER: -- surprising, or whether it is what
24 you would expect from your understanding of the data and
25 the way you are analysing it.

1 MR HUGHES: I think -- I think one of the things that
2 affects these sorts of models is, if you have lots of
3 demand and cost variables and time trends, it's quite --
4 and Dr Majumdar's made this point in his report -- it's
5 -- it's not surprising you have phenomenon called
6 multicollinearity, i.e. things tend to trend together,
7 so -- and therefore, if -- if you don't find an
8 individual one of those to be statistically significant,
9 it may well be simply because it's -- it's co-trending
10 with other -- with other variables that are included in
11 the model, and therefore you need to look at their joint
12 significance and their joint impact.

13 So I don't think you should attach particular
14 weight, where things are subject to time trends, to --
15 to the specific coefficients on -- on those variables,
16 because their incremental contribution is modest because
17 they're trending with other variables that are subject
18 to common trends.

19 PROFESSOR NEUBERGER: Dr Majumdar, and then we should break.

20 DR MAJUMDAR: Okay, I'll keep it short then, sir.

21 So, just on the -- just on the point, if I may, very
22 quickly. So this diagram that we have in front of us,
23 one can't compare prices and costs, because the prices
24 and costs are measured on a different basis, one is an
25 overall aggregate Autoliv cost, the other one is PSA's

1 purchasing. This is more about understanding the trends
2 and how they move, this is not about a price/cost
3 comparison. So just to be very clear, one actually
4 can't compare their levels.

5 On the point about the adding in the Autoliv cost
6 data, what happens is, because the data's so imperfect,
7 it only starts in 2006, so we drop most of the early
8 period anyway, so it's just, the whole point of these
9 charts is to say that -- in some respects, it's
10 explaining that the data, unfortunately, is -- is poor.

11 On the point -- and the same -- the same point is
12 made about demand. So I'm not suggesting that the car
13 registrations is a solution to the problem, I'm making
14 the point that, unfortunately, that's just not granular
15 enough. Ditto EUG. So I don't want that to be
16 mischaracterised as a sort of a sensitivity test seeking
17 to solve, it's actually quite the opposite. It's just
18 making the point that, sadly, we don't have the data.

19 Then the final point on: would you be surprised if
20 raw materials are not showing up and explain, you know,
21 less than 3% of the variation. I think I would be
22 concerned. I mean, I take the point that they -- there
23 are other explanatory factors in the model. But if
24 something that is 60 to 70% of the cost of an article is
25 having such little explanatory power, I think that would

1 be a concern for me.

2 PROFESSOR NEUBERGER: All right. Thank you very much. We
3 will have RFQ dates after.

4 THE CHAIRMAN: Just five minutes.

5 (3.30 pm)

6 (A short break)

7 (3.38 pm)

8 PROFESSOR NEUBERGER: (Off microphone - inaudible) -- to
9 deal with RFQ dates.

10 I am grateful to the experts for having put together
11 a joint memorandum, which means that we do not need to
12 go over a tangled series of past papers. I would like
13 to get -- before I get to the numbers, I just thought we
14 could go through, fairly quickly, some of the main
15 issues.

16 Can I start with Mr Hughes to explain simply a very
17 naive question, which is why RFQ dates matter.

18 MR HUGHES: So RFQ dates matter because what you want to do
19 is you want to match contracts to periods that are
20 inside or outside of the cartel period, or in the early
21 period or in the main period or whatever, so -- and it's
22 important that that matching is done properly, because
23 otherwise you're going to be mixing together clean and
24 dirty data points, which will compromise your ability to
25 find an overcharge with any degree of accuracy.

1 PROFESSOR NEUBERGER: We have got RFQ dates for what
2 proportion of articles, roughly?

3 MR HUGHES: It varies from product categories from around --
4 it's in Dr Majumdar's -- sorry, let me pull up
5 Dr Majumdar's --

6 PROFESSOR NEUBERGER: I mean around something under half; is
7 that right?

8 MR HUGHES: Something under half, yes.

9 PROFESSOR NEUBERGER: Do we understand why we do not have
10 RFQ dates for some of the articles? Is there any
11 systematic reason, or is it just unknown?

12 MR HUGHES: It's just unknown.

13 PROFESSOR NEUBERGER: Right.

14 So just going through some -- if you want to say
15 something, Dr Majumdar, otherwise ...

16 Then this is a more complicated question and one
17 I still have not got my mind around, which are, there
18 are three ways of determining an unknown RFQ date.
19 There is the one in your paper -- in your original
20 report, Mr Hughes, and there are two other variants,
21 which are the ones which are the subject of the joint
22 memorandum, and I am not clear about -- I am fairly
23 clear, but it may be worth repeating how your original
24 method is done and I am not clear how the other two
25 methods are done, so perhaps you could explain.

1 MR HUGHES: So the first method adopted in Hughes 1 is
2 I take the start of production date, the estimated start
3 of production date -- this is entirely for the parts
4 where I don't know the RFQ date from contracts -- and
5 I deduct 30 months from the date, and I'm assuming,
6 under that approach, that that's the best approximation
7 of when contract prices were fixed. So that's my first
8 approach, okay?

9 The second of the two alternatives that I put
10 forward was -- was simply, when I -- when I read
11 Dr Majumdar's first report, I hadn't worked through
12 exactly how he was doing the by-platform sensitivity and
13 it seemed to me he was doing two things which were
14 noteworthy. The first thing which was noteworthy was he
15 was overwriting known RFQ dates with estimated RFQ
16 dates. So the first sensitivity is simply to stop doing
17 that, okay? So I don't really think that's
18 a sensitivity, that's just using the right data.

19 PROFESSOR NEUBERGER: So let me just be clear. On all three
20 alternatives that are in the table, where there is an
21 RFQ date, that is the date that is used?

22 MR HUGHES: Precisely. Precisely.

23 PROFESSOR NEUBERGER: The differences are what you do if you
24 do not have an RFQ date?

25 MR HUGHES: Exactly that. Exactly that.

1 PROFESSOR NEUBERGER: Fine.

2 MR HUGHES: So that's -- that's the second one, which I call
3 "A", which I don't think it's a sensitivity, it's just
4 the right way -- it's the right way of doing it.
5 And the second alternative --

6 PROFESSOR NEUBERGER: Wait a moment, sorry. I do not
7 understand. If you do not have an RFQ date --

8 MR HUGHES: Fine. Fine.

9 PROFESSOR NEUBERGER: -- what do you do in --

10 MR HUGHES: So on sensitivity --

11 PROFESSOR NEUBERGER: -- A?

12 MR HUGHES: On sensitivity -- on sensitivity A -- on
13 sensitivity A, which is the second alternative --

14 PROFESSOR NEUBERGER: Yes.

15 MR HUGHES: -- what I do is I use the known RFQ dates --

16 PROFESSOR NEUBERGER: Yes.

17 MR HUGHES: -- but I use Dr Majumdar's approach of assuming
18 that the by-platform RFQ occurred on the earliest of an
19 estimated date or a contractual known date. So that's
20 -- so Dr Majumdar also -- in his first methodology also
21 estimates RFQ dates using the same method I use of start
22 of production minus 30 months. So where he has
23 a contractual date which is later than that, under
24 method A, he disregards that known date and instead uses
25 my estimated one, whereas -- so that's -- that's

1 method A. So I -- I -- I use the earliest of the known
2 or estimated RFQ dates.

3 PROFESSOR NEUBERGER: I am still confused, partly because of
4 the terminology of "platform". Can you make it
5 concrete?

6 MR HUGHES: Sure.

7 PROFESSOR NEUBERGER: Tell me a platform.

8 MR HUGHES: So one platform is the A9, which is
9 the Peugeot 208, and then there's the Peugeot 2008 and
10 that's a platform for a car.

11 PROFESSOR NEUBERGER: A9.

12 MR HUGHES: Okay. So the A9, it's got a couple of variants,
13 okay? So -- but it's the same Peugeot 205, that's
14 the main one, okay?

15 PROFESSOR NEUBERGER: Right.

16 MR HUGHES: So what -- what -- what the third -- what
17 A does, okay, that -- what the by-platform sensitivity
18 does is it says: I'm going to assume that prices were --
19 contractual prices were determined on the first date
20 of the -- that I observe an OSS part for that category.
21 So let's say it's an airbag. Or let's say it's
22 a steering wheel. Let's say it's a steering wheel. So
23 I'm assuming that the contract -- the contract prices
24 were all parts associated -- all steering wheels
25 associated with that platform are determined on that

1 date, they're fixed on that date.

2 PROFESSOR NEUBERGER: So all parts -- all three sorts of
3 component --

4 MR HUGHES: No, no, just the one component. So one -- it's
5 a different date per component.

6 PROFESSOR NEUBERGER: Okay. So all seatbelts --

7 MR HUGHES: So all --

8 PROFESSOR NEUBERGER: -- on the A9?

9 MR HUGHES: -- all steering wheels on the -- or whatever
10 the category is you've got --

11 PROFESSOR NEUBERGER: Yes.

12 MR HUGHES: -- all steering wheels, or let's just -- I don't
13 know the exact date off the top of my head, but let's
14 say they're all procured on 1 January 2006, so every
15 single steering wheel part for that platform was
16 procured on that date regardless of differences in
17 the start of production price -- start of production
18 date. So if something is starting production
19 three years later, four years later, some two years
20 later, I'm going to treat all of those parts, despite --
21 under Dr Majumdar's sensitivity, I will treat all those
22 parts as being procured on the first -- on the first
23 observed date, the earliest date I observed
24 the platform. So I'm assuming contractual -- I'm
25 assuming prices are fixed at that date. So that's --

1 and I'm doing that under method A and method B.
2 The distinction between method A and method B is that in
3 method A, I choose the earliest of the estimated or
4 known RFQ date, and in method B, I only choose
5 the earliest of the known, because the estimated ones
6 are just estimates.

7 PROFESSOR NEUBERGER: The difference between A and B only
8 occurs then when there is no earliest RFQ date on that
9 platform?

10 MR HUGHES: So the difference between A -- the difference
11 between A and B arises where the estimated date is --
12 because he uses the earliest date, so he -- so
13 the difference between the two is method A uses
14 the earliest date, whether it's estimated or known,
15 whereas method B uses the earliest known date, if there
16 is a known date. So method B differs from method A in
17 that, under this -- under this sensitivity it is
18 presumed that the earliest known -- there's a single
19 known -- a single contract date. So if I had one known
20 contract date for the A9, I'm going to assume all
21 the others are on that date. So -- so --

22 THE CHAIRMAN: So that if you do not know any dates --

23 MR HUGHES: I stick with Dr --

24 PROFESSOR NEUBERGER: -- for steering wheels --

25 MR HUGHES: I stick with Dr Majumdar's methodology.

1 PROFESSOR NEUBERGER: Which is?

2 MR HUGHES: Which is, quite simply, he takes the earliest
3 start of production -- start of production
4 minus 30 months, whereas, if I have a known date, I use
5 the earliest of that -- I use the known date to replace
6 all the un -- all the estimated dates.

7 PROFESSOR NEUBERGER: I am sorry being so slow, but the --
8 so I have got a seatbelt for the A9, and if I know its
9 RFQ date, I put that in.

10 MR HUGHES: Yes.

11 PROFESSOR NEUBERGER: If I --

12 MR HUGHES: Under all three approaches.

13 PROFESSOR NEUBERGER: Under all three.
14 If I do not have an RFQ date for that supply then,
15 under A, I use start of production for that part
16 minus 30 months.

17 MR HUGHES: Yes, under my first choice, yes.

18 PROFESSOR NEUBERGER: Under B, I would use -- sorry,
19 under A, alternative A -- I am going to add to
20 the confusion -- under alternative A, I then use what
21 exactly?

22 MR HUGHES: So in alternative A, I use the earliest --
23 earliest estimated platform date, whether it's estimated
24 from start of production minus 30, or whether it's
25 estimated from known contract dates.

1 PROFESSOR NEUBERGER: So taking my seatbelt example, I take
2 the earliest seatbelt -- I take the earliest RFQ date on
3 seatbelts on the A9.

4 MR HUGHES: Yes.

5 PROFESSOR NEUBERGER: If there is none such, I use
6 the earliest seatbelt, not necessarily this one, start
7 of production and then deduct 30 months; is that right?

8 MR HUGHES: Just to make sure that we're saying exactly
9 the same thing --

10 PROFESSOR NEUBERGER: Well, you say it.

11 THE CHAIRMAN: Say it very slowly so we can write it down.

12 PROFESSOR NEUBERGER: Say it very slowly and say what you
13 do --

14 MR HUGHES: My apologies. So this is complicated and it
15 took me a while to work out what was going on, so ...
16 Okay, let's say, for the sake of argument, that
17 the -- that the -- you have an estimated RFQ date, which
18 is drive from start of production minus 30 months, and
19 let's say --

20 PROFESSOR NEUBERGER: Of what?

21 MR HUGHES: Of a seatbelt.

22 PROFESSOR NEUBERGER: Of a seatbelt --

23 MR HUGHES: Associate --

24 PROFESSOR NEUBERGER: -- for the A9?

25 MR HUGHES: For the A9. So we have a seatbelt that was

1 first procured SOP minus 30 months on 1 December 2006,
2 just for the sake of argument, for a seatbelt.

3 PROFESSOR NEUBERGER: Yes.

4 THE CHAIRMAN: Any seatbelt?

5 MR HUGHES: Any seatbelt. Any seatbelt. And then -- or
6 there might be a series of them, but you're
7 estimating ... all right, now --

8 THE CHAIRMAN: Sorry, finish that sentence? You said,
9 "There might be a series of them but ..."

10 MR HUGHES: So there will typically be a series of parts for
11 each platform for the airbags and a series of parts for
12 each platform that are seatbelts and so on.

13 THE CHAIRMAN: Just stick with seatbelts. You then go for
14 the earliest?

15 MR HUGHES: You then go for the -- yes, so if I have an
16 estimated date of 1 December 2006 for seatbelts and
17 I have a contractual date that is estimated as being
18 1 December 2007, for argument's sake, a year later,
19 under method A of the by-platform sensitivity, I would
20 say, well, I'm going to adopt -- under method A, I'm
21 going to use the earliest of the estimated or known, so
22 I'm going to say that the contract -- that the prices
23 were determined on 1 December 2006 under method A, even
24 though I know that there's a contractual date price
25 that's later, even though I know that information. So

1 that's method A.

2 Under method B, so remembering I've got these two
3 dates, I've got an estimated one of 1 December 2006 and
4 a contractual -- and a known one of 1 December 2007.

5 Instead of choosing 2006 in method B, I'll choose 2007.

6 PROFESSOR NEUBERGER: Fine. I think we are there.

7 MR HUGHES: And the reason -- and my preference -- just to
8 be clear on these alternatives, sir, that we're
9 discussing here, and what differs between them, I want
10 to -- I think Dr Majumdar and I both want to use
11 the right date for when contractual prices were -- when
12 prices were determined, okay? We want to use the right
13 date. The second methodology -- I prefer the third
14 methodology, which I'm calling "method B", because
15 Dr Majumdar's hypothesis is that every single part
16 associated with the -- every seatbelt associated with
17 the A9, that contractual price was determined on
18 the same day. So the same -- and it's the earliest date
19 of those things. So that's -- that's -- that's
20 the premise of his by-platform sensitivity, it's
21 the earliest first date, okay? And I prefer to assume
22 that the earliest first date is the -- is where it's
23 known rather than where it's estimated, because
24 the whole -- this whole issue is about we don't have --
25 we don't know -- we don't know what we don't know, which

1 is the estimate, so I don't want to rely on estimates,
2 I prefer to rely on method B than method A, as
3 a generalisation.

4 PROFESSOR NEUBERGER: Dr Majumdar.

5 DR MAJUMDAR: Thank you. Thank you, sir.

6 I wonder if we might go to {E1/15/6}, because
7 I think some of this could be more easily understood.
8 I'm sure you've got it now, but I think it might be
9 helpful if we look at a diagram.

10 THE CHAIRMAN: Which tab, sorry?

11 DR MAJUMDAR: So {E1/15/6}, and if we could blow up the top
12 chart, which is figure 2, please.

13 So this is seatbelts, and all of these are
14 platforms, so you've got A5, A7, A9, A94 and so on and
15 so on. So if we look at A7, the black dots are
16 the known dates. So these are -- so all of these are
17 contracts where we know the RFQ date, which is the black
18 dot, and we know the -- oh, I've lost it -- oh, okay --
19 sorry, this is -- can we --

20 THE CHAIRMAN: It is sideways. Do not worry, we can turn
21 our heads.

22 DR MAJUMDAR: Okay. Thank you, perfect. Absolutely
23 perfect. Thank you very much.

24 So we're looking, for example, at A7, and the black
25 dots are the known RFQ dates for the A7 platform, and

1 then the orange dots are the start of production dates
2 for the same platform. What you see is that you have --
3 THE CHAIRMAN: They all align.
4 DR MAJUMDAR: Sorry?
5 THE CHAIRMAN: They all align --
6 DR MAJUMDAR: Exactly. So even though you have different
7 red dots, i.e. different start of production dates, they
8 all align. So what sensitivity B does, it simply says,
9 if we don't know the RFQ date but it's on the A7
10 platform, we'll align it with the rest of these black
11 dots, because that's what the known ones do.
12 PROFESSOR NEUBERGER: And the difference between the two
13 variants?
14 DR MAJUMDAR: Sensitivity A would say if there is a -- an
15 SOP date that is less than 30 months away from those
16 black dots, when you take -- when you subtract
17 30 months, you'll get an estimated RFQ date which is
18 earlier, so you'd leave all the knowns as they are, so
19 these black dots would stay the same, but because you'd
20 be estimating an earlier start -- RFQ date for that
21 platform, all the unknowns would go back, so they would
22 actually potentially go behind those known dots, which
23 I think is why Mr Hughes prefers B, because I think what
24 Mr Hughes is saying, we're better off aligning with
25 known dates than -- than with estimated dates.

1 PROFESSOR NEUBERGER: Would you agree with that?

2 DR MAJUMDAR: Yes, I would.

3 THE CHAIRMAN: Okay, so we can forget A?

4 PROFESSOR NEUBERGER: We can forget A?

5 DR MAJUMDAR: I agree, sensitivity B is better.

6 THE CHAIRMAN: Interesting though the diversion was, yes, we
7 can just focus on B.

8 MR HUGHES: I think B-- if I could interject briefly,
9 I think B is the right one. I said I had a caveat.
10 For steering wheels, I know much less about steering
11 wheels, because I've got fewer known dates, so I've got
12 fewer known contracts, and I've got fewer observations.
13 So there is -- I have some uncertainty about -- I prefer
14 B, but I have some uncertainty, particularly as regards
15 steering wheels, where I've got fewer known dates.

16 DR MAJUMDAR: If I may, sir, if we go to the panel below,
17 you will see exactly the same thing for steering wheels.
18 So if we go to -- yeah, it's exactly the same.

19 PROFESSOR NEUBERGER: If you had -- if one has to choose one
20 -- sorry. Clearly the problem is we do not have
21 the data.

22 DR MAJUMDAR: Yes.

23 PROFESSOR NEUBERGER: So we are trying to fill in data which
24 we need to fill in. It would be really nice to have
25 both your views on whether the base case, Hughes 1, or

1 the variant, B, is clearly superior or clearly inferior
2 or whether it is really difficult to say very much about
3 which is better.

4 I was wondering, Mr Hughes, would you like to start
5 on that one?

6 MR HUGHES: So I think, going back to the exam question
7 we're trying to get the data to do here, what we're
8 trying to get the data to do is tell us, where we don't
9 know something, what the best estimate of that something
10 is that doesn't -- we don't know. So I find it
11 difficult to have a strong preference in circumstances
12 where I -- an absolute categorical preference in
13 circumstances where I don't actually know what I don't
14 know. So I think that's -- so there's uncertainty here.

15 PROFESSOR NEUBERGER: Yes.

16 MR HUGHES: So I think, in terms of -- in terms of what we
17 do and what we don't know, you then have to start
18 thinking about when there's a material gap, or as
19 the gap between SOP increases, is it likely that all of
20 these things are procured -- are -- are procured on
21 the same date, particularly as the gap gets larger and
22 larger, in terms of the contract -- in terms of
23 the prices being determined. So I think you start
24 having some uncertainties there.

25 One of the -- one of the questions you have there

1 is, where there is a gap, let's say -- and I think it's
2 arbitrary to choose two years or any other period of
3 time, but where there is a gap between the SOP dates,
4 between various articles associated with a platform, do
5 you know that that's a -- that price was determined
6 then, or do you -- or might there have been a separate
7 RFQ relating to that part? Now, that's not something
8 I think Dr Majumdar and I know the answer to.

9 Dr Majumdar was of the view that they're -- some of
10 these parts might be -- some of these later parts might
11 be follow-on parts. So he was saying these things might
12 be different, and therefore -- and for me -- so that's
13 his conjecture: so where there's a sizeable gap, these
14 parts might be follow-on parts. One of the reasons why
15 he thinks they're follow-on parts is because they're
16 procured later, and I think that's a circular argument
17 because I don't know what I don't know.

18 PROFESSOR NEUBERGER: Sure.

19 MR HUGHES: But -- but in any event, where I observe
20 something that's been procured later, I am left with
21 a real question as to when its prices were determined,
22 because it's been determined later. One of the points
23 I made earlier today is I observe, even where I know
24 what the -- the RFQ and the contractual prices are,
25 those prices can often be quite different, so I'm left

1 with a factual question. So one way of looking at this
2 that I thought about doing was to look at, for
3 the sample of seatbelts, steering wheels and airbags
4 that I include in my dataset, do -- when -- do I observe
5 technical modifications for a given part over time
6 across those three price series, those three series of
7 prices? And the answer is, it varies from part to part.

8 But let's say there's 40 to 50% of those parts are
9 subject to price amendments, subject to technical
10 modifications, which were classed as such, and for
11 a number of those -- and then the question that then
12 arises is: if these parts were entirely to be follow-on
13 parts, what should happen is I should cease observing
14 prices for those parts after the -- after there's been
15 a technical modification, but in relation to steering
16 wheels, I only observed that for two parts, in relation
17 to seatbelts, I only observed that for zero parts, and
18 for -- for airbags, I only observed it for one part.
19 So, typically, when I observe -- so when I -- when I --
20 when I look at prices over time, I -- so -- so, for me,
21 I think it is more likely than not, it's not
22 a certainty, more likely than not, that where I observe
23 a later price, that it's not a follow -- a later part or
24 a later SOP, it's not a follow-on date, so I think I am
25 left with some uncertainty around this question as to

1 whether I prefer Hughes 1, which -- and I'll unpick this
2 a bit further in a minute. I have a question as to
3 whether I prefer Hughes 1 or sensitivity B, because, if
4 you like, Hughes 1 encompasses ranges of possibilities.
5 I -- I don't know what I don't know, but I think there's
6 -- there's three possibilities we need to think about in
7 terms of the stuff that I don't know.

8 The first possibility is that there's a -- the part
9 in question is a platform part, okay, and -- and there
10 was a supplier nomination decision and that part -- and
11 that part was associated -- the original contract prices
12 would have moved then. I still think my original
13 sensitivity in Hughes 1 might well make sense in those
14 circumstances if it is the case that that price isn't
15 actually fully fixed at that stage but is subject to
16 further negotiations before production starts, and that
17 seems to be the pattern of facts. So there's -- there's
18 possibility 1, and I don't know how frequent these
19 possibilities are, because these are all dealing with
20 things I, by definition, don't know. That's
21 possibility 1.

22 Possibility 2 is there was an RFQ in relation to
23 this part -- this part that I -- I just don't have it,
24 in which case, the best estimate of that RFQ date is
25 the start of production minus 30, which is what I do in

1 Hughes 1.

2 The third possibility is that this is a follow-on
3 part, as Dr Majumdar contests, and that there was a new
4 part number, but instead of there -- so I'm -- I'm going
5 down his alternative hypothesis, and I admit there's
6 uncertainty, and on that third possibility, are -- are
7 the prices determined at the start of production,
8 however many years ago that -- or the start of
9 the platform one, or was there material contract
10 negotiations after that period?

11 The reason for focusing on these things in the way
12 that I've just done is because, when I go back to
13 the theory of harm, I think that all of those
14 possibilities, it is possible, not a certainty, because
15 that's not -- that's not the point of the --
16 econometrics is to test for things -- is the best guide
17 for whether prices were effective, or not, my
18 methodology in Hughes 1 or the revised platform one? So
19 I don't have a clear way of preferring. But if -- if
20 there was a credible theory of harm, and Dr Majumdar and
21 I seem to disagree on this, it would involve
22 a combination of incumbency principle and information
23 sharing affected prices over time and also possibly some
24 potential targeting. All of those three things could
25 have affected -- if those theories of harm apply, all of

1 those three things could have affected the determination
2 of prices under three of those three possibilities, such
3 that I think the Hughes 1 sensitivity makes sense still.

4 I'm going to repeat something I said at
5 the beginning, because it was quite a while ago.
6 I don't know -- I don't know which of those
7 possibilities apply, because we're discussing unknown
8 data, but I think -- I think my sensitivity,
9 the Hughes 1 approach, still makes sense in those
10 circumstances.

11 THE CHAIRMAN: But we have to -- we can discuss in due
12 course whether this is the right question, but typically
13 courts will try and determine things on the balance of
14 probabilities. You have put forward three different
15 ways of -- three different situations. One way that one
16 might approach it is to say, on the balance of
17 probabilities, it is likely that the RFQ date will be
18 between 30 and 30 -- or 28 and 34 months from the start
19 of production. That would be something. But picking
20 a single date with these variations which you have said
21 are just -- sorry, I cannot remember the wording you
22 used, but you suggested there was some uncertainty
23 around them, are you confident of any of them on
24 the balance of probabilities or not? Is that the wrong
25 question?

1 MR HUGHES: I think -- I think, in these sorts of scenarios,
2 the scenario that I painted of possibilities 1, 2 and 3,
3 all of those scenarios are scenarios where Dr Majumdar
4 is advocating that the by-platform sensitivity makes
5 sense, in the sense that either the part was procured at
6 the original period of time, the part -- there was an
7 RFQ or whatever, there was an RFQ or there may have been
8 an RFQ, or there wasn't an RFQ, there was just
9 a commercial negotiation. The point I'm making is that
10 even if you accept all of his conjectures, what I'm
11 saying is, under Hughes 1, I still think it would make
12 sense, under all of those scenarios, for whatever
13 scenarios, the Hughes 1 sensitivity would make sense
14 even if -- even if his fundamental premise is correct,
15 because what I'm highlighting is there could well have
16 been contractual -- it is likely that there would have
17 been contractual balance of probabilities, likely there
18 would have been negotiations to finalise those prices on
19 the basis I've just described.

20 So in terms of preferring -- that's my first answer.
21 So in terms of preferring Hughes 1 to -- to
22 the alternative, I think what that really collapses to
23 is a question of what's the right way of dealing with
24 steering wheels, because that's the sensitivity where it
25 makes a material difference as to whether you adopt

1 the Hughes 1 approach or whether you adopt platform
2 sensitivity B, and what I find there is that if you
3 adopt the alternative approach of method A, or
4 the Hughes 1, you don't get the same statistical
5 significance under method A, you get a slightly lower
6 level of significance, but you do still, for seatbelts,
7 find an overcharge on seatbelts under method A, not to
8 the same degree of statistical significance.

9 So I think I'm -- I'm not sure I need to arrive --
10 I'm not sure I need to arrive at a perfect answer to
11 your question on the balance of probabilities. I think
12 I can say, if I go -- if I go down Dr Majumdar's route
13 but allow for the possibility of contractual
14 negotiations, you get back to Hughes 1. If you stick
15 with his -- his approach on -- on two out of the three
16 parts, there's not much difference between the two of
17 us. And on steering wheels, it really depends upon
18 whether you prefer method A or method B, in an
19 environment, though I prefer method A, I have got much
20 fewer data points, much less known in steering wheels.

21 THE CHAIRMAN: But I just want to press you on this a little
22 bit. One could say, well, I am confident they fall
23 within a range, which is not the approach being taken,
24 one could say, "We do not know", or one could say, "On
25 the balance of probabilities, this is likely to be

1 the best estimate", and you are not saying the third, as
2 I understand it?

3 MR HUGHES: I think -- I think the best estimate -- best
4 estimate I have for -- for steering wheels would be
5 either -- would be that there's an overcharge on
6 steering wheels and I would go down the approach that
7 I've talked about, in terms of Hughes 1 on the balance
8 of probabilities, but I don't think it's clear-cut. So
9 I think it's the best I can do in an environment where
10 I have a small number of known data points to make
11 inferences, and it's harder to make inferences in those
12 circumstances.

13 THE CHAIRMAN: Dr Majumdar, do you have any comment on that?

14 DR MAJUMDAR: I have several, sir.

15 Okay, so the question is -- well, the original
16 question, I think, is essentially do the -- do
17 the experts prefer Hughes 1 or sensitivity B, and
18 I think -- I will try to answer that question. But just
19 by way of framing it, Hughes 1 takes the start of
20 production less 30 months. Now, what we know from these
21 charts is that when you look at the distribution of gaps
22 between the start of production and the RFQ date, most
23 of the gaps are actually even outside of a window of 24
24 to 36 months, so there's a very wide dispersion. In
25 fact, we could even go up to the figure above and you

1 can see that on some of the chart. So -- so is it
2 the case --

3 THE CHAIRMAN: Have we got the actual figures for this?

4 DR MAJUMDAR: We have, it's in the JES. I can't remember
5 which statement response, but the majority of known
6 articles have a gap between RFQ and SOP that's actually
7 wider than 24 to 36 months. So I think one can
8 reasonably say that SOP minus 30 is not a good estimate.

9 When we look at these charts, it's clear, to my mind
10 at least, that for known platforms, the RFQ dates align.
11 We see that in 29 out of 31 platforms, and the other --
12 and the two exceptions are explainable. So -- so we
13 know that for the known RFQ dates, they all align on
14 the same date, so therefore my starting point is: what
15 do I do if I have an unknown RFQ date but I know what
16 the platform is? It seems reasonable at least to say,
17 well, if I know the RFQ date for other -- others in
18 the platform, I'll just assume it's the same. So we
19 know, for that platform, that most of them line up -- or
20 all of them line up on the same date where we know
21 the RFQ date. If I have an article attached to that
22 platform but I don't know the RFQ date, it seems to
23 me to make sense just to assume it's the same as all
24 the others that we do know. So that would -- that, to
25 my mind at least, is more likely to be accurate than

1 simply taking SOP minus 30.

2 Then we have the question of so-called follow-on
3 articles, and there are -- there's a small -- there's
4 a relatively small percentage of overall unknown
5 articles but a relatively high percentage of those which
6 have a later SOP date in the sense of the SOP date is
7 two years or more after the earliest one of
8 the platform. Sorry, that's probably a bit confusing,
9 but in essence, there are -- there's a -- there's
10 a minority of articles with unknown RFQ dates that have
11 a start of production date later than -- two years later
12 or more later than the earliest SOP date for
13 the platform, and then there's a question: do we align
14 their RFQ dates also with the known RFQ dates for
15 the platform, or do we do something else with them? My
16 argument is, having looked at the data -- and it might
17 just be worth -- let me just tell you which chart to
18 look at. So if we go to {E1/15/16}, in figure 4 -- if
19 we could blow that up, please. In figure 4, what I've
20 done is I've said there are some candidate follow-on
21 articles. So these are articles which have the same
22 technical characteristics as the original article, which
23 is shown in blue, and they also appear to continue
24 the -- the price trend. So they have the same
25 characteristics and they seem to continue, as I say,

1 the price trend of the original article.

2 So the question is: what does one do with those? Do
3 we assume they have an RFQ date at the start of
4 the platform like all the others? Do we think that they
5 are follow-on platforms with a different RFQ date, or
6 something else? My answer to that is, well, it's not
7 unreasonable to presume that they have an RFQ date at
8 the start of the platform, because in some senses that's
9 our best guess as to what the RFQ date should be. Even
10 if that's wrong, what's clear from this chart is that
11 the price is, if you like, linked to the price of an
12 article that was determined on the platform, so in some
13 senses, if you -- if you want to understand where
14 the real sort of competitive point, or the -- if you
15 like, the nature of competition occurred, it was
16 probably at the start of the platform, because these
17 almost always would be supplied by the same supplier
18 that supplies that platform.

19 So I would say those two reasons would be good
20 reasons for treating the RFQ date as the same as all of
21 the other known articles on -- on that platform. So on
22 that -- to me, that makes me lean towards
23 sensitivity B as more likely to be a better
24 approximation than Mr Hughes' approach.

25 PROFESSOR NEUBERGER: Sorry, we are conscious of the time.

1 Can I just then follow up. So we have got somewhat --
2 not totally conflicting views between the experts about
3 the relative merits of the two. I mean, neither of you
4 -- as far as I can see, nobody knows what the true dates
5 are; they are both reasonable, honest attempts to try
6 and fill in a gap and one might prefer one or the other.

7 I have got two questions. One is, if one looks at
8 the regressions, I think Mr Hughes referred to this, he
9 was saying that there seems to be -- if one treats this
10 as a sensitivity analysis, do the results change
11 substantially as a result of this? Mr Hughes, I think,
12 was saying -- I do not want to put words in his mouth --
13 something like, in airbags and seatbelts, it is
14 reasonably broadly confirmative, and in the case of
15 steering wheels alone, it is markedly different. Is
16 that a fair summary of the way you look at the numbers?

17 DR MAJUMDAR: It's -- it's not. Can I just -- just before
18 we get onto this, I just want to make a point that if we
19 do then start focusing on sensitivity B, it doesn't mean
20 that all of the other sensitivities that we've talked
21 out are -- are not relevant; they are still highly
22 relevant --

23 PROFESSOR NEUBERGER: Sure, sure.

24 DR MAJUMDAR: -- it's just we would want to apply them to
25 a sensitivity B model as opposed to a Hughes 1 model.

1 For me, it's a very important point, just to --
2 PROFESSOR NEUBERGER: Sorry, to make that point clear, what
3 you are saying is that you think that all
4 the calculations should be done on a B basis rather
5 than --

6 DR MAJUMDAR: If we are -- so my view is, if the Tribunal
7 were to, if you like, focus on the sensitivity B model,
8 all of the sensitivities that we have discussed are
9 still important, we can't just throw away the knowledge
10 we have from those, so ... and I -- but I think that's
11 an extremely important point, I just wanted to make
12 that.

13 PROFESSOR NEUBERGER: Fine. Okay, I have got that point.

14 DR MAJUMDAR: Okay, great. Thank you.

15 Right, so the question then is focusing only on
16 the sensitivity B results that we have --

17 THE CHAIRMAN: I am sorry, Dr Majumdar, can we -- I think
18 this is going to take longer than two minutes --

19 PROFESSOR NEUBERGER: It is.

20 THE CHAIRMAN: -- and we need to rise promptly, so I think
21 that is probably a good place to break. You will be
22 re-asked the question tomorrow. You are not expected to
23 remember it overnight.

24 DR MAJUMDAR: Thank you, sir.

25 THE CHAIRMAN: Thank you both very much. Of course, please

1 do not talk to anyone about the case overnight and we
2 will see you back here at 10.30 tomorrow, if that is
3 convenient.

4 (4.16 pm)

5 (The Court adjourned until 10.30 am on Tuesday,
6 15 October 2024)

7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25